

IN THE DISTRICT COURT OF MONTGOMERY COUNTY, TEXAS
284TH JUDICIAL DISTRICT

In re: The Commitment of
Billy Johnson, et al.

00-02-01034-CV

THE DEPOSITION OF DENNIS DOREN, Ph.D.
Madison, Wisconsin
February 13, 2001
9:15 a.m.

The Petitioner was represented by its
attorney, CHRISTOPHER L. THETFORD, Special Prosecution
Unit, Civil Division.

The Respondents were represented by their
attorney, GREG BAL, State Public Defender.

Also present: Donna Van Bogaert, Videographer;
Lynn Maskel, M.D.; Carole DeMarco, Ph.D.

Prepared for Attorney _____

Reported by: KAREN BLAIR

BRILLOWSKI PROFESSIONAL REPORTING
Post Office Box 633
Baraboo, WI 53913
MADISON 244-4257 BARABOO 356-4170

1 I N D E X

2	EXAMINATION:	PAGE
3	BY MR. THETFORD	5, 46, 222
3	BY MR. BAL	44, 130, 226

4

5 WITNESS INSTRUCTED NOT TO ANSWER:

6	page 150, line 11
6	page 150, line 17
7	page 150, line 23

8 E X H I B I T S

9	1	Notice of Deposition	5
10	2	Witness' Vita, "generic"	13
11	3	Witness' Vita relevant to Sex Offender Civil Commitment Evaluations	13
12	4	Recidivism Base Rates, Predictions of Sex Offender Recidivism, and the "Sexual Predator" Commitment Laws - Doren	122
13	5	References, updated through 2/12/01	61
14	6	Psychopathy and recidivism: A review - Hemphill, Hare, Wong	121
15	7	Civil Commitment of Sexually Violent Offenders, Draft - October, 2000	32
16	8	STATIC-99 Scoring Sheet	67
17	9	STATIC-99 Coding Instructions and Worksheet	69
18	10	Results from July, 1999 Survey	43
19	11	What Do We Know About Sex Offender Risk Assessment - R. Karl Hanson	61
20	12	Clinical Versus Mechanical Prediction: A Meta-Analysis - Grove, et al.	61
21	13	Coding Rules for the STATIC-99	68

1		EXHIBITS, continued	
2			
3	14	Doren's combination of STATIC-99 Scoring Sheet and Coding Instructions and Worksheet	68
4			
5	15	"Accuracy within civil commitment risk assessment context" - Doren	71
6	16	MnSOST-R General Instructions	73
7	17	MnSOST-R Baseline graph	73
8	18	Final Report on the Development of the Minnesota Sex Offender Screening Tool - Revised - Epperson, Kaul, Hesselton	73
9			
10	19	Hanson's (1997) Rapid Risk Assessment for Sex Offense Recidivism (RRASOR)	82
11			
12	20	The Development of a Brief Actuarial Risk Scale for Sexual Offense Recidivism - Hanson	82
13			
14	21	Coding Rules for Scoring the RRASOR	82
15	22	Improving Risk Assessments for Sex Offenders: A Comparison of Three Actuarial Scales - Hanson, Thornton	119
16			
17	23	Assessment of Risk for Criminal Recidivism Among Rapists: A Comparison of Four Different Measures - Sjostedt, Langstrom	119
18			
19	24	Predicting Relapse: A Meta-Analysis of Sexual Offender Recidivism Studies - Hanson, Bussier	119
20			
21	25	Evidentiary Issues, Actuarial Scales, and Sex Offender Civil Commitments - Doren	120
22			
23	A	Will They Do It Again? Predicting Sex-Offense Recidivism - Hanson	130
24			
25			

1 THE DEPOSITION OF DENNIS DOREN, Ph.D.
2 taken at 9:15 a.m. on February 13, 2001, at the OFFICES
3 OF THE WISCONSIN DEPARTMENT OF JUSTICE, 123 West
4 Washington Avenue, Madison, Wisconsin, before Karen
5 Blair, a Notary Public in and for the State of
6 Wisconsin, pursuant to the Rules of Civil Procedure.

7
8 VIDEOGRAPHER: My name is Donna Van
9 Bogaert of Van Bogaert and Associates,
10 Incorporated, 5910 Lexington Street, McFarland,
11 Wisconsin. This is the videotaped deposition of
12 Dr. Dennis Doren taken on February 13th, 2001,
13 at the Department of Justice located at 123 West
14 Washington Avenue in the City of Madison, County
15 of Dane, State of Wisconsin, commencing at
16 approximately 9:17 in the forenoon, regarding
17 the commitment of Billy Johnson, et al. in the
18 District Court of Montgomery County, Texas. The
19 case number is 00-02-01034-CV. The deposition
20 of Dr. Dennis Doren is being taken on behalf of
21 the State of Texas pursuant to Notice.

22 Would you state your appearances, please?

23 MR. THETFORD: My name is Chris Thetford.
24 I'm here from the Special Prosecution Unit
25 representing the State of Texas.

1 MR. BAL: I'm Greg Bal. I'm representing
2 the respondents in this case. I'm with the
3 Public Defender's office, State of Iowa.

4 DR. MASHEL: Lynn Maskel, M.D. I'm an
5 expert witness retained by the defense.

6 MS. MS. DeMARCO: Carol DeMarco,
7 psychologist.

8 VIDEOGRAPHER: Would you swear in the
9 witness, please.

10

11 DENNIS DOREN, Ph. D.,
12 having first been duly sworn, was
13 examined and testified as follows:

14

15 EXAMINATION

16 BY MR. THETFORD:

17 Q. Dr. Doren, for the sake of the jury would you
18 state your full name, please?

19 A. My name is Dennis Doren.

20 Q. Great.

21 Greg, before we get started I would like
22 to have admitted as Exhibit number 1 a copy of
23 the notice in this case so that we'll have
24 record that the depositions is being taken in
25 all of the cases that are currently pending.

1 MR. BAL: No objection.

2 BY MR. THETFORD:

3 Q. Dr. Doren, I would just like to go through some
4 preliminary things before we get started. First
5 of all let me ask you, have you had your
6 deposition taken in the past?

7 A. Yes.

8 Q. So you understand basically what it means to
9 have your deposition taken. And by that I mean
10 you understand that the answers that you give
11 today are just the same as if -- would be just
12 the same as if you were in court?

13 A. Yes.

14 Q. In fact, we've come today to take your
15 deposition in case you were not available to
16 come to Texas to testify down the road at a
17 hearing in any of these cases regarding the
18 actuarial instruments which might be used to
19 predict or to predict probabilities of
20 recidivism in any of their cases. Do you
21 understand that?

22 A. Yes I do.

23 Q. And you're comfortable doing that this morning?

24 A. Yes.

25 Q. Great. Just a couple of things I like to tell

- 1 people, and I know you've done this before so
2 I'm sure this is repetitious. If you and I
3 speak at the same time this nice lady to your
4 right will have a very difficult time taking
5 down what we say so I will work with you if you
6 will work with me, and let's try not to speak
7 together at the same time; okay?
- 8 A. Thank you.
- 9 Q. The second is that it's very polite in common
10 communication for us to nod our heads "yes" or
11 "no" in conversation and if you do that and I
12 look at you and say, "Is that yes," I'm not
13 being rude it's just that I want the record to
14 reflect that you said "yes" or you said "no";
15 okay?
- 16 A. I understand.
- 17 Q. All right. You've told us your name is Dennis
18 Doren. We're here in morning on February the
19 13th of 2001 in Madison, Wisconsin; is that
20 correct?
- 21 A. That's correct.
- 22 Q. And do you reside here in Madison?
- 23 A. Yes I do.
- 24 Q. Do you work here in Madison?
- 25 A. Yes I do.

1 Q. Can you tell the Judge what kind of work you do?

2 A. I'm a psychologist and administrator at a State
3 of Wisconsin forensic hospital. The name of the
4 hospital is Mendota, M-E-N-D-O-T-A Mental Health
5 Institute. I -- and at this point, actually,
6 there's a process of transition so I'm actually
7 associated with a different State of Wisconsin
8 institution, as well, called Sand Ridge Secure
9 Treatment Center. That is actually located in
10 Mauston, but my office is still here in
11 Madison.

12 I'm employed on a part-time basis
13 administering over the assessment team for
14 people who are doing the pre-commitment and
15 post-commitment evaluations under Wisconsin's
16 Chapter 980 which is called the Sexually Violent
17 Persons Act. It is in some important ways, in
18 my view, similar to a Texas law that we're here
19 about today.

20 Q. Can you tell the Judge how long you have been
21 working doing pre-commitment and post-commitment
22 evaluations for the State of Wisconsin under
23 their Sexually Violent Predator statute?

24 A. In Wisconsin it's called sexually violent
25 persons, not predator, just to be clear. I was

1 actually involved in the first case that went to
2 trial during the summer of 1994. Wisconsin's
3 law passed in June of 1994. And I've been
4 involved ever since, and been involved virtually
5 solely doing that work in my state employment
6 since February of 1997. Previous to that I was
7 also doing other administrative duties.

8 To finish answering your earlier question
9 I'm also in private practice as a psychologist.

10 Q. Before we get to your private practice duties so
11 that the Judge understands, what you say
12 pre-commitment do you mean doing evaluations on
13 individuals to determine whether or not they are
14 candidates for civil commitment? Is that what
15 you mean by pre-commitment?

16 A. In a manner of speaking, yes. It's -- I do them
17 or supervise other people doing them
18 pre-commitment at two different stages. Mostly
19 at the stage where there has been a different
20 prior evaluation in the Department of
21 corrections -- I don't work for the Department
22 of corrections here -- and there has been a
23 referral for a commitment and a prosecutor has
24 filed a petition and a probable cause hearing
25 has been held with probable cause being found.

1 It's that point where I or somebody that I
2 supervise typically comes into the picture.

3 The people that I supervise, including
4 myself, then are the team who do all of the
5 State of Wisconsin evaluations, from that point
6 on, that are either state- or court-appointed,
7 how everyone wants to define that.

8 Q. What about post-commitment evaluations? What
9 are those?

10 A. Those are after people have been committed to
11 either our in-patient facility or to an
12 out-patient setting. They are entitled to a --
13 let me abbreviate and say an annual review. It
14 actually starts at six months and goes annual
15 thereafter. They're also entitled to
16 evaluations when they petition the Court for a
17 less restrictive environment or for discharge.

18 For the annual reviews I now supervise all
19 of the people doing those reexaminations and
20 when somebody that I -- when the department for
21 which I am employed gets the Court-ordered
22 responsibility for a reexamination based on a
23 petition, then I also supervise that work.

24 Q. So that would be the equivalent in Texas of the
25 review that somebody has to determine whether or

1 not they should remain committed or not; is
2 that?

3 A. It is comparable in concept, yes.

4 Q. To that? Okay. You started a minute ago to
5 tell us about your private practice work because
6 you're also actively involved in private
7 practice. Can you tell the Court what it is
8 that you do in your private practice?

9 A. At this point in my private practice I am
10 involved almost solely with work related to sex
11 offender civil commitment around the country and
12 not in Wisconsin. Since I supervise the people
13 doing the work in Wisconsin I really can't,
14 then, do the private work in Wisconsin, with
15 rare exception.

16 But I do instruction, training. I do
17 court testimony. I do evaluations when hired by
18 whomever, and I do testimony for those
19 evaluations. And I have some -- I have a
20 contract for consultations, as well as
21 occasional other people call me for consultation
22 work.

23 I do that in, at this point, a number of
24 states such that out of the fifteen states with
25 sex offender civil commitment laws -- currently

1 active sex offender civil commitment laws I have
2 been in some way involved in -- I think now with
3 Texas it will be twelve of those fifteen.

4 Q. And this is the first work that you've done for
5 us in Texas; is that correct?

6 A. That's correct.

7 Q. To make things clear for --

8 A. For anyone in Texas.

9 Q. For anyone in Texas. To make things clear for
10 the Judge from the very beginning, the
11 prosecution side of the equation in this case,
12 my office, the special prosecution unit, has
13 retained you as a consultant and as an expert in
14 this case; is that correct?

15 A. Yes.

16 Q. And you have agreed to do that, and we have
17 agreed to compensate you for your time in
18 working with us as a consultant and an expert;
19 is that correct?

20 A. Yes.

21 Q. And can you tell the Court how much we are
22 paying you per hour to do that consulting work?

23 A. \$200 an hour.

24 Q. We've talked about your professional
25 experience. I want to talk about your

1 educational background which gives you the
2 abilities to have your professional experience.
3 Tell the Judge about your educational background
4 starting with your college degree and then
5 moving up from there.

6 A. I received a bachelor's in psychology from the
7 State University of New York at Buffalo in
8 1975. I received a master's in psychology from
9 Bucknell University in 1978. I received a
10 Ph.D., a doctorate in philosophy in clinical
11 psychology from Florida State University in
12 1983. That was with a subspecialty in crime and
13 delinquency studies. So --

14 Q. Once you finished your education were you
15 licensed to practice psychology in any states?

16 A. I've been licensed in Wisconsin as a
17 psychologist since February of 1984.

18 Q. And do you have permits to practice psychology
19 in any other states?

20 A. I have a permit to practice psychology in the
21 State of Iowa and a permit to practice
22 psychology in the State of Washington.

23 Q. You were kind enough before we started to bring
24 your CV, one of which you call your generic CV,
25 the other one you call your CV specifically

1 devoted to sex offender civil commitment
2 evaluations including Chapter 980, Wisconsin's
3 Sexually Violent Predator Act evaluations; is
4 that correct?

5 A. Except that it's persons act, but yes, that's
6 correct. We can go by predator. I won't keep
7 correcting you.

8 Q. That's all right. In Wisconsin it's persons.
9 I'll try to remember that. I'm going to show
10 you what's been marked as Exhibits 2 and 3. Are
11 those the CV's that you provided us with this
12 morning, the first one being your generic one
13 the second one being the one that's specific to
14 the work you do in this area?

15 A. Yes, these appear to be complete copies of both
16 of those different documents updated as of
17 February 10th, just three days ago.

18 MR. THETFORD: Greg, I believe you've had
19 a chance to look at those. Do you have any
20 objections to the admission of Exhibits 2 and 3.

21 MR. BAL: No objections.

22 MR. THETFORD: Great. If you will just
23 hand those to the court reporter then, unless
24 you need to look at them again -- I doubt you
25 do, but they'll be there if you do.

- 1 Q. I don't have a lot more questions about your
2 experience other than to ask you, you indicated
3 that you do do some training in this area of
4 work; is that correct?
- 5 A. Yes.
- 6 Q. Have you trained in many other states besides
7 Wisconsin?
- 8 A. A number of them, yes.
- 9 Q. Have you ever been to Texas for training?
- 10 A. Not to the evaluators or any other people
11 directly involved in the implementation of the
12 Texas law. I have given training at a
13 conference that was in San Antonio.
- 14 Q. Which states have you given training to
15 evaluators? Can you remember off the top of
16 your head?
- 17 A. Arizona -- I'll go alphabetical here; it's
18 easiest for me to remember -- Arizona, Florida.
19 The people in Illinois were in a training that
20 was in southern Wisconsin but they came up for
21 it. It was coordinated training. Kansas,
22 Missouri, North Dakota, and Wisconsin.
- 23 Q. I also had some notes that I found from some
24 materials that I was reviewing that you're in
25 the process of writing a book in this area; is

1 that correct?

2 A. I've actually basically completed the writing of
3 it. There are just the final fixing up the
4 references, things like that. It's scheduled to
5 be submitted to the publisher March 1st.

6 Q. And you've already secured a publisher for that
7 book?

8 A. Well I have a contract for that, yes.

9 Q. And can you tell the Court what the subject
10 matter for that book is going to be?

11 A. The title of the book is, Sex Offender Civil
12 Commitment Evaluations, a Manual. There are
13 nine chapters. I review, initially, the
14 different laws relevant -- the components
15 relevant to clinicians, and then look at
16 diagnostic issues related to the civil
17 commitment evaluation for sex offenders, and
18 then go into risk assessment issues for a number
19 of chapters and end up with a -- well I have a
20 chapter, then, about report writing and court
21 testimony and then end up with an ethics
22 chapter.

23 Q. Is the material in the book based upon the
24 materials that you rely on in your every-day
25 practice?

1 A. Oh, yes.

2 Q. I'm going to ask you now, have you had a chance,
3 prior to beginning your deposition today, to
4 read the Texas statute regarding the civil
5 commitment of what we call sexually violent
6 predators?

7 A. I have read through it and then spent some time
8 concentrating on the earlier portions of it as
9 part of that work I did in writing that first
10 book chapter.

11 Q. So you've included, in your book, information
12 about the Texas commitment statute, as well.

13 A. Included that in particular in comparison to
14 other state laws relevant to the clinical issues
15 for commitment.

16 Q. So in reading the Texas statute do you feel as
17 though today you're familiar with the evaluation
18 components in the statute?

19 A. I believe I am, yes.

20 Q. Would you agree with me that those evaluation
21 components are not completely different than the
22 evaluation components in the many other states
23 that have similar laws.

24 A. They actually overlap significantly. The
25 standard for the requisite mental condition uses

1 a different term than any other statute,
2 "behavioral abnormality" versus the more common
3 "mental abnormality" or the occasional "mental
4 disorder" that other statutes use, but the
5 statutory definition for mental (sic)
6 abnormality is virtually identical to other
7 states' definition of mental abnormality. The
8 risk level -- the risk threshold terminology for
9 commitment of "likely" is common to nine other
10 statutes besides Texas. Then there are three
11 other states with "more likely than not" and two
12 states with "substantial probability," but Texas
13 uses the most common term of just simply
14 "likely."

15 Q. I want to take you back in time if we can, and
16 sort of go through your mind for the Judge and
17 get you to describe the process that you went
18 through when you began doing this work, when you
19 began doing evaluations to determine whether or
20 not a person should be recommended for
21 commitment, starting with what was the first
22 step that you took in making that sort of
23 decision?

24 A. You're talking about back in 1994 when I began
25 this?

1 Q. Yes.

2 A. While I had experience working in the general
3 assessment of sex offenders, it was more about
4 their treatment needs. I was doing treatment
5 with them and so my perspective about my own
6 knowledge in this area was that I was too
7 limited, and yet I was given the first -- the
8 assignment to do that case. And so what I did
9 is, I went to basically the professional
10 libraries, published articles, books, things
11 along those lines, that described -- and what I
12 concentrated on were things that described
13 characteristics of sex offenders that had been
14 found through scientific research to either be
15 statistically related to sexual re-offending or
16 statistically clearly not related to sexual
17 re-offending.

18 And I compiled the information in a --
19 actually various different forms, but basically
20 concentrating on the -- both the consistency of
21 findings across studies, as well as the degree
22 of statistical relationship each of these
23 characteristics showed with sexual recidivism,
24 and in effect composed a set of empirically
25 based guidelines or risk factors to structure

1 the evaluation work relative to risk.

2 The diagnostic process, being the other
3 major clinical prong for commitment in
4 Wisconsin, did not need anywhere near that same
5 kind of updating. I simply read some articles
6 to make sure that I still knew the right kinds
7 of stuff, but I had already been doing
8 diagnostic work with sex offenders at that
9 point.

10 Q. I want to focus today, since the respondents in
11 this case have filed a motion seeking to exclude
12 expert testimony on the basis of the fact that
13 actuarial instruments have been used in Texas to
14 determine probabilities of recidivism. I want
15 to focus on that area and get your opinions in
16 that regard.

17 When we start doing that the first
18 question that I want to ask you is, as a
19 psychologist do you think it's ethical to use
20 actuarial assessments as part of the basis for
21 your opinion as to whether or not the defendant
22 poses a danger to sexually recidivate in the
23 future?

24 A. Just to correct a word in terms of my
25 understanding, there -- I would not use the term

1 "defendant," but "respondent."

2 Q. Respondent.

3 A. But with the understanding you meant respondent,
4 then I would consider it highly ethical and --
5 well, let me just say highly ethical under most
6 circumstances. There are circumstances where I
7 do not believe that the existing actuarial
8 instrumentation applies, simply because the
9 science, the research has not studied the
10 relationship and therefore it would be an
11 improper leap of faith to apply the instruments
12 in those cases.

13 Q. Which groups would it be improper to apply to?

14 A. The most clear category are female sex
15 offenders. There has been literally no work
16 with any of the major actuarial risk assessment
17 instruments relevant to sex offender recidivism
18 using female subjects of any age.

19 Another category that seems rather clear
20 are the particularly young juvenile offenders.
21 As they're approaching 16 or 17 there is some
22 suggestive research, in fact one piece of
23 research going down to age 15, where it shows
24 that the instruments still seem to work but
25 it's -- there aren't many pieces of that, those

1 pieces of research, so I would be inclined to
2 use the instruments but with a thinking of them
3 more as general guide posts than as something
4 that's more clear in its interpretation.

5 Q. Do you think it's acceptable to use these
6 actuarial assessments when applied to adult
7 inmates who have been incarcerated?

8 A. Adult male inmates who have been incarcerated
9 for a sexual offenses is by far the most common
10 type of subject for the pieces of research and
11 therefore under most circumstances that would be
12 true. There are still exceptions within that
13 group.

14 Q. And of course you would be willing to look at
15 different exceptions within that group like you
16 just said there were exceptions within that
17 group.

18 A. In applying any instrument one should always be
19 aware, in my opinion, of the appropriate
20 application and the inappropriate application.
21 So that is always the first question, not a
22 later question to look at is whether the
23 instrument really applies.

24 Q. You keep using, and I keep using, the word
25 "instrument" and not "test." I know in the

1 field of psychology there are things called
2 psychological tests. I'll represent to you that
3 last week I was at Texas A&M University at the
4 request of counsel for the respondents taking a
5 deposition of Dr. Leslie Morey, who is the
6 creator/developer of the PAI. For the Judge's
7 information, in your opinion is the PAI a
8 psychological test or is it more of an
9 instrument like the actuarial assessments that
10 we're here talking about today?

11 A. My understanding of it is that it's a
12 psychological test.

13 Q. Would I be correct in saying that the PAI is a
14 test like the MMPI is a test?

15 A. It has similar attributes as well as similar
16 research design underlying it, so I would say
17 yes.

18 Q. Can you describe for the Court, as best you can,
19 what the difference is between a psychological
20 test and an actuarial assessment of the variety
21 that we're talking about today?

22 A. I can answer that question, but as a caveat to
23 my answer I think it's of importance for me to
24 say that the current -- most recent, I should
25 say, written work of which I'm aware leaves a

1 very broad definition for what a psychological
2 test or educational test may be, and that in the
3 application to specific instruments there can be
4 debate.

5 With that as a caveat, my working
6 understanding, in brief, of a psychological test
7 is -- and these are not the words of the
8 definition, but that it measures something of a
9 psychological nature, does it in a systematic
10 way with a interpretation that's research
11 based. The implication is that it is used by
12 psychologists. It is particularly likely to be
13 a psychological test if it needs training of a
14 psychological or psychiatric nature -- I'm using
15 psychological in a generic sense -- needs
16 training of a psychological nature for its
17 proper use, both in terms of potentially it's
18 administration, but certainly in it's
19 interpretation.

20 An actuarial instrument does not have any
21 of those characteristics I just described except
22 that it is systematic and has a fixed
23 interpretation. Actuarial instruments are used
24 by insurance agents, for instance. It is simply
25 a systematic way of assigning a numeric process

1 to fixed pieces of information, types of
2 information, that comes up with a statistical
3 outcome of meaning. It does not necessarily
4 have any psychological interpretation to it. It
5 does not necessarily have -- need any
6 psychological training. It may need training in
7 its use, but not psychological in nature.

8 Q. Let me --

9 A. The basic difference, if I were going to put all
10 that into a nutshell, is an actuarial instrument
11 is for assessing a statistical property, in this
12 case risk, whereas psychological test is more
13 for the purpose of assessing a psychological
14 construct.

15 Q. So for example, if I represent to you that
16 Dr. Morey told us that his PAI was an instrument
17 that a psychologist could use to focus in on or
18 rule out a given psychological diagnosis would
19 that make sense to you as a psychological
20 construct that the PAI was designed to look at?

21 A. Yes. And the diagnosis is clearly
22 psychological -- in the broad sense, a
23 psychological concept.

24 Q. Whereas the instruments that we're here talking
25 about today, the actuarial assessments, are more

1 analogous to things like actuarial assessments
2 that life insurance companies do; is that
3 correct?

4 A. That is correct.

5 Q. When I say that, I mean a life insurance
6 company, as I understand their business, goes
7 through a process of making statistical
8 predictions about people based upon certain
9 factors as to when -- statistically how long
10 they'll live. Is that as you understand what
11 they do with their actuarial assessments?

12 A. To a point I'll agree with you. I would change
13 one concept that you talked about.

14 Q. Which is?

15 A. Statistical prediction. Actually, the insurance
16 agents really are not concerned about which
17 individual will do -- will live a certain period
18 or not; they are more interested in the group
19 information of -- therefore they are assessing a
20 degree of risk for a group of individuals who
21 share certain characteristics. That is the
22 typical actuarial process. It's not of a
23 prediction nature.

24 Q. So if an insurance agent came to me and I was
25 applying for life insurance, they would compile

- 1 the information that they would need to know
2 about me that they could put into their table to
3 put me with a similar group of people which
4 would allow them to make probability
5 predictions; is that correct?
- 6 A. And therefore figure out your premium, yes.
- 7 Q. And figure out my premium. In that sense it's
8 much like what car insurance companies do --
9 auto insurance companies do, as well; do they
10 not?
- 11 A. That's my understanding. I'm not an insurance
12 agent, but that's my understanding.
- 13 Q. So it's not that they predict one individual
14 person's risk, but rather one person with these
15 characteristics' group risk; is that correct?
- 16 A. I might word it a bit differently.
- 17 Q. Please do.
- 18 A. They look at the individual's characteristics.
19 So it's still in that sense an assessment of the
20 individual. But the interpretation of the
21 specific characteristics of the individual is
22 purely based on group information of risk.
- 23 Q. You indicated a minute ago that there's much
24 disagreement in your profession about whether or
25 not the actuarial assessments are a

- 1 psychological test or are merely an actuarial
2 assessment; is that correct.
- 3 A. You used a modifier of "much." I would agree
4 that there is disagreement; I'm not sure that
5 "much" is the right word. The real bottom line
6 of that debate is that -- to my knowledge is
7 that it is of not great importance about what
8 label we put to the instruments or whether we
9 call them tests or not, but whether or not --
10 the real bottom line underneath that debate is,
11 do they meet standards for use?
- 12 Q. For example, I will represent to you that I have
13 a JD degree and that's my advanced degree. I
14 don't have a master's degree or a Ph.D. in
15 psychology. As such I would not be qualified to
16 administered the PAI; would I?
- 17 A. Probably not.
- 18 Q. If, however, I was trained in performing and
19 scoring the MnSOST-R or the STATIC-99, two
20 instruments that we're here to talk about today,
21 could I be trained to do those despite my lack
22 of psychological training?
- 23 A. Yes. In fact, the MnSOST Revised in particular,
24 MnSOST is M-N-S-O-S-T revised or dash R, we say
25 MnSOST-R, the MnSOST Revised was designed

1 initially specifically for use by case workers
2 in prison setting who may or may not have much
3 of any psychological training.

4 Q. As we sit here today in February of 2001, in
5 different states, different states have taken
6 different positions in regard to the evidentiary
7 admissibility of these actuarial assessments; is
8 that correct?

9 A. Well, different states have different standards,
10 if that's what you're asking me.

11 Q. That's what I mean, yes.

12 A. Yeah, there are the Daubert standard,
13 D-A-U-B-E-R-T, the Fry standard, and the
14 relevancy standard.

15 Q. I want to ask you some questions about the
16 position that ATSA is taking in regard to the
17 actuarial assessments. The first question I'll
18 ask you is, can you tell the Court what ATSA
19 stands for?

20 A. A-T-S-A stands for the Association for the
21 Treatment of Sexual Abusers. It is an
22 international organization largely comprised of
23 psychologists and secondarily social workers and
24 a small set of other people, including
25 psychiatrists.

1 Q. And do they meet on a regular basis?

2 A. They have an annual conference. They have just
3 finished their nineteenth annual conference this
4 past November. They also have a journal that
5 comes out, a peer review journal that comes out
6 quarterly, I believe.

7 Q. Is ATSA in the process of developing an opinion
8 regarding the use of actuarial assessments?

9 A. They are in process of finalizing on what they
10 refer to as a policy.

11 Q. Can you describe for the Court what it means for
12 ATSA to come up with a policy?

13 A. What they did is, they commissioned a group of
14 select members, I don't know who they all were,
15 a year and a half ago who were given the task to
16 develop a policy -- what I would call a position
17 paper, an explanation of their term of policy --
18 concerning the US form of sex offender civil
19 commitment laws. And after approximately a year
20 and a half of work that committee submitted
21 their work to -- their proposed policy to the
22 board.

23 That submission occurred this past
24 November at the annual conference, they have an
25 annual business meeting, and then the board has

1 distributed that draft policy to, to my
2 knowledge, all of its members -- so I was told;
3 that's how I came to see it -- and asked for
4 feedback. And in answer to requests for
5 information I was told that the board will be
6 reviewing that feedback in March, though I was
7 also told they actually don't expect to finalize
8 their decision about what they're going to make
9 as the organization's policy probably until
10 May. This is all of 2001.

11 Q. Before I show you what's been marked as Exhibit
12 number 1 (sic), which is a draft of that policy
13 statement, I'm going to ask you these
14 questions. Were you on that board instrumental
15 in drafting this policy?

16 A. I was not on the committee at all. I am not on
17 the ATSA board. I am a member of ATSA. My sole
18 input to this draft policy involved -- occurred
19 at two stages. One was during October when the
20 policy was -- I should say draft policy was
21 being finalized by the policy board. I first
22 became aware of it when one member of that
23 committee contacted me and showed me a copy of
24 that and asked for some feedback about it. So I
25 had a little bit of early input. And then as a

1 member of ATSA, just like all the other members,
2 I was invited to give a response, which I did.

3 Q. I'm going to show you now what's marked as
4 Exhibit number 7, which you brought with you
5 today, which is a drafted of the proposed ATSA
6 policy regarding the civil commitment of
7 sexually violent predators; is that correct?

8 A. That's correct.

9 MR. THETFORD: Are there any objections to
10 the admission of Exhibit number 7, I believe it
11 is, Greg?

12 MR. BAL: No, no objection to the Court
13 considering it as long as the Court understands
14 that this is -- this policy has not been adopted
15 at this time.

16 MR. THETFORD: Correct. We'll stipulate
17 that it hasn't and that Dr. Doren has explained
18 when he expects that the board will.

19 Q. I want to walk through that policy, if we can,
20 and specifically look at the parts that talk
21 about the use of actuarial instruments in
22 predicting risk of recidivism. Does ATSA's
23 proposed policy statement say anything about the
24 use of actuarial assessments?

25 A. Yes.

- 1 Q. Can you describe for the Court what that
2 proposed policy does say about the use of
3 actuarial assessments?
- 4 A. On the second page of this draft policy is a
5 category entitled, Risk Assessment and there is
6 a general statement about -- that ATSA
7 recommends -- I'm paraphrasing -- that ATSA
8 recommends that the risk assessment process be
9 based on, quote, the best available scientific
10 knowledge, including the use of current
11 validated risk assessment instruments, ends of
12 quote. And then a little bit later in that the
13 statement is made here, the evaluator must use a
14 set of actuarial instruments derived through
15 scientific methods, and then it goes on about
16 other things.
- 17 Q. It doesn't specifically mention any particular
18 actuarial assessments; does it?
- 19 A. Any specific instrument, no, it does not.
- 20 Q. Just encourages the use of them, those that have
21 been validated as being scientific; correct?
- 22 A. The proposal does more than encourages, it talks
23 about the policy being a "must use." Which, as
24 I have actually stated earlier in my testimony,
25 it overstates my perspective. I think there are

1 situations where that would not be true and not
2 be accurate.

3 Q. And you've told us which situations those would
4 be.

5 A. Yes. Unless one interprets the "must" by
6 emphasizing the "validated." I was talking
7 about certain situation the instruments would
8 not apply because they're not validated for
9 those situations. Then there is a disagreement.

10 Q. Let me ask you this, since we've talked about
11 the ATSA proposed policy statement. Do you have
12 an opinion as to whether or not actuarial risk
13 assessments are accepted in your field of work?

14 A. Yes I do.

15 Q. Can you tell the Court what your opinion is in
16 that area?

17 A. That they are of widespread use and generally
18 accepted.

19 Q. Do you think that these instruments have
20 demonstrated sufficient research to justify
21 their use?

22 A. Yes. But I should qualify both that answer and
23 my previous one by saying, along with other
24 information. But as part of a process the
25 answer for both would be yes.

1 Q. And let me ask you about some instruments in
2 particularly as to whether or not you have an
3 opinion about whether or not they have
4 demonstrated sufficient research to justify
5 their use, the first being the STATIC-99. Do
6 you think that that instrument has demonstrated
7 sufficient research to justify its use?

8 A. Yes, under certain circumstances.

9 Q. Which circumstances would it not be -- has it
10 not demonstrated sufficient research to justify
11 its use?

12 A. As I was mentioning earlier, particularly about
13 women, there've been no studies of the STATIC-99
14 with female sex offenders. There has been one
15 study that comes to mind with people who have --
16 may never have been incarcerated but are in
17 community treatment programs who are convicted
18 sex offenders. That seemed to apply there, but
19 that's only one study so if I were applying it
20 to someone who's never been incarcerated that
21 might be a problem.

22 And to juveniles there's been a small
23 study, actually in Texas, interestingly, out of
24 the Texas Youth Commission applying the
25 STATIC-99 to people who were sex offender -- an

1 adjudicated sex offender as a juvenile. Again,
2 it showed some support there, but it's only one
3 study and the numbers were small. So I would be
4 more reluctant to talk about it being fully
5 valid with those other select kind of groups.

6 Q. So once again, we're talking about women and
7 juveniles.

8 A. Yes.

9 Q. In terms of the MnSOST-R do you have an opinion
10 as to whether or not it has demonstrated
11 sufficient research to justify its use?

12 A. Yes, but to a lesser degree than the STATIC-99.

13 Q. Tell the Judge why you say that.

14 A. Simply fewer pieces of research. The support
15 appears to be in the same direction,
16 approximately the same degree in the studies
17 that have been found -- studies that have been
18 done. In fact, in some sense the MnSOST
19 Revised, depending on which statistic one looks
20 at, may show superiority over the STATIC-99 with
21 other statistics would show inferiority. So
22 it's not -- it depends which statistic one looks
23 at. But the reason for my statement is that
24 there have simply been fewer studies of the
25 MnSOST Revised compared to STATIC-99.

1 Q. What about the PCL-R? Have an opinion about
2 that assessment tool or do you call that a test?

3 A. That is a psychological test and I have lots of
4 opinions. I need for you to be more specific
5 with your question.

6 Q. Do you have an opinion as to whether or not it
7 has demonstrated sufficient research to be
8 accepted in this field?

9 A. Oh, absolutely. Robert Hare, H-A-R-E, the
10 person who developed the instrument -- the test,
11 it's a psychological test -- has reported that
12 there are over five hundred studies of that --
13 of the PCL-R, the Psychopathy Checklist,
14 Revised. It was -- it was initially developed
15 in 1981. The revised form was developed in 1990
16 with a manual in 1991. So it has been around
17 for ten years. It has been studied in many
18 countries on multiple continents. The
19 consistency of results, including with females,
20 for instance, is quite robust. There is a
21 different -- there are two different forms.
22 Instead of the PCL-R there are two different
23 forms for potential use with juveniles and that
24 shows there are far fewer pieces of research,
25 and that they are still showing a robust set of

1 findings, however.

2 Q. How do you, as a professional in this area, make
3 a decision as to whether or not these
4 instruments are accepted in your field?

5 A. There are a number of different ways. One of
6 those -- and I would not consider it sufficient,
7 but indicative -- is the frequency of use.
8 There can be reasons why something is frequently
9 used and ultimately found to be -- to go into
10 disfavor. But if something's not frequently
11 used within at least a set of people who are
12 most likely to be using it, then it's hard to
13 view it as generally accepted. So I see it in
14 that sense as a necessary but not sufficient
15 condition.

16 Q. Right.

17 A. In addition, I would look at the organizations
18 who are most relevant to that area. In this
19 case I would considerate ATSA to be one such
20 organization, and its proposed policy statement
21 relative to the SVP laws, the sex offender civil
22 commitment laws, would show that same type of
23 widespread or general acceptance.

24 A third way in which I would look for the
25 general acceptance is in the materials that make

1 it into professional presentations at
2 conferences and into professional publications.
3 There are -- have been, at this point, quite a
4 few presentations on these instruments
5 collectively and individually, both in terms of
6 training sessions, but more importantly to me in
7 terms of research about the instruments.

8 Most importantly to me, relative to the
9 research, however, is that when something
10 becomes generally accepted in the field you can
11 tell that -- you can tell that by that the
12 research starts to move beyond the issue of, Is
13 this okay to use or not? and starts going to the
14 details of when it is of best use and with what
15 ways should we be modifying it? So it's a
16 second step of research. That has already begun
17 to occur, even with these instruments that are
18 only a few year old. And so it suggests to me
19 that the issue of general acceptance in the
20 field or widespread acceptance in the field is
21 not a -- so much of a question in my mind as
22 where the field is now going beyond that.

23 Q. There's often questions raised by the
24 respondents in these cases about how you define
25 what the field is, what the professional field

1 is. And I'm sure you've heard that in other
2 cases. Do you have an opinion about that, what
3 the relevant professional field is that the
4 Court should look at to determine whether or not
5 these instruments have been accepted by that
6 field?

7 A. I do have an opinion and at the same time I
8 acknowledge that the exact parameters of
9 defining that may not be clear.

10 Q. Okay. What is your opinion?

11 A. The general way in which I describe the relevant
12 field is the set of people who are inclusive of
13 the following: People who are involved with sex
14 offender civil commitment work, community
15 notification work, community registration work,
16 when there are different gradations for risk to
17 be determined; the people who are involved in
18 sex offender treatment; and the people involved
19 with the research related to any of those kind
20 of issues, including risk assessment.

21 I don't go beyond that in my opinion for
22 the following reason. I don't include, for
23 instance, all psychologists or even all forensic
24 psychologists. I don't include all
25 psychiatrists or all forensic psychiatrists.

1 And I can include, for instance, some people who
2 are other than that, social workers who would
3 fit into one of those categories.

4 The reason that I don't go beyond that is
5 maybe best explained by metaphor. If we were to
6 try to determine whether a new instrument or
7 a -- an instrument, new or otherwise, is
8 generally accepted by people who do brain
9 surgery I don't think that we would get
10 meaningful responses by asking all physicians.
11 People who work with the general practice may
12 not have a clue about whether or not this
13 instrument is generally accepted, nor should we
14 expect them to. I might not even specify it be
15 all surgeons. It may be something that is quite
16 specific to neurological type surgery and not to
17 eye surgery or something else. And so I would
18 really want to know from the people who have
19 reason to have knowledge about it, about whether
20 or not it's generally accepted.

21 The reason to get more specific about why
22 I would not even include all forensic
23 psychologists -- I consider myself a forensic
24 psychologist -- is because I know, for instance,
25 in the field of forensic psychology there's a

1 sub area having to do with child custody work.
2 I don't know much of anything about child
3 custody work and I would not consider myself an
4 expert in that area. Likewise, just because
5 someone's an expert in child custody would not
6 suggest too me that they necessarily know
7 anything about sex offender assessment and I
8 would -- certainly risk assessment. So I would
9 think that one has to be more specific if one's
10 going to get a meaningful response from some
11 type of, shall we say, polling of the field.

12 Q. Have you done any unofficial surveys regarding
13 the different risk assessments and which states
14 are using which risk assessments?

15 A. I've done two informal surveys, the last one
16 being in July of 1999. These are specifically
17 in the states that were then active sex offender
18 civil commitment states and what I did,
19 basically, was find out the relative frequency
20 of use of any variety of instruments,
21 psychological tests or otherwise, that
22 evaluators were either state- or
23 court-appointed, were using in those
24 assessments.

25 I've also since that time not done any

1 formal surveying, but as I was testifying to
2 earlier I've had direct contacts with a lot of
3 those same people on a more individual basis
4 doing trainings and court testimony and
5 consultations, et cetera, around the country.
6 So I also have direct experience from either
7 what I've read or what I've been told by those
8 people about what they use.

9 (Exhibit 10 was marked for identification
10 and a copy is attached hereto.)

11 BY MR. THETFORD:

12 Q. Dr. Doren, number 10 has been marked. Is this
13 the compilation of the informal survey that you
14 did regarding the different states which have
15 commitment laws and the different actuarial
16 assessment instruments that the evaluators are
17 using in those states?

18 A. This was the compilation that I summarized -- a
19 summary from the July, 1999 survey. It was
20 not -- just to clarify your question actually,
21 it was not just psychological instruments -- I
22 should say actuarial instruments, it included
23 psychological tests in the survey; it included
24 physiological measures, as well.

25 MR. THETFORD: Do you have any objections

1 to the admission of that exhibit, Greg?

2 MR. BAL: I would like to ask a couple of
3 foundation questions prior to admissibility, if
4 I will.

5 MR. THETFORD: Sure.

6

7

EXAMINATION

8 BY MR. BAL:

9 Q. Dr. Doren, this survey was based on telephone
10 calls?

11 A. E-mail consultation actually. None of it was
12 through telephone; it was all through e-mail.

13 Q. And these calls were to evaluators in states?
14 Department of Corrections? Who did you contact
15 in each state?

16 A. I had one person in each of thirteen states at
17 that time, not all fifteen that currently
18 exist. One contact person per each state. That
19 person was someone who had contact with the
20 state- or court-appointed evaluators in that
21 state. I did not have direct contact with all
22 of the evaluators in each state. I relied on
23 each contact person or each liaison person, per
24 state, what I refer to as a state
25 representative, for that e-mail consultation

1 network. I relied on that person to forward the
2 information to me.

3 Q. And these people -- (Unintelligible).

4 COURT REPORTER: Would you say that again?

5 Your hand --

6 BY MR. BAL:

7 Q. The people that you contacted, were any of them
8 with the Department of Corrections for each
9 state?

10 A. Yes. I'm just figuring out which states.

11 I believe at the time there were three
12 such people. In California, in Minnesota, and
13 in South Carolina.

14 Q. So this survey doesn't necessarily represent the
15 Department of Corrections for each and every
16 state you have listed and whether or not that
17 Department of Corrections has adopted this
18 particular tool.

19 A. It is not representative, to my knowledge, of
20 any single department in any single state. That
21 was not its design.

22 Q. And this is not an exhaustive survey of, say,
23 various clinicians in each state; correct?

24 A. It was quite specifically just to survey, even
25 in the informal way, the process of people who

1 were either state- or court-appointed, not
2 people who may be hired by the defense -- who
3 may or may not be the same people. I did not
4 have access to that set of people, who may have
5 been different. Some people will work for
6 anybody who hires them so I'm saying that may
7 have overlapped a set of people, but there are
8 clearly a set of people who have worked for the
9 defense only. I did not survey those people,
10 even informally.

11 Q. But it's not an exhaustive survey of even people
12 who may work for the state; correct?

13 A. Probably not. What it was, was a -- at that
14 point was simply the information from one
15 contact person. How that person gathered the
16 information varied from state to state and I do
17 not know all the details.

18 MR. BAL: No objections.

19

20 CONTINUED EXAMINATION

21 BY MR. THETFORD:

22 Q. Dr. Doren, based upon this informal survey that
23 you've done what have you learned regarding the
24 use of the RRASOR in states that have commitment
25 laws?

1 A. At the time I did the assessment there were
2 thirteen states with active sex offender civil
3 commitment laws and the survey that I did, just
4 to make it clear what I was getting at, was per
5 instrument or test or physiological measure, the
6 person getting back to me told me that that
7 instrument was used by virtually none of the
8 people; some of the people, meaning a minority;
9 most of the people, meaning a majority; or
10 virtually all. The RRASOR was used by at least
11 most of the people in all thirteen states.

12 Q. What about the MnSOST-R?

13 A. At that point in time it was used by at least
14 most of the people in ten of the thirteen
15 states. We're going back, now, a year and a
16 half, but that's what it was.

17 Q. And the STATIC-99?

18 A. STATIC-99 had only come into existence and come
19 to the states six months previously. At that
20 point of the survey it was used by at least most
21 of the evaluators in five of the states where
22 the state representative to this consultation
23 network for six other states said that they
24 anticipated using it but they wanted to learn
25 more about it first.

- 1 Q. What about the PCL-R?
- 2 A. The PCL-R was used as part of the assessment by
3 at least most of the evaluators in ten of the
4 thirteen states. An eleventh, that being
5 Missouri, stated that they had full plans of
6 using it when their forensic evaluators -- which
7 is a title they have there -- were trained in
8 the instrument.
- 9 Q. Have you done evaluations for civil commitment
10 in other states other than Wisconsin?
- 11 A. Yes.
- 12 Q. Let's start with Wisconsin. In Wisconsin, if
13 you were going to do an evaluation which
14 actuarial assessments would you utilize,
15 assuming it was a man who was incarcerated?
- 16 A. An adult man who was incarcerated who wasn't of
17 certain other kind of characteristics, so the
18 most typical type of cases, then the standard
19 set of actuarial instruments I use as part of my
20 assessment are the RRASOR, the STATIC-99 and the
21 MnSOST Revised. And then I also use the PCL-R,
22 but that's not an actuarial assessment.
- 23 Q. And did the staff of psychologists which you
24 supervise use those same instruments?
- 25 A. In the pre-commitment process, that is true to a

1 person. They use all of those. In the
2 post-commitment reexamination process, until
3 recently I believe they were using just the
4 STATIC-99 and the MnSOST Revised, and we are
5 basically having discussions about whether the
6 RRASOR should be added.

7 Q. Have you done evaluations in Iowa?

8 A. Yes.

9 Q. In Iowa which actuarial assessments have you
10 used?

11 A. The same: The STATIC-99, the RRASOR and the
12 MnSOST Revised.

13 Q. So wherever you do the evaluations you,
14 personally, use the same set of evaluations?

15 A. Given that the same type of characteristics of
16 the individual in terms of the adult male
17 incarcerated without special characteristics,
18 then the answer is yes. I don't change
19 depending on where I am.

20 Q. What other states have you done evaluations in
21 besides Iowa and Wisconsin?

22 A. Arizona, California, Florida, Illinois, Iowa. I
23 haven't done work in Missouri, but I'm about to
24 get sent a case, from what I've been told. But
25 I guess that doesn't count. Washington and

1 Wisconsin.

2 Q. And in all of those cases where it was adult
3 males who were incarcerated you've used the set
4 of instruments that you've described for the
5 Court?

6 A. In the current set, yes. If you're going back
7 far enough then there were times before even the
8 STATIC-99 existed that I was doing work, so of
9 course I didn't use that. And in Wisconsin I
10 was doing work before any of the instruments so
11 I used them more individually. In addition, in
12 the past I used an instrument called the MnSOST,
13 not the revised but an earlier instrument, and
14 if one goes back far enough I used the VRAG,
15 V-R-A-G, before even the RRASOR exist.

16 Q. And the VRAG is an assessment instrument for
17 risk assessment in general; is it not?

18 A. For interpersonal violence. It is not specific
19 to sex offenders and it's not specific to sexual
20 vial.

21 Q. Can you tell the Court which states you've
22 testified in, in sexual commitment cases?

23 A. Yes. I'm going to remind myself by looking at
24 my CV. Where I'm looking is on pages eleven
25 through thirteen of Exhibit 3. I have testified

1 in probable cause hearings for civil commitments
2 of sex offenders in Iowa and Washington. This
3 is besides Wisconsin. I have done depositions
4 either for a -- as a pretrial or pre-commitment
5 hearing process, or like today's where it was
6 submitted as part of a motion hearing, in
7 Illinois, Florida, Washington, and Iowa, and
8 Arizona. I have done -- until today they were
9 all Fry hearings. I was thinking -- which is
10 what the standard was. Fry hearings in Florida,
11 New Jersey, Missouri, Iowa. And I have done
12 testimony in final commitment hearings in
13 Illinois, Washington, Iowa, Florida and I'm
14 scheduled for one in Arizona next week.

15 Q. Tell the Court, as best you can recall, any
16 instances where you have provided testimony in
17 Fry hearings -- which is the closest analogy I
18 can come up to with our Daubert hearing that's
19 coming up -- in which states has the Court
20 allowed the admission of the actuarial
21 assessments?

22 A. I don't know that I know the bottom line in all
23 cases because I don't know that the courts have
24 always ruled. I have not followed up in that
25 way. What I am aware of is that in those -- the

1 Fry hearings in Florida and -- which is mostly
2 where the Fry hearings have been occurring --
3 and in Iowa, which has just recently been doing
4 those, all of those that I've been involved with
5 were specific to the admissibility of actuarial
6 instruments testimony and in the hearings in
7 which I have testified there were two hearings
8 in Florida where the Judge or Judges -- in one
9 case it was a multiple-judge panel -- ruled
10 against the admissibility and all of the others,
11 at least if they have ruled, to date have ruled
12 for the admissibility. Those are in totaling
13 maybe ten Fry hearings. Ten, twelve, I don't
14 know, the number would be in there, where the
15 courts apparently have admitted such evidence.

16 Q. If I represent to you that I am aware of one
17 trial judge in Iowa who has excluded them and
18 one judge in Florida who has excluded them would
19 that be your knowledge?

20 A. I'm aware of one in Iowa and two in Florida.
21 Two hearings. One was a multiple-judge panel.
22 Those are the three of which I am aware,
23 country-wide, that the Courts have ruled against
24 the admissibility of the actuarial instrument
25 evidence in the later commitment hearing. In

1 all other cases -- again, if they have ruled;
2 I've not always fouled up to find out if that
3 has yet occurred -- they have allowed
4 admissibility of the evidence.

5 Q. I want to take the Judge back historically
6 before the development of some of these
7 instruments. And can you describe for him what
8 a psychologist such as yourself, a forensic
9 psychologist, would have done if assigned the
10 task under the Texas statute in rendering an
11 opinion on an evaluation, as the statute
12 requires, without actuarial assessments? What
13 would you have done?

14 A. What I would have done and what I would still
15 do -- what I did do and what I would still do is
16 look at a list of risk factors that have been
17 shown by research to be related to sexual
18 re-offending. I would try to make sure that
19 that list was well grounded in the research
20 findings, and I would use that basically to
21 structure my clinical judgment.

22 So I would look at the -- this list of
23 risk factors and then look at the individual's
24 life relevant to each of these characteristics
25 and see which have these applied and to what

1 degree, so it's application as well as
2 intensity, and then in a judgment call process
3 weigh these in whatever way I think is
4 appropriate and make an assessment of whether or
5 not this appears to be likely, however I
6 understand that term.

7 Q. And now that the actuarial assessments are
8 available to you, can you describe for the Judge
9 the process that you would go through in
10 addition to what you just described?

11 A. I would actually start, and do actually start,
12 with the instruments results -- again, assuming
13 that they apply to the case. If they don't, I
14 go back to exactly this risk factor list. That
15 is the fall-back position. The --.

16 But I start with the actuarial instruments
17 and use, again, the individual's information to
18 score the relevant characteristics, the risk
19 factors that are included in the instruments.
20 The only difference are the instruments then
21 attach numbers to these and you add a number --
22 them up and those numbers have some statistical
23 meaning. So it's like an additional step to the
24 list of risk factors.

25 I use that process, then, to ground myself

1 in that zero to 100 percent possibility of
2 somebody's risk, to find the general range in
3 which the actuarial instruments would suggest
4 this person falls, knowing that these
5 percentages have error around them. That's why
6 I talk about it's a range, it's not a number.

7 The research of which I'm aware, however,
8 it would indicate to me that these instruments
9 are not comprehensive in what they look at
10 relevant to -- I should say related to those --
11 the complete set of characteristics that I or we
12 of the science have reason to believe are
13 potentially meaningful in the assessment of the
14 sex offender recidivism risk and so I need to
15 look beyond the instruments.

16 That does not mean I look at all
17 characteristics, and it does not mean I discount
18 the instruments. I stay grounded. But there's
19 research that indicates that certain kind of
20 characteristics such as participation and
21 completion of a treatment program of relevance
22 can lower somebody's risk and so the whole
23 category would move down then. On the other
24 hand, the intensity of someone's illegal sexual
25 interest, for instance, pedophilia to use the

1 formal term, could actually increase the degree
2 of risk. I say intensity, not simply the
3 existence. Those kind of things I look at.

4 I have to take into consideration other
5 kinds of clinical considerations that -- such
6 as, is the person telling me that he's going do
7 it again or is the person, for instance, under
8 such severe physical health problems that he's
9 not likely to live very much longer. I mean,
10 those obvious considerations must be viewed as
11 part of the overall risk assessment, as well.

12 Q. If I understand your answer correctly, that
13 while you now have at your use actuarial risk
14 assessments you do not consider them the be-all
15 and end-all of the job you were assigned to do.

16 A. That -- emphatically that's correct. They are a
17 useful tool, but they are not the bottom line.
18 And that's, again, the -- conceptually as well
19 as statistically. It's because we know that
20 they don't draw from all the information that
21 may be of potential relevance. They just
22 organize a certain part of the information.

23 Q. So you're not suggesting that civil commitment
24 programs should get to the point where they
25 simply generate a result on an STATIC-99 or

1 MnSOST-R, however it's scored, that that's the
2 ultimate decision that's made regarding
3 commitment. That's not what you're advocating;
4 is it?

5 A. With the current set of actual instruments
6 that's absolutely not what I'm advocating. And
7 to my knowledge there's no one in the country
8 doing sex offender civil commitment evaluations
9 who does a purely actuarial approach that you're
10 describing. There's always a clinical
11 additional process to that as well as, in my
12 opinion, there should be.

13 Q. There is literature that's been published which
14 supports the use of actuarial assessments that
15 way; has there not been?

16 A. Yes.

17 Q. Dr. Hanson published an article in 1998; did he
18 not?

19 A. He published a few things in 1998 but I think I
20 know which one you're referring to, but yes, he
21 did.

22 Q. Which one am I referring?

23 A. The, What Do We Know About Sex Offender Risk
24 Assessment article in the journal, Psychology,
25 Public Policy, and the Law.

- 1 Q. Are you familiar with that article?
- 2 A. Yes I am.
- 3 Q. And do you agree with his conclusions in that
4 article?
- 5 A. Virtually to -- every one, yes.
- 6 Q. Now, you started off kindly enough for us
7 describing when you first started the process
8 that you would go through, and now with the
9 development of these actuarial assessments the
10 process that you go through. Has there been
11 research done which shows the accuracy of doing
12 purely clinical assessment, which was what you
13 were doing at first I believe, compared to
14 clinical assessment with the use of actuarial
15 instruments in terms of your accuracy?
- 16 A. The answer to your question is yes, but the way
17 in which you're using the terms are different
18 from the way that I would use them.
- 19 Q. Give me the terms you would use?
- 20 A. There are -- probably the easiest way to think
21 of it is that there are four different types of
22 methods of risk assessment, not just the two
23 that you were just describing. One is the --
24 generally referred to as the unaided clinical
25 judgment. That's where the clinician is doing a

1 risk assessment based on whatever he or she
2 thinks is important to the case, but without any
3 a priori list of risk factors, certainly, it may
4 or may not be research based at all.

5 The second form is more of a research
6 guided, structured approach. That's what I was
7 describing as the fall back position and what I
8 used to use, not the unaided clinical judgment.
9 The that's where you have a list of risk
10 factors, but exactly how to combine them in an a
11 priori way, but exactly how you combine them and
12 exactly what different combinations means, is
13 unknown.

14 A third way that we've mentioned already
15 is purely actuarial. That's where you just use
16 one or more actuarial instruments and whatever
17 their bottom line is, is the bottom line. I
18 don't recommend that, for the reasons we
19 described.

20 And the fourth is the more common approach
21 for me, as well as around the country, in sex
22 offender civil commitment evaluations. What's
23 referred to as clinically adjusted actuarial
24 approach. So you start with the actuarial then
25 you make clinical adjustments.

1 Q. Have are there been studies that compare purely
2 clinical evaluations to those utilizing
3 actuarial assessments, as well?

4 A. Yes.

5 Q. And can you tell the Judge which approach shows
6 more accuracy, purely clinical judgments or
7 clinical judgments with the addition of
8 actuarial assessment?

9 A. If we're talking about accuracy in terms of
10 predictive accuracy then the relationship of all
11 four of these is that the clinical -- purely
12 clinical shows the lease degree of accuracy. It
13 seems to be better than chance, but not by
14 much. That's the unaided. Then there's reason
15 to believe that there's validity, some degree of
16 accuracy, an improvement, by using the list of
17 risk factors. It's at least as accurate as the
18 unaided clinical, and probably better. The
19 purely actuarial is then at least as good, if
20 not better than, the list of risk factors. And
21 there are at this point, of which I'm aware,
22 eight pieces of research that look at the
23 clinically adjusted actuarial process and
24 interestingly enough it appears to be at least
25 as good, if not better than, purely actuarial.

1 With the instruments we have. So that each step
2 seems to be at least as good, if not better.

3 Q. So it gets better as you move along the
4 hierarchy there?

5 A. At least as good, if not better.

6 (Exhibits 11 and 12 were marked for
7 identification and copies are attached hereto.)

8 BY MR. THETFORD:

9 Q. I'll show you Exhibit 5, which you brought with
10 you today, which is a bibliography that the
11 first set of articles on the bibliography
12 include articles regarding comparisons of
13 clinical assessments versus the different forms
14 that you've chronicled for the Judge; is that
15 correct?

16 A. On the first page are five comparisons of actual
17 actuarial assessments compared to the clinical
18 risk assessment, and then going onto page 2 are
19 the eight citations I was -- made mention of
20 looking specifically at the clinically adjusted
21 process versus the purely actuarial process.

22 MR. THETFORD: Greg, I would ask that
23 those exhibits be admitted. If you have any
24 objections I would like to hear what they are.

25 MR. BAL: No objections.

1 BY MR. THETFORD:

2 Q. Dr. Doren, I'll ask you about the bibliography
3 that you prepared as Exhibit number 5. Would
4 that be an aid to the Court in looking at the
5 publications that have been made in all of the
6 different areas of your testimony today that
7 we're going to be talking about?

8 A. Well, it would be an aid. It's not inclusive of
9 all publications, but it is specific to a number
10 of the issues that we're talking about, as well
11 as various presentations, dissertations, not
12 just publications. For instance, concerning the
13 STATIC-99, research related to that starts on
14 page six and goes through page eight, and
15 research on the MnSOST Revised starts on page
16 eight and goes through page -- the top of Page
17 ten.

18 Q. Great. I want to ask you about a study that I
19 read recently by, if I can pronounce the name,
20 and I'll spell it for the court reporter,
21 Nicholiachuk. Are you familiar with that
22 author? N-I-C-H-O-L-I-A-C-H-U-K?

23 A. Terry Nicholiachuk, yes.

24 Q. Which focused on the issue of showing or
25 demonstrating the use of actuarials doing a good

1 job of limiting predictions of violence. When I
2 say limiting predictions of violence meaning, we
3 don't want to predict that someone is going to
4 sexually recidivate violently when they're not.
5 So the purpose of the study, as I understood it,
6 was to show which ones do the best job at
7 limiting the group of people that we say
8 statistically would fall into a group who would
9 commit repeated acts of sexual violence; is that
10 correct?

11 A. If I understand -- that was a long question, but
12 if I understand correctly you're referring to
13 the Nicholiachuk, Templeman, and Gu, G-U, study
14 in which they first looked at a set of seven
15 hundred and forty one sex offenders being
16 released from the -- or who were released, I
17 should say, from a psychiatric center in the
18 Canadian prison system and they demonstrated
19 initially the utility or accuracy of the RRASOR
20 in assessing the likelihood for risk -- for
21 sexual re-conviction, most specifically, in that
22 study, over a period of ten years.

23 In addition, however, they also had had
24 clinicians use a more unaided clinical judgment
25 process to assess those same sexual offenders

1 when leaving prison and found that the RRASOR
2 labeled as high risk -- in their definition,
3 which those familiar with the instrument know is
4 a score of four or higher -- the RRASOR was
5 identifying about 9.8 percent, if I remember the
6 statistic correctly, of the people leaving as
7 high risk for sexual recidivism, which was
8 almost on target to what the final result was,
9 whereas the clinicians had the categories of
10 low, medium, or high risk in their assessment
11 and they had labeled ultimately over 60 percent
12 of the sex offenders leaving prison as high
13 risk. And they were clearly significantly
14 overestimating the risk of these individuals.

15 Q. So the result of that study then shows that
16 clinical judgment is actually more subjective
17 than actuarial assessment?

18 A. Oh, that's clearly true. But subjective in a
19 predictable direction that clinicians tend to
20 see more risk than the actuarial instruments
21 would indicate to exist. And the instruments
22 tend to be more accurate.

23 Q. Oftentimes in what you've testified, and in Fry
24 hearings I'm sure, and what I expect to hear in
25 our Daubert hearing next month, is that the

1 respondents will argue that these actuarial
2 assessments don't meet the requirements of the
3 APA. Are you familiar with those arguments?
4 A. The American Psychological Association?
5 Q. Correct.
6 A. Because there's also the American Psychiatric --
7 Q. Right. The Psychological Association.
8 A. The American Psychological Association, there is
9 a set -- they've been updated, most recently
10 being 1999 -- of what are referred to as the
11 educational and psychological standards --
12 psychological test standards. I am somewhat
13 familiar with that, yes.
14 Q. And that goes back to the area that we talked
15 about early on this morning regarding whether or
16 not these assessments are tests or are not
17 psychological tests; does it not?
18 A. That's where that is written, that definition
19 that I think most people would agree is rather
20 broad and vague.
21 Q. Do you think that inter-rater reliability is
22 important with these instruments?
23 A. Absolutely.
24 Q. Describe for the Judge what we mean when we say
25 inter-rater reliability?

- 1 A. The concept is simply that there's consistency
2 across different people rating the same case.
3 Conceptually it-s we can't be measuring anything
4 useful if we can't be measuring it consistently
5 enough.
- 6 Q. So for example, there are currently seven people
7 in this room right now. Only the Judge can you
8 see you because you're being photographed, but
9 there are seven people in here and if all seven
10 of us scored the same case we could come up with
11 some ideas on that case regarding inter-rater
12 reliability. I know that that's a small
13 sample. I know it wouldn't be necessarily
14 scientifically valid, but that's essentially
15 what you're talking about; am I not right?
- 16 A. The concept would be of that type and then for
17 us to be able to show consistency across a
18 number of cases that we would each be rating,
19 yes.
- 20 Q. Out of curiosity, the STATIC-99 and the
21 MnSOST-R and the PCL-R -- strike that. The
22 STATIC-99 and the MnSOST-R, rather, they're
23 instruments that the person who's being
24 evaluated could see prior to the evaluator
25 actually filling them out on that person.

- 1 A. Sure.
- 2 Q. And it wouldn't change the score.
- 3 A. Both of those things are correct. The
4 information on those instruments is available on
5 the internet so it would actually surprise me if
6 there aren't people who are incarcerated who
7 have become familiar with them out of their own
8 need to know. And the scoring of the instrument
9 is based -- on both those instruments, with the
10 exception of two or three items on the MnSOST
11 Revised, are all historical in nature and so the
12 person at that point in time, at the time of
13 which he or she is being assessed -- let me say
14 he, because I said I wouldn't use it with
15 women. At the time he's being assessed, he
16 couldn't change anything. It would be whatever
17 his records were demonstrating.
- 18 Q. Dr. Epperson described that for us last week in
19 the layman's terms of, either it happened or it
20 didn't happen.
- 21 A. Conceptually, that's correct. There can be a
22 bit more ambiguity than that, but conceptually,
23 that's correct.
- 24 Q. Let's start with the STATIC-99. I've got
25 Exhibits 8 and 9 marked and I'm going to get you

1 to hand those two to the court reporter and get
2 her to mark those next in sequence.

3 (Exhibits 13 and 14 were marked for
4 identification and copies are attached hereto.)

5 BY MR. THETFORD:

6 Q. Those are the materials that we have with us,
7 essentially, on the STATIC-99, as I understand
8 it. The first exhibit is the scoring sheet, the
9 second is the coding instructions and
10 worksheet. The next is the rules for scoring
11 it, and what's the last one? Number 14?

12 A. It is basically my form of combination of
13 Exhibits 8 and 9. It's overlapping information.

14 Q. Okay. Exhibits 8 and 9 I'll represent to you
15 are blank copies of the STATIC-99 scoring sheet
16 and coding instructions that are used by the
17 State of Texas and the Texas Department of
18 Criminal Justice. Are the questions that are
19 asked on the scoring sheet identical to the
20 questions asked on the STATIC-99 as developed by
21 Dr. Hanson?

22 A. I'm sorry, I missed the question. Are they
23 identical to --

24 Q. -- the questions that Dr. Hanson developed on
25 the STATIC-99?

- 1 A. In paraphrasing, yes. I mean, it's not exactly
2 the same words in, but paraphrasing yes.
- 3 Q. For the sake of the Judge so that he understands
4 this process, for you to grade or to score
5 someone on the STATIC-99 could you do that
6 without meeting them?
- 7 A. Yes. If the records are sufficient. And it's
8 designed to be done that way.
- 9 Q. It's designed if you have enough information in
10 the records that you get, you can score that?
- 11 A. That's correct.
- 12 Q. And it's because it asks biographical data; is
13 that correct?
- 14 A. Historical information about the individual,
15 most of which is related to his legal infraction
16 history.
- 17 Q. And the coding instructions then -- and the
18 other Exhibit, number 13, which is sort of, I
19 guess, a manual for scoring the STATIC-99 -- is
20 that how you would characterize 13?
- 21 A. It certainly overlaps into the issue of
22 manuals. Different people define "manual" in
23 different ways which would mean it should be
24 more inclusive than this document. This is the
25 set of coding rules. That, to me, is part of

1 the essence of a manual.

2 Q. And when it's all said and done you come with up
3 with a final score; is that correct?

4 A. If you have all the information; that's correct.

5 Q. And what does that final number tell you?

6 A. The number is interpretable within the context
7 of research findings for groups of sex offenders
8 with characteristics that came up with that same
9 score and is associated with a percentage or,
10 for the STATIC-99, three different percentages,
11 depending on a five-year ten-year or fifteen-
12 year follow up period, of the re-conviction
13 likelihood for new sexual offending.

14 So for instance, if a person has a score
15 of six on the STATIC-99 one would look up what
16 that is associated with and if one found it was
17 appropriate to look at a fifteen-year follow up
18 figure -- in other words the person's life
19 expectancy was at least that long, things like
20 that, then I would look up and find that the
21 score of six is associated with a 52 percent
22 re-conviction likelihood for -- on average from
23 other research and then the proper
24 interpretation would acknowledge, as well, that
25 there's error on both sides of that.

1 (Exhibit 15 was marked for identification
2 and a copy is attached hereto.)

3 BY MR. THETFORD:

4 Q. Number 15, if I understand it correctly,
5 Dr. Doren, you have broken down, with the RRASOR
6 the STATIC-99 and the MnSOST-R, what the
7 different scores tell us in terms of percentage
8 predictions of recidivism as defined under each
9 of those instruments for groups of people with
10 those scores, along with the -- what's the last
11 phrase? The confidence interval? Or is that
12 like the risk of error? Am I referring to two
13 different things when I say confidence interval
14 or risk of error?

15 A. There are different kinds of error. Confidence
16 interval addresses one of those. Real quickly,
17 the idea of a confidence interval is what we
18 kept hearing about in October and November for
19 the presidential election. The Gallup polls,
20 for instance, talked about Governor Bush, at
21 that time, having certain percentage of people
22 who said they would vote for him versus at that
23 time Vice-president Gore having a different
24 percentage and then there was a statement, give
25 or take 3 percent or give or take 4 percent.

1 That's a confidence interval. It has to do with
2 the sampling process.

3 Q. So when you say on the STATIC-99 a person that
4 scores a six within fifteen years has a 52
5 percent -- it falls within a group of people
6 that within fifteen years 52 percent of them
7 will recidivate -- in Dr. Hanson's definition on
8 the STATIC-99 tell the Judge what recidivate
9 means.

10 A. Specifically for the STATIC-99 it's
11 re-conviction.

12 Q. So will be re-convicted for a sexual offense or
13 re-convicted for any offense?

14 A. STATIC-99 is re-convicted for a sexual offense.

15 Q. 52 percent of them. And then what is the
16 confidence interval? Plus or minus what?

17 A. What I have on this sheet of paper for that is
18 plus or minus 8.6 percent. I would emphasize
19 that these are estimates, and in fact I updated
20 these, but I didn't put it into this format, for
21 a presentation I did at the ATSA conference this
22 past November. The numbers move slightly based
23 on more information, but not by a lot. It gives
24 an idea. Again, it's a ballpark kind of process
25 to give you an idea of the degree of range I

1 have.

2 Q. So it could put you down at 44 percent or it
3 could put you up at 60 percent?

4 A. In general that's the correct idea, yes.

5 Q. That's the way it would work?

6 A. So that would be that kind of range on the
7 instrument.

8 Q. Where does somebody go to get a STATIC-99 coding
9 sheet and instruction form and what I called the
10 manual? Where would I go to get that?

11 A. Easiest place is on a certain web site where
12 Dr. Hanson has input, but it's actually the
13 Canadian Solicitor General web site. Dr. Hanson
14 is a research psychologist working in that
15 office for the Solicitor General and this is
16 where he puts results from his research.

17 Q. So that's available to anyone with access to the
18 internet, then?

19 A. That's correct.

20 (Exhibits 16, 17 and 18 were marked for
21 identification and copies are attached hereto; a
22 recess was taken from 10:45 to 11:00 a.m.)

23 BY MR. THETFORD:

24 Q. Dr. Doren, before we went to break, the court
25 reporter was kind enough to mark the materials

1 regarding the MnSOST-R which are in front of
2 you. The first one is the general
3 instructions. The second one, I don't know what
4 it is; you brought that with you. And the third
5 one is an article written by you and
6 Dr. Epperson; is that correct? Are you the
7 author on that?

8 A. No.

9 Q. Dr. Epperson?

10 A. I did not author that.

11 Q. Dr. Epperson and Dr. Kaul, the co-developers of
12 the MnSOST-R, regarding their final report on
13 the development of that. I'll represent to you
14 that last week, last Thursday I guess it was, we
15 took the deposition of Dr. Epperson in Ames
16 regarding his development of the MnSOST-R.
17 We've talked about the MnSOST-R quite a bit.
18 Let me ask you this question first. Where would
19 a person go to get the MnSOST-R?

20 A. Bulk of the information is on either of two
21 different web sights. Dr. Epperson maintains a
22 web site through the Iowa State University where
23 all of this information is. And the Minnesota
24 Department of Corrections basically has a
25 connection to that same information.

- 1 Q. And so you can pull that down off of the web?
- 2 A. Yes.
- 3 Q. Much like the STATIC-99?
- 4 A. Yes.
- 5 Q. And Exhibit 16 has the instructions for scoring
6 the sixteen different items on the MnSOST-R; is
7 that correct?
- 8 A. That's correct.
- 9 Q. There was some controversy last week and many
10 questions were asked about it, I want to see if
11 we can just clear it up once and for all. Item
12 number one asks -- the question, I believe, is,
13 does a person have two or more sex convictions;
14 is that correct?
- 15 A. Sex or sex-related, yes. Charges or
16 convictions -- well that -- actually just
17 convictions for that item; that's correct.
- 18 Q. Convictions. And in Texas our statute is
19 written that nobody is eligible for commitment
20 unless they have had two sex convictions. Do
21 you remember reading that in the Texas statute?
- 22 A. Yes.
- 23 Q. So in Texas, everyone who is scored on the
24 MnSOST-R who is going through the civil
25 commitment process is automatically going to be

1 plus two; isn't that right?

2 A. On that item.

3 Q. On that one item?

4 A. Yes.

5 Q. I just asked you that to try to cut off some
6 questions that we had last week about
7 interpretations of item number one.

8 The next exhibit is a chart that you
9 brought with you and you're referring to the top
10 part, I think you said; is that correct?

11 A. Yes. It's a -- these are just two slides and
12 I'm mostly -- I brought this just because of the
13 top slide. There are different formats for the
14 interpretation of the MnSOST Revised scores and
15 this slide from one of Dr. Epperson's
16 presentations is -- has all the relevant
17 information in one place.

18 Q. Okay. And what information is on that slide?

19 A. It is a set of different bar graphs with
20 percentages, and on the bottom part of each one
21 are -- in the four different categories are
22 different categories of MnSOST Revised scores.
23 So there's three and below, four to seven, eight
24 and above -- which is really eight to twelve --
25 and thirteen and above. And then with each

1 category there are three bar graphs, not just
2 one, that goes up to a certain -- each one going
3 up to a percentage. And on the right side of
4 that slide are designations of three different
5 what are referred to as base lines, 35 percent,
6 21 percent, and 15 percent. And without going
7 into all the statistics about it, depending on
8 what base line one is starting with in one's set
9 of samples or sample, that will determine the
10 interpretation for the risk category of the
11 MnSOST Revised scores. That's what this
12 represents. So it gives you all of that kind of
13 information in one place.

14 Q. On the previous exhibit that you looked at when
15 we talked about the STATIC-99 and the plus or
16 minus eight at the fifteen-year-out level in
17 terms of that group recidivating sexually, do
18 you have similar numbers for the MnSOST-R? I
19 think it's Exhibit number 15.

20 A. These are --

21 Q. Exhibit 15.

22 A. Oh, the confidence intervals?

23 Q. The confidence intervals.

24 A. Yes. On Exhibit 15 I also have for each of
25 those score categories the approximation of a

1 confidence interval.

2 Q. And what do the confidence intervals work out to
3 be, approximately, with the M MnSOST-R?

4 A. They vary from a plus or minus 5 percent up to a
5 plus or minus 14 percent. The variation is
6 based quite significantly -- statistically is
7 based on the fewer number of people in some
8 categories versus others across studies. The
9 higher risk categories have fewer people in it
10 and so the confidence in those are wider. If we
11 think of it again in terms of the Gallup poll,
12 the process of sampling a small number of people
13 leaves a wide error possibility and the more
14 people they sample the more it will narrow it
15 down. That's the same process in the
16 computation of these.

17 Q. Dr. Epperson told us last week under oath that
18 the rate of error was plus or minus two points.
19 Is that a different statistical index than the
20 confidence interval?

21 A. Yes. He was referring to the -- something that
22 is statistically called a standard error of
23 measurement. That is not about the
24 interpretation of a score but about the
25 variability among raters in scoring people. So

1 there are different types of errors. One is in
2 the consistency which different raters will
3 score the same person, and that would be
4 approximately plus or minus two points. The
5 actual statistic is just slightly higher, as
6 demonstrated on Exhibit 15. It's also written
7 there.

8 A different type of error is in this
9 sampling process. If we had a lot of people
10 with a certain set of scores we can get an
11 awfully good approximation of what that means on
12 average, but if we only have a small number of
13 people we're not anywhere near certain. That's
14 the confidence interval. So one type of error
15 is basically surrounding a score, and the other
16 type of error is surrounding the interpretation
17 of that score.

18 Q. And in the confidence intervals, the where they
19 show the most -- I don't know what the words
20 are, but the percentages are the greatest,
21 that's because the numbers of people who score
22 at those high scores are the smallest? That's
23 the smallest groups?

24 A. That's by far a contributor to the wider
25 interval, if that's what you're talking about,

1 is a smaller number of people fall into those
2 categories. There is another contributor, but
3 by far that's the major one.

4 Q. And so we can also know that logically those
5 people that fall into the category of the
6 highest scorers are going to be the people most
7 likely to recidivate; isn't that correct?

8 A. That's what the instruments would indicate and
9 the research that supports the instruments would
10 indicate, yes.

11 Q. So if it was with the high group, what's the
12 confidence interval rating?

13 A. The largest group -- the largest confidence
14 interval for the MnSOST Revised is approximately
15 plus or minus 14 percent.

16 Q. So if we were --

17 A. Which is relatively wide.

18 Q. It is a wide confidence interval, but if it was
19 for a group that we were predicting and saying
20 people with those characteristics that fall into
21 that group have an 88 percent probability of
22 re-offending, with a confidence interval of plus
23 or minus 14 you could go 14 above or 14 below
24 88; isn't that correct?

25 A. That would be the concept.

- 1 Q. Okay. So 88's perhaps a bad example to choose
2 because it puts us over a hundred so let's try
3 again and say 86. If we said that people had an
4 86 percent probability of re-offending if you
5 had those characteristics and you fell within
6 that group, if it's plus or minus 14 that could
7 mean that 100 percent of those people had a
8 probability of re-offending or '72 percent had a
9 probability of re-offending; isn't that correct?
- 10 A. That would be the process of looking at the
11 confidence interval plus or minus for the
12 interpretation of the score. And most
13 technically what we're talking about is a 95
14 percent confidence interval, meaning that no
15 matter where we sampled people of similar
16 types -- so adult male incarcerated, et cetera,
17 that 95 percent of the time people with those
18 scores would show as a group the risk between
19 the 72 and 100 percent range.
- 20 Q. So what we're talking about is somewhere between
21 three-quarters and a full 100 percent
22 probability of re-offending.
- 23 A. Using the statistics you were just describing,
24 yes.
- 25 Q. Correct. How does Dr. Epperson define

1 have any objection to the admission of the
2 things on the MnSOST-R?

3 MR. BAL: No, no objections.

4 MR. THETFORD: Okay. We'll move on,
5 then.

6 Q. On the RRASOR, that's one of the instruments
7 that you use in your battery of instruments that
8 are available to you in your group; is that
9 correct?

10 A. That's correct.

11 Q. In doing evaluations.

12 A. Yes. As well as what I teach, yes.

13 Q. As well as what you teach them to use and as
14 well as what you teach at trainings.

15 A. That's correct.

16 Q. That sheet that you developed showing confidence
17 intervals, what does it tell us about the
18 RRASOR? The chart that you had there?

19 A. From Exhibit 15 the -- there are confidence
20 intervals that can be computed for each of the
21 different score interpretations for the RRASOR,
22 as well.

23 Q. For example, on a high score on the RRASOR what
24 are we looking at on a confidence interval?

25 A. The highest score on the RRASOR for which there

1 are data is a score of five and that confidence
2 interval is a plus or minus 12.1 percent,
3 approximately -- I should probably leave off the
4 decimals; it almost sounds too exact -- compared
5 to the ten year re-conviction risk figure of 73
6 percent.

7 Q. And it was plus or minus twelve points?

8 A. Twelve, yes.

9 Q. So 73 was your probability number with
10 re-conviction within ten years; is that correct?

11 A. That's the number associated on average for a
12 score of five based on the work by Dr. Hanson.

13 Q. So people that score five on the RRASOR, then,
14 would go somewhere between 85 and 61 percent?

15 A. 95 percent of the time that would be the
16 expected sampling result.

17 Q. And is the RRASOR also a tool that you do not
18 have to actually interview the person to
19 complete?

20 A. That's correct. In fact, the RRASOR is included
21 within the STATIC-99 so some of those same items
22 are -- all of the items on the RRASOR are
23 included within the STATIC.

24 Q. And where would one go to get a RRASOR?

25 A. Dr. Hanson's web site, the Solicitor General web

1 site of Canada.

2 Q. Great. The other items there that have just
3 been admitted, are they guides to scoring the
4 RRASOR and also an article in support of the
5 RRASOR? Is that what those exhibits are?

6 A. I'm not sure how to answer your question so let
7 me just describe what they are.

8 Q. Please.

9 A. Exhibit 19 is basically a two-page summary that
10 I've put together based on the information
11 that's in the web site. So it's sort of the
12 shorthand way to score the instruments. There
13 is no formal score sheet. Being only four
14 items, there doesn't need to be a formal score
15 sheet.

16 Exhibit 20 is the written up description
17 by Dr. Hanson that's available on the solicitor
18 general web site describing the development and
19 research supporting the RRASOR. A 29 page
20 document.

21 And then Exhibit 21 is the February,
22 192- -- excuse me, February 24, 1999 set of
23 coding rules for the RRASOR.

24 Q. And all of those came from the internet except
25 for the one that you put together yourself?

1 A. That's correct.

2 MR. THETFORD: Greg, do you have any
3 objections to the admission of any of those?

4 MR. BAL: No.

5 BY MR. THETFORD:

6 Q. All right. And if I understood you correctly
7 the RRASOR now has been incorporated into the
8 STATIC-99?

9 A. It has, yes.

10 Q. Do you still perform both?

11 A. Yes.

12 Q. Can you tell me why?

13 A. Yes.

14 Q. Please do.

15 A. There are both theoretical and research reasons
16 for that so my answer is rather lengthy. Let me
17 start with the research reasons rather than the
18 theoretical.

19 In Dr. Hanson's developmental work on the
20 STATIC-99 he used four samples, three of which
21 were clearly long-term samples and one was far
22 shorter term. The three longer term samples,
23 when you average across all of their follow up
24 periods, averaged a follow up period of about
25 16.6 years for a total sample of -- I'm

1 approximating the number here -- of about eight
2 hundred seventy, eight hundred ninety, something
3 like that. That fourth sample had only a four
4 year follow up on average, with about three
5 hundred subjects, three hundred ten, something
6 like that.

7 In the development of the STATIC-99 what
8 Dr. Hanson did was compare its incremental
9 value, it's predictive accuracy, in other words,
10 to the RRASOR for each of these samples. Within
11 each of these samples it was -- the STATIC-99
12 was not better than the RRASOR. But it showed a
13 trend. When he averaged across all four samples
14 then he found that the STATIC-99 was
15 statistically better than the RRASOR. But it
16 was not true in any given sample, just across
17 all four. And that's the basis for his
18 statement that the STATIC-99 should be
19 replacing -- one of the two bases -- should be
20 replacing the RRASOR.

21 In a generic, potentially short term
22 assessment of risk -- in fact, particularly
23 short term assessment of risk I would not
24 disagree with that statement. In fact, the
25 STATIC-99 clearly does show superiority in the

1 short run. In other research.

2 On the other hand, in the specific type of
3 work that I do and that I teach about, has to do
4 with the civil commitment process for sex
5 offenders. In all of the laws that I reviewed,
6 all fifteen, I did not find any time periods
7 specified and it appears to be, at least in my
8 interpretation and the way in which I've done
9 work in all of my cases to date, it appears to
10 be that it is relevant to lifetime re-offense
11 risk of a sexual nature defined.

12 So what I did was look at whether or not
13 the STATIC-99 had improvement over the RRASOR in
14 the long run. And what I did -- Karl Hanson
15 gave me -- Karl's with a K -- gave me these data
16 and I deleted -- actually, he gave me the data
17 with the deleted short term sample. The
18 four-year follow up. So I had the samples of
19 the three longer term and I looked at the
20 relative effectiveness of the STATIC-99 and the
21 RRASOR. First of all, one was not better than
22 the other. So that effect -- that improvement
23 was in the short run only. Which has been
24 replcated. The STATIC is very good in the short
25 run, short run meaning five years or less.

1 The second thing I did within that
2 process, however, was look at within the
3 definition of high risk how the two instruments
4 interacted or did not. And without going into
5 all the statistics about it, what I found was
6 that if I defined high risk in an arbitrary way,
7 but in keeping with at least some state laws, of
8 approximately a 50 percent kind of rate, knowing
9 that we're measuring re-conviction and that may
10 be an underestimation, but as an arbitrary cut
11 off, what I found was that when the RRASOR
12 showed a fifty plus percent degree of risk, it
13 did not matter what the STATIC-99 score was.
14 And when I scored the STATIC-99's degree of risk
15 at fifty plus, it did not matter what the RRASOR
16 was. The degree of accuracy for each instrument
17 independently was the same, no matter what the
18 other instrument was. Suggests independence.

19 A different piece of research. That's
20 one. Independence of course means that we need
21 to be looking at both factors. A different
22 piece of research was done by Dr. Caton,
23 C-A-T-O-N, Roberts and myself where we used a
24 highly select group of Wisconsin sex offenders.
25 People who had already been detained post

1 probable cause. So they were already well
2 selected into somebody thinking they were high
3 risk. Not all these people ended up being
4 committed, but they were clearly selected in
5 that way. And we looked at the RRASOR, the
6 STATIC-99, the MnSOST, the MnSOST Revised, the
7 VRAG, diagnostic issues, victim categories in
8 terms of age, gender, and looked at -- and
9 statistically looked at patterns. And what we
10 found was that the RRASOR tended to be
11 correlated with sexual diagnoses, tended to be
12 correlated with having child victims, whereas
13 the STATIC-99, as well as other instruments --
14 including PCL-R, for instance. I forgot to
15 mention -- tended to be correlated with
16 personality disorders, with having adult victims
17 or adolescent victims, and these two did not
18 relate to one another in terms of -- I need to
19 correct that. What they related to didn't
20 overlap. So again, which would indicate
21 independence.

22 A third piece of research that is
23 supportive to a different dimensional process
24 was not a comparison of the RRASOR and the
25 STATIC directly, but it overlapped, was a

1 dissertation done by Rebecca with two C's
2 Dempster, D-E-M-P-S-T-E-R, that was done under
3 Steven Hart's tutelage, who is
4 apparently, maybe, giving testimony in this same
5 hearing. In that study, a small numbers of sex
6 offender totaling 95 so it's more suggestive
7 than truly indicative, found that the RRASOR was
8 independent -- I'm shortening the story a lot --
9 the RRASOR was independent for measures of
10 general violence and yet statistically useful in
11 assessing sexual violence.

12 Then there's other related research and
13 theoretical work -- which I'm going to summarize
14 rather than go through the whole story. My
15 answer is long enough -- that indicates that
16 there are at least two dimensions, pathways, by
17 which somebody becomes a sexual recidivist once
18 they've been convicted of a sexual offense.

19 One of those is that they're turned on to
20 something sexually that's illegal. Children,
21 for instance. The other dimension is the person
22 who is a generally violent anti-social kind of
23 person, a person who takes what he wants when he
24 wants it. Is not necessarily turned on to
25 something that's illegal but I will, for

1 instance, rape people because he just feels like
2 it at the moment. It's part of his general
3 interpersonal disregard for their rights,
4 violent tendencies. Somebody can be both of
5 these, but there is reason to believe that they
6 are independent dimensions. If you're high on
7 one it doesn't mean that you're low risk if
8 you're low risk on the other dimension. If
9 you're high on both, of course, that's a real
10 bad sign.

11 The metaphor about these two dimensions
12 that I use is if I'm going to get a checkup from
13 a physician I want the physician to check more
14 than just the risk factors related to my heart:
15 Cholesterol level, blood pressure, family
16 history, et cetera. I need to have some
17 assessment, as well, of my lungs, of my brain
18 functioning, neurological system, et cetera.
19 But if I have high risk in some area to my
20 health, there's a high risk to my health. It
21 doesn't matter if the other areas are lower
22 risk. So if I have a malignant brain tumor it
23 doesn't matter that the risk factors on my heart
24 are low. High risk in any single dimension
25 matters.

1 So I have to assess -- a good assessment
2 is for each dimension. Which brings me back to
3 the -- putting all that together from the other
4 research, if I know that the RRASOR and the
5 STATIC are independent and I know the RRASOR
6 tends to measure this dimension while the STATIC
7 tends to measure in this dimension and I need to
8 assess both dimensions, then I use both
9 instruments.

10 Q. You get a more accurate picture that way, if you
11 need to measure both.

12 A. Yes, that's correct.

13 Q. Both ways. Can you tell the Judge what these
14 actuarial assessments actually measure that help
15 you in determining risk assessment?

16 A. I'm not sure I understand your question.

17 Q. We've gone, now, through the three major ones or
18 what I would call the three major actuarials,
19 the RRASOR, the MnSOST-R and the STATIC-99 and
20 you come up with these numbers when you come
21 down to. For the sake of clarity for the Judge,
22 what do those numbers tell you and what do they
23 help you measure.

24 A. The numbers are associated with a degree of risk
25 for certain kind of sexual re-offending, sexual

1 re-conviction, sexual re-arrest, general sexual
2 offending or physical contact sexual offending.
3 They help -- in terms of how they help me is not
4 to give me a number, but to tell me ultimately
5 that relative range on that zero to 100 percent
6 possibility so that I get grounded in that level
7 of the science.

8 And as I said before, I don't stop there.
9 But if I already have somebody who's, let's say,
10 showing a range that's somewhere -- let's, just
11 to take an extreme, between 6 and 16 percent,
12 which in my opinion isn't even close to whatever
13 "likely" means, then I already know that I need
14 to have some really significant sign of
15 increased risk or this person's not going to
16 meet criteria. I don't have to figure out a
17 number, but it grounded me that I needed to know
18 something particularly standing out or this
19 person does not meet.

20 Likewise, just again to take extreme, if I
21 were going to have someone who was up at the 75
22 to 100 range, in my opinion that's clearly
23 beyond whatever "likely" means and I'm going to
24 need to have something that would significantly
25 pull me down. I will look for those in both

1 directions, but I need to find something to pull
2 me down to say that that component of the
3 commitment criteria is not met.

4 Q. Even though you're an employee, at least
5 part-time, of the State of Wisconsin doing this
6 work have you ever found that a person was not
7 likely to re-offend?

8 A. Oh, yeah. You have the numbers on that, in
9 fact, in --

10 Q. Your CV?

11 A. -- the sexual offender work part of my CV.
12 The -- you have the total number of the
13 assessments that I have done, as well as the
14 proportion in each of many different categories,
15 both in Wisconsin and outside, where I
16 recommended commitment and therefore the others
17 I did not.

18 Q. When you said that these numbers would help you,
19 grounding you in terms of getting a picture of
20 probability of recidivism, do they help you
21 determine whether or not any specific individual
22 will re-offend? For example --

23 A. They help in that process. Any applied science
24 is the use of group data to an individual.
25 Again, in the medical metaphor, when the doctor

1 is checking out my heart, doctor will look at
2 cholesterol level. In order to interpret a two
3 forty or whatever my number is, the doctor has
4 to refer to some group data that did not include
5 me. That gets applied to my situation. In the
6 case of using the actuarial instruments, the
7 process is the same, except that I wouldn't even
8 just stop there, then I look at other things
9 that are individual -- specific to the
10 individual. But the -- the actuarial gives
11 group information related to the category of
12 characteristics this individual shows.

13 VIDEOGRAPHER: Excuse me I need to go off
14 the record to change.

15 (A recess was taken from 11:28 to
16 11:30 a.m.)

17 BY MR. THETFORD:

18 Q. Dr. Doren, before we changed tapes you were
19 using a medical analogy and I want to ask you a
20 few more questions about that so it's clear for
21 the Judge, but I want to use myself for an
22 example. Say that I suffer from high
23 cholesterol and that my number is two twenty.
24 If they grouped me together into a group of men
25 with cholesterols of two twenty at my age,

- 1 there's probably a medical chart that would tell
2 you what my probability of risk of having a
3 heart attack is. That doesn't necessarily mean
4 that I will have a heart attack, though; does
5 it?
- 6 A. No. It's a difference between assessment of
7 risk and making a prediction.
- 8 Q. And with the actuarial instruments that you're
9 talking about, all this is doing is making
10 assessment of risk; isn't that correct?
- 11 A. That's all I'm using it for; that's correct.
- 12 Q. Your CV contains the list of articles which you
13 have published; does it?
- 14 A. The general CV is the inclusive list. The sex
15 offender work addendum to that lists the
16 publications I've had specific to that work or
17 the articles that are either in press, meaning
18 accepted for publication but not yet published.
19 It lists my book that will soon be published,
20 and it lists two or three articles that have
21 been submitted for publication --
- 22 Q. I want to --
- 23 A. -- that are currently under review.
- 24 Q. I want to focus in on the Daubert standard, if I
25 can, and make sure that we hit those areas that

1 the Judge will be looking at in the hearing in
2 the coming weeks. We've talked about accepted
3 within the relevant scientific community.
4 You've spoken to that. I want to talk about the
5 issue of, has it been published in peer review
6 journals or has it been peer reviewed.

7 Dr. Epperson was very frank last week and
8 said that the information on the MnSOST-R had
9 not been published specifically in a peer
10 reviewed journal but that he thought that it had
11 been peer reviewed, and he gave examples of how
12 he thought that the MnSOST-R had been peer
13 reviewed. Do you have any opinions about the
14 STATIC-99, the RRASOR, and the MnSOST-R as to
15 whether or not these instruments have been peer
16 reviewed?

17 A. Yes I do.

18 Q. And can you tell the Court whether or not -- or
19 simply tell the Court what your opinions are in
20 that regard?

21 A. In different formats they have all been peer
22 reviewed.

23 Q. Such as?

24 A. Well, the easiest one in terms of that people
25 don't debate the issue at all, that I'm aware

1 of, is for the STATIC-99. There was a
2 publication by -- written by doctors Hanson and
3 Thornton, T-H-O-R-N-T-O-N, published in a peer
4 reviewed professional journal, Law and Human
5 Behavior, published February one year ago. And
6 that describes the development of the
7 STATIC-99. It includes in its description
8 information about the RRASOR and a different
9 instrument we're not talking about today,
10 Abbreviated SACJ Minimum.

11 There are other ways in which peer review
12 occurs, however, besides publications in peer
13 review journals. One of those is the process of
14 a dissertation. A dissertation for a doctorate
15 degree invariably, for it to pass, is a process
16 of having a panel of the professors, quite
17 typically at least at an accredited university
18 as having at least one professor from outside of
19 the deputy serving on a panel that the person
20 needs to defend the dissertation to. Both in
21 terms of the acceptance and the idea to do the
22 work, and then the acceptance of the final
23 product. I would pose to anyone that that's
24 probably a far more intense process than a peer
25 review to a journal. A peer review in a journal

1 involves an editor sending the manuscript to two
2 or three selected individuals, typically
3 knowledgeable in the field, for whom those
4 people then review the manuscript, make some
5 recommendation and the editor decides to publish
6 or not. So there are more people involved, and
7 it involves an oral defense.

8 Excuse me a moment.

9 There's another type of peer review having
10 to do with professional presentations, both in
11 terms of the process of having things accepted a
12 presentation, going through the conference
13 committee's approval process and then the
14 reaction of the audience and particularly
15 whether they will adopt the findings or not
16 adopt the findings.

17 The initial conference committee may be a
18 small number of people. The adoption of the
19 results, of course, is potentially much larger.
20 And --

21 Oh. One other type of peer review are the
22 few times when a piece of research is submitted
23 for consideration for an award. So for
24 instance, that organization we referred to
25 earlier, ATSA, A-T-S-A, is -- has two awards,

1 two student paper awards per year and the board
2 or it's designee -- frankly I don't know exactly
3 how they do it -- determines which of submitted
4 papers they will give the honorary award to,
5 student paper award.

6 If we're looking at that complete -- so
7 again, it's a review process. If we're looking
8 at that complete set, then Rebecca Dempster's
9 work -- did work as part of her dissertation on
10 the RRASOR and that same paper was submitted to
11 ATSA and won the 1999 -- one of the two student
12 paper awards from that organization. And on her
13 committee were people like Steven Hart so
14 it's -- who, again, may be testifying in this.
15 So it wasn't -- it's at Simon Frasier
16 University, certainly an accredited university.
17 It wasn't some fly-by-night process, by any
18 means.

19 For the MnSOST Revised --

20 Oh. I should add for the RRASOR, this
21 year's ATSA student paper award recipient was
22 Calvin Langton, L-A-N-G-T-O-N, and his work
23 involved the RRASOR, the MnSOST Revised, the
24 STATIC-99, as well as some other instruments.
25 And clearly the ATSA board thought that that was

1 a paper -- research paper worth the award. That
2 has since been submitted for publication and is
3 currently under review.

4 That is listed, by the way, as a reference
5 on page 3 under the RRASOR as well as under the
6 other instruments -- of Exhibit 5.

7 Q. Five. So if I understand your perspective then,
8 in order for something to be peer reviewed it
9 doesn't necessarily mean that it has to be
10 published in a peer reviewed journal.

11 A. That would be my interpretation. I mean, I
12 understand that in a Daubert perspective that is
13 purely up to the Court and I'm not trying to
14 usurp that. I would have no right to do so. In
15 my opinion, peer review can include, does
16 include, the peer review journals but can
17 include any process of peer review and
18 acceptance.

19 Q. And these instruments that we've spent so much
20 time talking about this morning, the MnSOST-R
21 the RRASOR and the STATIC-99, these aren't just
22 instruments that somebody's just thrown out
23 there that people within the relevant scientific
24 community haven't had a chance to look at and to
25 criticize or to support?

1 A. That would be my opinion, that they've -- it's
2 been more than that, yes.

3 Q. The judge is also going to be concerned about
4 the error rate on the instruments as one of the
5 Daubert criteria. Do you have an opinion about
6 the error rates of these three different
7 actuarial assessments?

8 A. Yes.

9 Q. Let's start with the STATIC-99. Do you know
10 what the error rate on the STATIC-99 is?

11 A. Well, there are actually different types of
12 errors and so it's not just one error rate. It
13 would be an error, no pun intended, to talk
14 about a single error rate. There is -- I've
15 already mentioned two in my testimony. One is
16 the degree to which raters are not consistent in
17 scoring the same cases. That inter-rater
18 reliability. Let me just call it rater
19 consistency issues.

20 A second type of error we've been
21 referring to is the confidence interval. That's
22 based on an averaging effect across groups of
23 people. Different groups of people, like in the
24 Gallup polls, are going to come up slightly
25 different even when you ask them the same

1 question. That's a sampling process where we're
2 trying to approximate the collective
3 populataion, like in the Gallup poll, how the
4 vote will really go, we sample. And that
5 sampling process has error involved in it. The
6 main point to me about those kinds of errors is
7 that they are -- we can approximate them. They
8 are, in essence, knowable.

9 Q. And the sheet that you prepared that has been
10 admitted as an exhibit shows the approximation
11 of the error rates and they are -- they're
12 available for the Court to consider.

13 A. On that one exhibit, Exhibit 15, I have
14 estimations of the confidence intervals. For
15 the MnSOST Revised I have one -- I have listed
16 there the same thing you mentioned Dr. Epperson
17 talked about of that degree of reliability
18 across -- consistency across raters. I don't
19 have the relevant statistic on Exhibit 15 for
20 the RRAZOR or the STATIC, but from work I did
21 this past -- in preparation for a presentation
22 this past November I do know those numbers, as
23 well. Again, these are all approximations.

24 Q. Can you give us those approximations on the
25 inter-rater reliability rates of error on the

- 1 STATIC-99 and the RRASOR?
- 2 A. Yes. For the RRASOR the standard error of
3 measurement is going to be approximately a half
4 point, and for the STATIC-99 it will be
5 approximately one point or slightly under. And
6 so what that means is that in the RRASOR you
7 would expect relatively good consistency in
8 terms of exactness of findings. For the
9 STATIC-99 it would not be unusual to find a one
10 point difference. That would still be within
11 the consistency rate.
- 12 Q. I'm going to ask you about some other experts
13 that have been designated in this case. You
14 know that Doug -- Dr. Doug Epperson has been
15 designated from Iowa State. I've mentioned that
16 to you before. Are you familiar with Doug
17 Epperson's work?
- 18 A. His work on the MnSOST Revised. I'm not aware
19 of any of his other work -- except for one piece
20 of work that he and I wrote together.
- 21 Q. Do you know Dr. Amy Phenix in California?
- 22 A. I know her, yes.
- 23 Q. And are you familiar with her work in regard to
24 the STATIC-99 and the RRAZOR and working with
25 Dr. Hanson?

- 1 A. I'm at wear of her work on the coding rules of
2 both instruments.
- 3 Q. Sitting at the table to your right is Dr. Lynn
4 Maskel who's been designated as an expert by the
5 respondents in this case. Do you know
6 Dr. Maskel?
- 7 A. Certainly.
- 8 Q. Have you and she testified in cases on opposite
9 sides from each other in the past?
- 10 A. Many times.
- 11 Q. And she is actually a medical doctor and is a
12 psychiatrist here in Madison; is that correct?
- 13 A. Yes.
- 14 Q. She has numerous criticisms of the use of the
15 actuarial instruments; does she not?
- 16 A. I think that's fair to say.
- 17 Q. And you have heard her criticisms in court
18 before; is that correct?
- 19 A. Yes, I think on either two or three occasions.
20 It's common that I have testified first and then
21 not heard her testimony, but there've been two
22 or three occasions when I've heard her
23 testimony.
- 24 Q. And you're going to have the opportunity later
25 today to hear her testimony, should you decide

1 to stay and hear her testimony in this case, but
2 I want to ask you a couple of questions.

3 Dr. Maskel testifies in court and has
4 testified to me in a deposition previously that
5 these instruments are not accepted within the
6 scientific community. You've told us that you
7 think they are. Can you describe for the Judge
8 why it is that Dr. Maskel would think that they
9 were not and why you would think they were? And
10 as a corollary question, does that have to do
11 with how you're defining "scientific community"?

12 A. To answer the second part first, I think that's
13 clearly true. That it's related to how far one
14 expands the definition of the relevant
15 scientific community or the field.

16 In addition, I'm trying to recall what I
17 have heard in Dr. Maskel's testimony previously
18 in regards to that issue. My understanding, if
19 I remember correctly, is that she has made
20 reference to a group, the American Association
21 for Psychiatry and the Law, if I remember the
22 words correctly. AAPL is what I know it as. A
23 different organization. And her summary -- I'm
24 not a member of that organization so I don't
25 have direct knowledge of it. If I remember

1 correctly, her summary is that there are at
2 least people within that organization who are
3 very clearly against the use of these
4 instruments.

5 Compared to my -- I don't know if I can
6 say more about her testimony. Compared to my
7 perspective on it, I think I've said earlier one
8 of the main points is that one can expand that
9 definition of "field" too widely. Just because
10 someone has an opinion doesn't mean they have a
11 knowledgeable opinion. I think that if people
12 don't -- haven't studied the field, their having
13 an opinion really doesn't matter very much -- or
14 shouldn't.

15 And yes, there are some people who have
16 studied the field who disagree with the use of
17 the instruments. There are some. I am by no
18 means saying that it is a unanimous perspective
19 among the people that I have defined to be, in
20 my sense, part of the field. But generally
21 accepted or widespread acceptance, in my
22 understanding of those terms -- again I'm not a
23 judge or jury, a judge or an attorney -- in my
24 understanding of those terms does not require
25 unanimity just a widespread, I believe is the

1 word in the Daubert ruling, use or acceptance of
2 the, in this case, the instruments.

3 Q. You've mentioned Dr. Hart from British
4 Columbia. Do you know Dr. Hart?

5 A. Yes I do.

6 Q. He has been designated as an expert for the
7 respondents who will be critical of the
8 actuarial assessments. Are you familiar with
9 his opinions which are critical of the actuarial
10 assessments?

11 A. I am aware of them in some detail, yes.

12 Q. Can you summarize for the Court what you
13 perceive to be as Dr. Hart's criticism of the
14 use of actuarial assessments?

15 A. Just as a basis for understanding my knowledge,
16 I have not heard Dr. Hart testify. What I have
17 is -- what I am aware of are both transcripts of
18 his testimony and copies of various slide
19 presentations, what he has presented, as well as
20 had conversations with him both through e-mail
21 and in person about these kinds of issues. And
22 some of those conversations have gone back
23 literally some years.

24 Within the context of that information,
25 his -- as I understand, his criticism is that

1 there are -- basically two points to it. One is
2 that the methodology as a concept is fine but
3 that the instruments have not been tested well
4 enough to this point.

5 Q. How do you respond to that?

6 A. In two ways. First of all, I don't know that
7 Dr. Hart is aware of basically what's in
8 Exhibit 5, the volume of research that exists.
9 I have made it a study to try to find out all of
10 that, and where Dr. Hart does a lot of very good
11 work I don't know that he spends his time in the
12 same way I spend my time. That's not a
13 criticism of him, that's just a difference. And
14 so the issue of what is good enough or
15 sufficient enough may be a value judgment, as
16 well. There is no perfect outcome for
17 reliability, consistency in raters. There is no
18 perfect outcome for demonstration of validity or
19 accuracy. It's always a matter of degree: Is
20 it sufficient for use? And in -- to whatever
21 extent Dr. Hart is aware of that research, for
22 him it is not sufficient. What I am aware of
23 indicates for me it is, particularly within a
24 context, and that context is what the
25 alternatives are. The research is very

1 consistent, in my reading of it, that these
2 instruments work. That clinical judgments of
3 certain types on top of them even improve the
4 accuracy. Without them we'd fall back to the
5 list of risk factors. Which is better than
6 unaided clinical judgment, but not consistently
7 as good as the use of the actuarial instruments
8 with or without additional clinical judgments.

9 So I consider my role to be as accurate as
10 possible in an assessment and to give technical
11 information to the Court rather than just come
12 up with an opinion in some more magical way.
13 And so to me it's more ethical for me to be
14 using things that have demonstrated the highest
15 degree of accuracy that we have and that that
16 is, in effect, the definition of "good enough":
17 It's the highest degree of accuracy that we
18 have.

19 Q. What's his other criticism?

20 A. That -- this one I find a little bit more
21 strange. That information that is known from
22 the past can't be applied to the present. He
23 doesn't quite word it that way. He words it in
24 terms of the difference between postdictive
25 studies and predictive studies. Predictive is,

1 I start now with people being released now, I
2 score them up on however I want to do that, I
3 make predictions and then wait some number of
4 years.

5 Q. Follow them for a number of years after that.

6 A. Follow them for a numbers of years and see what
7 happens.

8 Q. See if your predictions are accurate.

9 A. And see to what extent predictions are accurate.

10 Postdictive is the same kind of thing,
11 except we're gonna take it and put it into the
12 past. So we take information of people who were
13 released, let's say, ten years ago. Only the
14 information that was known at the time that they
15 were released. Score them up in whatever way
16 we're going to make the predictions based just
17 on that information, and then look to see what
18 happened. It's the same model, but it's put
19 into the past in total.

20 He makes a major difference out of these
21 two designs for research. And he points -- he
22 makes the statement that there is no predictive
23 research. That's actually not true; there has
24 been. But most of the research is postdictive.

25 Q. Dr. Epperson told us last week that he had

1 conducted a post-predictive study on the
2 MnSOST-R and did it in the way you just
3 described very well for the jury and for the
4 Judge about going back and taking out any
5 information from the Department of Correction
6 files which would indicate whether or not the
7 person was re-arrested or re-convicted and just
8 put the basic data in the folder that the person
9 would need to score the MnSOST-R to come up with
10 a number so that they could compare it with what
11 they knew about that group. Is that what you're
12 talking about? That kind of study?

13 A. Yes. And it's very important in the postdictive
14 process that anything that would indicate what
15 the final outcome was is taken out of that
16 original review of records.

17 Q. Right. And Dr. Hart says that's not good
18 enough.

19 A. Dr. Hart says -- the best I understand him, is
20 that the process of using old information like
21 that, you don't know how well it applies to the
22 current and therefore that gap is a problem.

23 The reason it -- conceptually, on the one
24 hand, it sounds good. But the problem is that
25 it is a concept, as I understand it, that

1 undercuts all science. Because there is no
2 current meaning, for instance, to use my old
3 metaphor, of a cholesterol two forty. We have
4 to look at, what did it mean for other people
5 basically from the past. Even if it was
6 predictive, even if the study started in what
7 was a now and moved forward, by the time that
8 study is done it has become history. And so
9 when we apply the information we got to the now,
10 we're applying old information. That is true
11 for any applied science. Period. There's no
12 such thing otherwise. So it's a strange
13 argument coming from a scientist -- he's a
14 researcher. He's a professor -- to say that we
15 can't use the past to talk about the assessment
16 of the current, because that's the only thing we
17 can use. But that's my understanding of his
18 argument.

19 Q. Do you know Dr. Randy Otto in Florida?

20 A. Yes.

21 Q. Do you know what his criticisms are of the
22 actuarial based assessments?

23 A. I know from transcripts of what I have read from
24 his testimony.

25 Q. Can you summarize those --

1 A. And from one article and -- that he's written,
2 as well as from e-mail interactions with him.

3 Q. Can you summarize his criticisms for the Court?

4 A. I believe so. I believe he has a main one, and
5 then maybe some derivatives. The main one is
6 not about the actuarial process. He seems to
7 very clearly acknowledge that there's research
8 indicating that the actuarial process, if you
9 have a reasonable way of -- tools for doing
10 that, is the right method. But his issue is
11 with the specific instruments that are currently
12 available, and he basically says that they have
13 not demonstrated inter-rater reliability, that
14 consistency across raters, and not demonstrated
15 validity. So that they don't meet the standards
16 for use. The standards that he's referring to
17 are that set of 1999 American Psychological
18 Association standards for educational
19 psychological tests I mentioned earlier.

20 But he concentrates largely on inter-rater
21 reliability and validity. I have already been
22 aware of him testifying that no studies of
23 inter-rater reliability on these instruments
24 exist when I already knew that they did. So
25 clearly he was, in that sense, ignorant of some

1 of the relevant pieces of research.

2 That, to me, is the main criticism that
3 he's put out: That they've not, in a sense,
4 been researched well enough yet and therefore
5 don't meet standards. They clearly have been
6 researched beyond what he was at least aware of
7 at the time what I had communicated with him.

8 Q. Do you know Dr. Terrence Campbell?

9 A. I've heard him testify on two or three
10 occasions. I have also read an article he had
11 published in the year 2000.

12 Q. He criticizes use of the actuarials, as well;
13 does he not?

14 A. He criticizes every attempt at assessment of
15 risk. Including use of the actuarials.

16 Q. Does he essentially argue that you cannot assess
17 risk?

18 A. He says we cannot assess risk sufficiently, and
19 should not be doing so in a courtroom. Period.
20 In any which way whatsoever.

21 Q. What's his solution?

22 A. That we shouldn't be -- we, as psychologists and
23 psychiatrists, shouldn't be in the courtroom
24 doing this work.

25 Q. And so is it a criticism of the civil commitment

1 laws in general, as well?

2 A. I don't know that I know his opinion about the
3 laws. What I am aware of from his testimony is,
4 he has issues with the implementation of them,
5 particularly related to the assessment of risk.

6 Q. Do you know Dr. Woodworth at the University of
7 Iowa?

8 A. I met him in one Fry hearing in Iowa. Did not
9 know him previously.

10 Q. And he's critical of the statistical analysis
11 used in these actuarials; is that correct?

12 A. I am aware of the answer to your question to the
13 extent I heard one -- him testify on one
14 occasion and he was clearly critical of the
15 developmental process of the MnSOST Revised. He
16 was less critical of the RRASOR and the STATIC.
17 It had to do with sample sizes. The sample
18 sizes in the development of the RRASOR and the
19 STATIC were larger, significantly, than for the
20 Minnesota Revised.

21 He also had issues with the degree of
22 error that was implied through the process
23 though he was also testifying that he could not
24 give exact perspectives on that, given that he
25 didn't have direct access to the data.

1 He also acknowledged, during testimony,
2 that if there were sufficient replication of any
3 of these instruments in terms of what they were
4 assessing and their consistency in assessing it,
5 that it would diminish in his criticisms. He
6 did not appear to be aware of at least some of
7 the research in Exhibit 5, though I do not know
8 that for a fact.

9 Q. It says specifically in their expert designation
10 that Dr. Woodworth will also testify that the
11 margins of error are not within the acceptable
12 ranges for science and that the state's experts,
13 including but not limited to Dr. Doren's
14 testimony regarding statistics, is flawed and
15 not statistically accurate. Have you ever heard
16 Dr. Woodworth criticize your testimony regarding
17 statistics and that your testimony is
18 statistically inaccurate?

19 A. I did not hear him mention my name or seem to
20 refer to me during that one time he was
21 testifying. He did listen to my testimony
22 afterwards. I have no idea what his reaction
23 was.

24 Q. Do you think perhaps it has to do with the
25 article you published regarding recidivism basic

1 base rates?

2 A. I would only be supposing. I do not know.

3 Q. That's the most writing, publication, that
4 you've done on a statistical basis; is it not?

5 A. That may be the publication relevant to sexual
6 re-offense assessment, risk assessment that
7 involves the most statistical work. That may
8 be.

9 (Exhibits 22, 23 and 24 were marked for
10 identification and copies are attached hereto.)

11 BY MR. THETFORD:

12 Q. These were articles that you brought with you,
13 Dr. Doren, I just want to put in the record, as
14 long as counsel doesn't have any objections.
15 Exhibit number 22 is an article by Hanson and
16 Thornton, Improving Risk Assessments for Sex
17 Offenders: A Comparison of Three Actuarial
18 Scales. I think you've spoken about that
19 article this morning; is that right?

20 A. Yes. This was one I was giving as example of a
21 peer review journal publication related to the
22 STATIC-99.

23 Q. The second has to do with recidivism and rapists
24 and the article is entitled, Assessment of Risk
25 for Criminal Recidivism Among Rapists: A

1 Comparison of Four Different Measures. That has
2 to do with recidivism rates and predictions of
3 risk among rapists; does it not?

4 A. Yes. And it was -- it's a summary from research
5 in Sweden that used -- basically validated the
6 use of the RRASOR with that set of people. The
7 authors' names -- I will let you take a look at
8 it -- is pronounced Sjostedt and Langstrom.

9 Q. Very good. I wasn't even going to try.

10 The next one is Exhibit number 24. It's,
11 Predicting Relapse: A Meta-Analysis of Sexual
12 Offender Recidivism Studies, by Dr. Karl Hanson
13 and Monique T. Bussiere, B-U-S-S-I-E-R-E. This
14 article has to do with the meta-analysis that
15 Dr. Hanson put together; does it not?

16 A. One of the meta-analyses, yes.

17 Q. And you've relied upon that article to some
18 extent in your testimony today; have you not?

19 A. The information is relevant to things I was
20 talking about, yes.

21 Q. The next is a article -- and we'll get you to
22 get the court reporter to mark that for me.

23 (Exhibit 25 was marked for identification
24 and a copy is attached hereto.)

25 (Next page, please.)

1 BY MR. THETFORD:

2 Q. -- that you authored for the Sex Offender Law
3 Report having to do with Evidentiary Issues,
4 Actuarial Scales, and Sexual Offender Civil
5 Commitments; does it not?

6 A. Yes.

7 Q. Does this article contain your opinions on those
8 issues?

9 A. Relative to five issues that are brought up in
10 evidentiary hearings relative to the
11 admissibility of actuarial instruments.

12 Q. Issues similar to the ones that are going to be
13 brought up in this hearing?

14 A. Some of them we have talked about; some of them
15 we have not.

16 MR. THETFORD: Do you have any objections
17 to the admission of any of those articles, Greg?

18 MR. BAL: No objections.

19 MR. THETFORD: Great.

20 Q. Next, Exhibit 6 you provided to us, which is
21 called Psychopathy and Recidivism: A review.
22 That has to do with the PCL-R; does it not?

23 A. Yes. This was a review article, quite lengthy,
24 published in 1998 of the relationship, in
25 effect, between the PCL-R and recidivism of

1 various types, including but not just sexual
2 recidivism.

3 MR. THETFORD: Greg, do you have any
4 objections to the admission of that article?

5 MR. BAL: No objection.

6 BY MR. THETFORD:

7 Q. And last but not least is an article that you
8 authored called, Recidivism Base Rates:
9 Predictions of Sex Offender Recidivism and the
10 Sexual Predator Commitment Laws, that you wrote
11 in the Behavioral Sciences and the Law journal
12 in 1998. I'm going to ask you some questions
13 about that. I'm certainly not a statistician or
14 a mathematician. I've read that article a
15 number of times and this is where I come out
16 with it. That article attempts to argue for a
17 position as to what base rates of recidivism are
18 for rapists and for pedophiles; is that correct?

19 A. I would change the latter term to extra-familial
20 child molesters. Not all of them are
21 pedophiles. Pedophilia is a diagnostic category
22 versus the real category of a child molester.
23 "Extra-familial" meaning not just purely
24 incestuous.

25 Q. And you make an argument that the base rates

1 have been underestimated for each of those
2 groups; do you not?

3 A. That there are people who will talk about the
4 rates in ways that are underestimates when you
5 are looking within the context of lifetime
6 re-offense rates. To me, the whole issue --

7 Q. Which is how you would look at it. You'd look
8 at it as lifetime re-offense rates.

9 A. Within the context of sex offender civil
10 commitment laws, yes. Now there are various
11 other circumstances where that would not be the
12 appropriate context, it would be something, for
13 instance, about the first year on probation may
14 be of -- may matter to somebody, in which case
15 the numbers are very different from lifetime
16 re-offense rates. It was a contextual analysis.

17 Q. And when you reviewed the Texas law, did you see
18 anything in the Texas statute which limited the
19 amount of time that the trier of fact is limited
20 to in determining whether or not a person is
21 likely to recidivate sexually?

22 A. I did not find anything in the Texas law or any
23 of the other laws that are analogous to this,
24 basically of the sex offender civil commitment
25 type, that specified a time factor relative to

1 the risk being assessed.

2 Q. Tell the Judge what your conclusion -- and the
3 court, what your conclusion is in terms of
4 rapists, what their lifetime re-offense rate is,
5 statistically?

6 A. I will answer the question. I wish to give the
7 caveat ahead of time that, again, there is some
8 degree of estimation and therefore error so I do
9 not wish the number to be considered like, This
10 is the number. I do not have that exactness.

11 In this article what I was doing was
12 basically supporting the finding -- ultimately
13 what happened, more accurately, was, I supported
14 the finding that a lifetime sexual re-offense
15 rate for rapists of about 39 or higher percent
16 was a reasonable estimation.

17 Q. Over their lifetime.

18 A. Over their lifetime.

19 Q. Would your estimate of re-offense rate over the
20 lifetime put you in the majority of people
21 within your relevant community or outside your
22 relevant community?

23 A. I know of four, including myself, people who
24 have made -- or groups of people who have made
25 estimations. I don't know that I can speak

1 beyond that. I know that this article has been
2 very frequently cited. I don't know -- and I
3 doubt that it's always been in a positive way.
4 But there are lots of evaluators in the civil
5 commitment context around the country who have
6 cited this.

7 But putting all of them aside, because I
8 don't have any other direct knowledge, the other
9 people of which I am aware who have made
10 estimations of lifetime re-offense rates for
11 rapists and for extra-familial child molesters
12 include Karl Hanson, that we've mentioned
13 before; David Thornton, who was involved in the
14 development of the STATIC-99; and two people by
15 the last names of Janus, J-A-N-U-S, and Meehl,
16 M-E-E-H-L.

17 The bottom-line perspective Karl Hanson
18 puts out is for that group of people, rapists
19 and extra-familial child molesters, that their
20 re-offense -- sexual re-offense likelihood, not
21 specifically getting caught, not specifically
22 getting prosecuted or convicted, but the
23 re-offense would be somewhere between 30 and 45
24 percent as a group.

25 Janus and Meehl, in a publication in 1997

1 when they were doing some other analysis, needed
2 to estimate a lifetime re-offense rate for their
3 analysis and they ended up estimating somewhere
4 between 20 to 45 percent.

5 My estimation would include all of those
6 as between basically 39 and 52. Let me just
7 round it off to 40 to 50 because again, these
8 are estimations.

9 The other piece of information comes from
10 Dr. David Thornton who has some very long-term
11 follow up data from British corrections with a
12 sixteen to nineteen year follow up, depending on
13 where the data came from. He has actual
14 re-conviction rates so it's not a statistical
15 extrapolation, it's not a maneuvering of data,
16 it's actual hard body count, how many of these
17 people were re-convicted. When you look at the
18 complete set of sex offenders released from
19 British corrections -- I should say UK
20 corrections, so British and the English and
21 Wales, from 1979, and in the sixteen to nineteen
22 year follow up if you look at just the rapists
23 and extra-familial child molesters together,
24 their average is just over 30 percent actually
25 re-convicted.

1 When I look at that, then I have to think
2 that the Janus and Meehl 20 to 45 percent --
3 their 20 to 30 is underestimation. And that
4 Dr. Hanson's 30 to 45 would suggest that the 30
5 means that re-conviction rate is the same thing
6 as re-offense rate. That's highly debatable,
7 and I would not agree with the statement. In
8 any case the re-offense rate, even if we give
9 that as a statement, the re-offense rate
10 overall, then, across all of us, would be
11 approximated between 30 and 50 percent. So am I
12 in the ballpark? I think I'm in the ballpark
13 and I'm on the higher end of it.

14 Q. What about extra-familial child molesters? What
15 do you estimate as the lifetime recidivism rate
16 for that group?

17 A. In what I was just describing I was including
18 those people. In this article I had them at
19 approximately 52 percent. I would note that in
20 the analysis I did, that I was finding that the
21 extra-familial child molesters had higher
22 re-offense rates than the rapists, over a long
23 period of time. In the data from Dr. Thornton,
24 actually rapists showed a higher re-conviction
25 rate than did the extra-familial child

1 molesters. So it may be that Dr. Hanson is
2 correct ultimately that their long time
3 re-offense rates are not different between those
4 two types of offender.

5 Q. If I can summarize your testimony this morning,
6 Dr. Doren, for the Court, from what you've said
7 I would gather the following. It's your opinion
8 that the actuarial assessments that we have
9 talked about have reached a level of scientific
10 accuracy such that they should be admissible in
11 court.

12 A. In my opinion that's correct.

13 Q. You also agree that they should not be used
14 alone, in and of themselves. That they should
15 also be supplemented with clinical judgment from
16 trained clinical professionals based upon their
17 training, practice, education and experience;
18 isn't that right?

19 A. Very clearly correct within the context of these
20 types of assessments.

21 Q. With these types of assessments. It's also your
22 opinion that these actuarial assessments have
23 undergone peer review in numerous sorts of ways
24 which you have recounted for the Judge.

25 A. Yes.

1 Q. And it's also your opinion that each of these
2 actuarial assessments has a known error rate
3 which we have talked about today during your
4 deposition.

5 A. Multiple error rates, yes.

6 Q. And that none of those error rates are such that
7 someone who works in this field of science would
8 hesitate to use the instruments based on those
9 error rates.

10 A. I believe if they're familiar with the estimates
11 of those error that that would be correct; they
12 would still be using the instruments and just
13 taking those errors into consideration.

14 Q. And when push comes to shove the use of these
15 actuarial assessments when supplemented with
16 clinical, empirically derived assessments are
17 the best predictor that we have for assessing
18 risk of sexual recidivism available to us in
19 February of 2001; is that right?

20 A. If I understood your question correctly, what
21 you're asking me is that the use of the
22 actuarial instruments is part of the process
23 that is the most accurate process we know. I
24 would agree with that, yes.

25 MR. THETFORD: And at this point I will

1 pass Dr. Doren for questions.

2 MR. BAL: Why don't we take a lunch break
3 at this point and we'll do the cross-examination
4 after lunch.

5 (A luncheon recess was taken from 12:12 to
6 1:40 p.m.; Exhibit A was marked for
7 identification and a copy is attached hereto.)

8

9 EXAMINATION

10 BY MR. BAL:

11 Q. Dr. Doren, I've done, I think, a number of
12 depositions of you before, either deposition or
13 hearings so --

14 A. We've done one of each, I think.

15 Q. At least. At least, seems like. Let me just go
16 over some of the same questions that you were
17 asked on direct before I get to any specific
18 questions I may have. According to your
19 employment, right now you are a half-time
20 employee of the State of Wisconsin?

21 A. That's officially how I'm listed, yes. I
22 sometimes work -- in fact, I frequently work a
23 few more hours than that, but effectively yes.

24 Q. I'm a state employee also so I understand that.
25 But you only get paid for half time, though;

1 that's correct?

2 A. Actually, I get paid for the hours that I work.

3 Q. And then the rest of your work is outside of the

4 employment for Wisconsin.

5 A. That's correct.

6 Q. Approximately what percent of the work that you

7 do is involved with SVP evaluations?

8 A. I would first need to clarify your question.

9 When you say SVP, the actual doing of

10 evaluations?

11 Q. Yes, actually evaluating respondents.

12 A. For the State or for -- in private or both?

13 Q. Well, I guess you can break it down either way.

14 A. It's difficult for me to assess that. I'll tell

15 you why. The -- up until this summer I was

16 actively still taking cases in my state

17 employment to do the assessment along with the

18 people that I was supervising also taking cases,

19 and then this past summer I was given additional

20 supervisory responsibilities, basically not just

21 the pre-commitment but the post-commitment

22 reevaluation process. And basically part of the

23 bargain in doing that was that I would

24 theoretically have enough staff to take on the

25 evaluation duties that I had myself. So I've at

1 this point pulled back in doing cases. So for
2 the State now it is the rare case that I'm still
3 doing. Like, I have one this week that I need
4 to complete, but it's -- it's the relatively
5 rare case.

6 In my private practice I would say -- it's
7 hard for me to approximate. I'll guess about
8 half or more of what I do is direct evaluation
9 work.

10 Q. And in that evaluation work do you use the
11 actuarial -- actuarial instruments we're talking
12 about today?

13 A. Typically. When they apply, yes.

14 Q. And you also give instructional training on the
15 use of these actuarial instruments?

16 A. Yes. I have, in various places, various times,
17 yes.

18 Q. Do you get paid for that instructional training,
19 as well?

20 A. Certainly. Either on state time if it's within
21 Wisconsin's employment, or in private practice.

22 Q. You had a survey earlier of points of contact in
23 different states in which you kind of summarized
24 which states were using which instruments. The
25 points of contact, were those people that you

- 1 knew from having -- doing training?
- 2 A. You're referring to the July, 1999 survey about
3 the frequency of use of the instruments?
- 4 Q. Yes. I think the second page of that was a hand
5 tally sheet.
- 6 A. Right. And you're asking about the people who
7 served as state liaisons my, contact people?
- 8 Q. Yes. How did you know about them? Was that
9 through training that you provided on
10 actuarials?
- 11 A. No. In no case was that true. I knew them
12 through other means. There were some -- just to
13 be -- to complete the answer, there were two who
14 later hired me to do training, but that's not
15 how I met them.
- 16 Q. You got your master's in Florida, I believe?
- 17 A. My master's was in Pennsylvania. At Bucknell
18 University.
- 19 Q. And what was the subject matter of your
20 master's?
- 21 A. It was a master's in psychology, if that's what
22 you're asking.
- 23 Q. Did you have a thesis or dissertation?
- 24 A. Yes, master's thesis.
- 25 Q. What was the emphasis of that thesis?

- 1 A. A test having to do with study habits of
2 individuals.
- 3 Q. And you used statistical methods to complete
4 your thesis.
- 5 A. Oh, I must have, but frankly I don't have any
6 recollection of what I did. But that's
7 typically what has to occur in order for it to
8 be passed.
- 9 Q. Do you have a degree in statistics?
- 10 A. Degree in statistics, no. I have a minor during
11 my graduate training at Florida State.
- 12 Q. And what was the subject matter of your Ph.D.
13 dissertation?
- 14 A. The Application of the MMPI-Based Criminal
15 Classification System in a Forensic Hospital
16 Setting.
- 17 Q. Seems somewhat relevant to what you're doing
18 now?
- 19 A. I have used that information about the
20 MMPI-Based Criminal Classification System a
21 handful of Wisconsin sex offender commitment
22 cases, but the application work to a forensic
23 hospital setting I've not used directly. It
24 overlaps in concept.
- 25 Q. I believe you testified earlier that the MMPI is

- 1 similar in many ways to the PAI?
- 2 A. In terms of its generally looking at personality
3 characteristics and in the way in which it was
4 developed.
- 5 Q. Now, you earlier talked about a proposal that
6 the ATSA committee is looking at regarding the
7 adoption of actuarial instruments.
- 8 A. Yes.
- 9 Q. Did they also have a provision in there
10 regarding the use of MMPI?
- 11 A. There is a statement in that same section -- I
12 could either quote it if you wish me to look at
13 it or just paraphrase, that no psychological
14 test -- and then in parentheses, for example,
15 and I think they do mentioned the MMPI -- should
16 be used without demonstrated empirical
17 relationship -- statistical relationship with
18 sexual recidivism. I think that's a fair
19 paraphrase.
- 20 Q. Based on your experience with the MMPI is there
21 an empirical relationship with sexual
22 recidivism?
- 23 A. By my experience you're referring to what I read
24 in other people's research?
- 25 Q. Just your experience, knowledge, training, yes?

- 1 A. In the Hanson and Bussiere meta-analysis
2 published in 1998 -- of which one of these
3 exhibits is that article. Maybe I should find
4 you the number. Exhibit 24 -- there is a
5 finding that two scales on the MMPI show some
6 degree of statistical relationship with sexual
7 recidivism for people who were previously
8 convicted of sexual offending. The other
9 scales, if studied enough -- either have not
10 been studied enough or don't show that
11 relationship. The relationship for those two
12 scales is of a nature, however, that makes it
13 difficult to use in that there's no clear
14 threshold of how much is enough.
- 15 Q. And do you have the same kind of problems when
16 trying to use the PAI to predict sexual
17 recidivism?
- 18 A. I know of no research that indicates that any
19 scale or the overall instrument in any way of
20 the PAI is related specifically to sexually --
21 sexual recidivism in previously convicted sex
22 offenders.
- 23 Q. When you started working with sexual recidivism
24 back in 1994, I believe you stated on direct
25 that you looked at the different characteristics

1 of recidivists?

2 A. From professional research, yes.

3 Q. Was one of the pieces of research the
4 meta-analysis done by Karl Hanson?

5 A. That did not yet exist. No, that was completed
6 in 1996, was published in 1998, but I was
7 composing this initial list of relevant and
8 irrelevant, as two separate lists, of risk
9 factors in the summer of '94.

10 Q. And when you decided what's relevant and what is
11 irrelevant, was that based on the correlation
12 between recidivism and these factors?

13 A. Typically, and not always. Most of the research
14 back then looked at correlation statistics, but
15 that was not always the case. And so what I was
16 mostly looking at was what correlated
17 statistically and what specifically did not
18 correlate so I knew what not to look at.

19 Q. What type of factors did you list which did not
20 correlate with recidivism? I'm going to talk
21 about recidivism today. I think you understand
22 that we're talking about sexual recidivism.

23 A. Certainly. I'm trying to recall. It's been a
24 while since I've looked at those lists.
25 Diagnosis of what's traditionally called mental

1 illness. Things like schizophrenia -- and let
2 me abbreviate and call it manic depressive
3 illness. Called bi-polar. Those diagnoses did
4 not seem to correlate.

5 MR. THETFORD: Let's go off the record a
6 second.

7 (A recess was taken from 1:49 to
8 1:52 p.m.; Sharon Patrick and Jack Schairer
9 entered the deposition room.)

10 THE WITNESS: I'm afraid I lost my train
11 of thought. I was in the middle of answering
12 something.

13 BY MR. BAL:

14 Q. I believe that I had asked you about factors
15 that you had identified --

16 A. Oh.

17 Q. -- back in 1994 --

18 A. Yes.

19 Q. -- which indicated a person would not
20 recidivate?

21 A. I mentioned about a traditional mental illness
22 diagnosis did not appear to correlate. There
23 were mixed reviews of various things, but I --
24 I'll name those if you wish, but that's not
25 directly what you're asking me. I'm trying to

1 think of some that just did not get supported.

2 Q. Well, that's okay. I think we can move on.

3 A. I'm afraid I can't think of any more.

4 Q. I was more interested in whether or not the
5 factors were the same as those identified by
6 Hanson in the meta-analysis.

7 A. I noted when the meta-analysis came out -- which
8 for me was 1996 when I first got a copy of it.
9 I noted that there was an overlap, but it was
10 not the same set. For instance, in my list I
11 remember specifically that I had found seven
12 studies that looked at the relationship of
13 alcohol abuse with sexual recidivism and had
14 found five that were supportive and two that
15 were not. So a mixed result. And that in Drs.
16 Hanson and Bussiere, B-U-S-S-I-E-R-E,
17 meta-analysis, their correlation overall for the
18 studies that they were looking at found no
19 significant relationship and it's -- they're
20 different measures. Because I was just looking
21 at number of studies in terms of a consistency
22 of result, where he basically compiled a single
23 statistic by putting it all together, but it
24 suggested that the seven that I looked at maybe
25 had an over-emphasis in seeing a relationship

1 that statistically wasn't there.

2 I had, I think, only one or two studies
3 that were suggestive of a relationship between
4 history of child sexual abuse by the person
5 we're now labeling as the offender with sexual
6 recidivism, and that also showed no relationship
7 in the meta-analysis.

8 On the other hand, of those things that
9 Dr. Hanson were -- Hanson and Bussiere found to
10 be related to sexual recidivism, if I had found
11 them in my summary I had also found them to have
12 a significant relationship. So some of the
13 things that I thought may have been related did
14 not end up getting supported in the
15 meta-analysis, but of those things the
16 meta-analysis did support I did not have a
17 contrary finding.

18 Q. Did the meta-analysis even attempt to look at
19 factors which would indicate a person is not
20 likely to recidivate?

21 A. The statistical answer to your question is yes,
22 but if you look through -- well, in the process
23 of doing the meta-analysis Dr. Hanson used a
24 correlational process, which is basically a
25 statistical -- varying in the same way. As one

1 moves the other moves. And -- in some
2 predictable fashion. In that sense, as
3 something is moving away from recidivism the
4 lack of recidivism tends to follow. So in that
5 sense the answer is yes.

6 He did not use the information in a
7 predictive way to say, well, this much of this
8 attribute should be -- will be a recidivist and
9 this much is only of people who won't be
10 recidivist. That was not what he did. So it
11 was -- the answer statistically is yes, but it
12 doesn't mean that he came up with, Here are a
13 list of things that mean someone won't be a
14 recidivist. That's not the way it worked.

15 Q. Then based on this meta-analysis he developed an
16 instrument which could be used, an instrument
17 which had a little bit more of a scientific
18 basis called the RRASOR?

19 A. The results of the meta-analysis were the
20 fundamental source of information that helped
21 him select seven items to be studied as an
22 instrument. He studied those with seven or
23 eight, at one point, different samples, found
24 that four of them pretty much did the work of
25 all seven, and those four became the RRASOR. It

1 wasn't that the meta-analysis was the basis for
2 the RRASOR, but it served as the basis for
3 choosing the items to look at that eventually
4 became the RRASOR.

5 Q. And the four items on the RRASOR are the exact
6 items that are also on the STATIC-99?

7 A. Those four items are in both instruments, yes.

8 Q. Now, earlier you drew a comparison between
9 actuarial instruments used by insurance
10 companies, for example life insurance, and the
11 actuarial instruments we're talking about
12 today. Do you remember that?

13 A. In defining what actuarial process looks like.

14 Q. Now when you talk about, for example, automobile
15 insurance; you don't get the same rate every
16 town in the country; correct?

17 A. My understanding that that's correct. You
18 don't.

19 Q. And the reason is because insurance companies
20 look at different regions, even different
21 cities, and they evaluate if you get the same
22 results for each geographical region.

23 A. That's my understanding is that they do look at
24 the regional differences and do find that there
25 are regional differences that matter.

- 1 Q. You were present in Ft. Dodge where
2 Dr. Woodworth testified a few weeks ago.
- 3 A. I believe that's where I heard him testify that
4 one occasion, yes.
- 5 Q. And you recall Professor Woodworth also saying
6 that geographical differences are important and
7 should be looked at when developing these
8 actuarial tools.
- 9 A. I believe he did make such a statement.
- 10 Q. Specifically, you recall him talking about the
11 RRASOR and STATIC-99 as being instruments which
12 should not be used in the United States because
13 the samples were from, I believe, England, Wales
14 and Canada?
- 15 A. I remember him making such a statement, though I
16 believe it's inaccurate. But he did make such a
17 statement.
- 18 Q. That was my question. You recall him making
19 that statement?
- 20 A. Yes I do.
- 21 Q. And you don't agree with that statement?
- 22 A. No I do not. The original developmental samples
23 were both from Canada and the UK. But there's
24 since been replication of both instruments in
25 the US.

- 1 Q. Has there been --
- 2 A. I need to correct. For the RRASOR it did
3 include a California sample.
- 4 Q. Has there been replication of these instruments
5 in the State of Texas?
- 6 A. There was recently -- and I mentioned earlier --
7 a small study on the STATIC-99 application to
8 people who had been adjudicated as juveniles to
9 have done a sexual offense and were now, at the
10 time of the study, 18 or 19 years old. Sample
11 size was only forty nine so it was statistically
12 suggestive rather than truly demonstrative of
13 its validity, but it was consistent with the
14 validity of that instrument. That was in
15 Texas. That was the Texas Youth Commission.
- 16 Q. Didn't you itself earlier that you have an
17 ethical problem with applying these instruments
18 to females and young juveniles?
- 19 A. Females, certainly. And I said with young
20 juveniles, that's correct. And this study was
21 not specifically young juveniles. It was a
22 study that I was mentioning that would suggest
23 that there may be some applicability of these
24 instruments to the older juvenile population,
25 but still the amount of research for that group

1 is smaller. You were asking the question about
2 these instruments being directly tested in
3 Texas, however, and I was answering that
4 question for you.

5 Q. And have there been studies replicating findings
6 that the RRASOR, STATIC-99, and MnSOST-R on
7 adult offenders, male offenders, in the state of
8 Texas?

9 A. Not that I'm aware of.

10 Q. None of the actuarials we're talking about today
11 made a distinction based on a subject's race;
12 did they?

13 A. Are you talking about within the instrument or
14 within the development of the instrument?

15 Q. I'm talking about factors that are considered.
16 They didn't break down factors based a person's
17 race; did they?

18 A. In the instrument, itself, that's correct. In
19 the development of the instrument at some point
20 in the process -- and I would have to define for
21 you that point -- the issue of minority versus
22 majority race was considered someplace in that
23 process and not found to be needed, within the
24 samples that were studied, to be on the
25 instrument itself. That issue is not on any of

1 those instruments.

2 Q. You were talking about this issue was considered
3 in the process. In the process for which
4 instrument? For the RRASOR?

5 A. I said in some step in the process. So yes for
6 the RRASOR and STATIC in the following way. As
7 I mentioned earlier, the RRASOR ultimately were
8 four items chosen out of seven. Those seven
9 were chosen out of the set of characteristics
10 from the Hanson and Bussiere meta-analysis that
11 were most highly correlated with sexual
12 recidivism and also easily, or at least
13 relatively easily, obtained information.

14 Within the study of the meta-analysis,
15 itself, was the issue of minority versus
16 majority race. And was found not to correlate
17 with sexual recidivism. In that sense that
18 issue was looked at in the development of the
19 RRASOR, and therefore also of the STATIC which
20 was the next step. It was not directly part of
21 the RRASOR or STATIC studies. I don't wish to
22 mislead at all. It was part of something that
23 got considered in, ultimately, the selection of
24 items.

25 Q. But in the -- from the meta-analysis the factor

- 1 of race was not selected to be a factor in the
2 RRASOR and ultimately the STATIC-99?
- 3 A. That's correct. And just to fill in an answer I
4 couldn't remember before, one of those things
5 that didn't correlate in my original study of
6 things was race. You helped remind me.
- 7 Q. Now, the three actuarial instruments we're
8 looking at today do have a cut-off for age and
9 what scores you get based on your age; correct?
- 10 A. Age of the person at the time of assessment is
11 an item on each of those three instruments.
- 12 Q. And the highest age any of the actuarials go to
13 is age 31; correct?
- 14 A. The MnSOST Revised, of these three, the cut-off
15 is the person's 31st birthday.
- 16 Q. And none of these instruments break down age in
17 any greater detail other than over the age of
18 31?
- 19 A. On all three instruments there's a specific age,
20 either 31st birthday or, for the other two
21 instruments, the 25th birthday and the person is
22 scored either as lower risk by being older than
23 that or as higher risk for being younger than
24 that.
- 25 Q. And none of these instruments give you a

- 1 breakdown, say, if a person is 40 or 45?
- 2 A. That's correct.
- 3 Q. Or 50 or 55?
- 4 A. That's correct again.
- 5 Q. A person could be 60 or 65?
- 6 A. Anything above that threshold is all treated the
7 same.
- 8 Q. They're all treated the same.
- 9 A. On that instruments.
- 10 Q. And none of these instruments look at whether a
11 person has good or bad community support if they
12 were released; true?
- 13 A. The instruments we're talking about, that's
14 true. That item used to be on a different
15 instrument, the Minnesota Sex Offender Screening
16 Tool, on one of twenty-one items, but otherwise
17 is not on any of the current actuarial
18 instruments that are commonly used.
- 19 Q. And none of the instruments has a factor for a
20 person's religion; correct?
- 21 A. That's correct. That's another one of those
22 that did not correlate that -- you're reminding
23 me as we go.
- 24 Q. Now, the proposed policy that ATSA is
25 considering adopting, at this point is just a

- 1 proposal; correct?
- 2 A. It is a draft proposal that came from a
3 committee that was sent to the board and now
4 they've gotten feedback about it.
- 5 Q. And it has not been adopted at this point?
- 6 A. It is not an official statement. I did contact
7 a person by the name of Dr. Arthur Gordon,
8 G-O-R-D-O-N --
- 9 Q. My question was, at this point it's not
10 officially adopted; correct?
- 11 A. It is not officially adopted, and it was also
12 viewed by him as their current stance.
- 13 Q. In doing evaluations do you generally use the
14 DSM-IV?
- 15 A. Yes. We're talking sex offender civil
16 commitment evaluations? Yes.
- 17 Q. We're still talking about sex offender --
- 18 A. We won't keep qualifying that, I'll just
19 understand that.
- 20 Q. And DSM-IV is in wide and general use by
21 evaluators for doing sex offender commitments?
- 22 A. That is my opinion, yes.
- 23 Q. Now DSM-IV wasn't developed by psychologists;
24 correct?
- 25 A. That's correct. It was developed by the

1 American Psychiatric Association.

2 Q. And are you aware that there are forensic
3 psychiatrists around the country who also do
4 evaluation for sex offender commitment?

5 A. Yes. There are a number, though the number is
6 far smaller than psychologists who actually do
7 assessments. But there are some states where
8 psychiatrists are commonly involved and there
9 are states where there are no psychiatrists
10 involved. There are always psychologists
11 involved in any of these states.

12 Q. Are you aware that in the State of Texas that
13 only psychiatrists can do sex offender
14 evaluations?

15 MR. THETFORD: Objection, form. You don't
16 have to answer that question.

17 BY MR. BAL:

18 Q. Do you know what the Texas statute says about
19 whether psychologists or psychiatrists can do
20 sex offender evaluations?

21 MR. THETFORD: Objection, form. Don't
22 answer that question.

23 BY MR. BAL:

24 Q. Do you know whether the Texas courts will accept
25 an evaluation by a psychologist for sex offender

1 commitment?

2 MR. THETFORD: Objection, form. Don't
3 answer that question.

4 BY MR. BAL:

5 Q. Do you have any knowledge whether you can go in
6 Texas and do a sex offender evaluation?

7 A. There are a number of considerations that I have
8 not looked into. Before I can do any work in a
9 state I need to make sure that there is -- it is
10 appropriate under that state law that I do
11 practice psychology in that state within my
12 current licensure. Given that I am not licensed
13 in all of these states, that would be the first
14 thing I would need to look at before anything
15 about the details of the law, itself. I've not
16 looked into that for Texas. I've not been asked
17 to do a case there.

18 Q. So you have not reviewed Texas statute with
19 regard to who can or cannot do sex offender
20 evaluations?

21 A. I don't recall looking at that detail. I am
22 sure I've read it, because I read through the
23 statute, but I don't recall that detail.

24 Q. Now, earlier you talked about the PCL-R and that
25 was developed by Dr. Hare, H-A-R-E; correct?

- 1 A. Correct.
- 2 Q. And using that essentially make a determination
3 of whether a person is or is not a psychopath;
4 correct?
- 5 A. It can be used in that way. A different way to
6 describe it is, it looks at the degree of
7 psychopathy. But either way, that's correct.
- 8 Q. Now, psychopathy has not been accepted as a
9 diagnosis under the DSM-IV; correct?
- 10 A. It is not a diagnosis in the diagnostic manual
11 as a separate category. It is described as an
12 associated feature within the category of
13 anti-social personality disorder.
- 14 Q. How old is the STATIC-99?
- 15 A. By its title it came into existence basically in
16 January of 1999. The research was actually done
17 in 1998.
- 18 Q. How about the MnSOST-R? Do you recall when that
19 came out?
- 20 A. The fall of 1998 is when it became available.
21 It's first presentation at a national --
22 actually, international conference.
- 23 Q. At this point you no longer use the MnSOST-R;
24 correct?
- 25 A. I do use the MnSOST-R.

- 1 Q. Don't use the MnSOST. I'm sorry.
- 2 A. I do use the MnSOST Revised. I do not any
3 longer use the MnSOST.
- 4 Q. Is that because the MnSOST-R is more accurate
5 than the MnSOST was?
- 6 A. That's part of a reason. That is an accurate
7 statement. I had been using both instruments
8 for a while because there had been more research
9 on the original form than on the Revised. There
10 is now sufficient, in my view, research on the
11 Revised that I don't need to bolster my
12 confidence in the interpretation of the revised
13 form by using the original form in addition.
14 The statistics for the Revised stand by
15 themselves.
- 16 Q. The MnSOST-R was developed for the purpose of
17 replacing the MnSOST?
- 18 A. That was the intention according to Dr. Epperson
19 who's the main researcher. It was to be, shall
20 we say, the new and improved variety.
- 21 Q. Do you know approximately how many people you
22 recommended for commitment using the old version
23 of the MnSOST-R?
- 24 A. Of the --
- 25 Q. -- MnSOST.

1 A. MnSOST? First of all, I didn't -- that I
2 recommended for commitment?

3 Q. Yes.

4 A. First of all it would help me to answer the
5 question if I look at Exhibit 3. I'm looking at
6 pages eight and nine where I have a list of the
7 number of evaluations that I've done. What I
8 don't have here are the time periods. The -- I
9 would have to make a gross approximation to
10 answer your question. The MnSOST became
11 available to me during the early spring of 1997,
12 late spring 1997, and I was using it with other
13 instruments including, starting in the fall of
14 1998, with the MnSOST Revised, through this past
15 early fall, I think it was. I'm not sure
16 exactly when that was. So through -- let's say
17 early fall of 2000. So I used the MnSOST for
18 approximately three and a half years, sometimes
19 with the MnSOST Revised as well as time period
20 earlier. I've been doing these assessments from
21 June of 1994 through the present, which is a
22 period of, let's approximate, six and a half
23 years. So if I just approximated maybe about
24 half of those that I've done. Whether I
25 recommended for or against, the numbers can be

1 found on page eight and nine of Exhibit 3.

2 Q. And the scores that you got on the MnSOST, those
3 were different in some cases than the scores on
4 the MnSOST-R?

5 A. Well, the scores would always be different
6 because they were in a different range. But if
7 you mean -- you're asking me did they show a
8 different degree of risk?

9 Q. Yes.

10 A. There were times that was true, yes. And that
11 spoke to the reason why I was using both
12 instruments. That at that point in time did I
13 not feel certain enough about either one of them
14 to stand alone, and a mixed picture told me I
15 had a problem in the interpretation.

16 Q. Did you ever go back to cases in which you used
17 only the MnSOST to see if perhaps the score may
18 be different if you applied the MnSOST-R to the
19 same data?

20 A. The risk category is what you mean by score?

21 Q. Yes. Have you ever gone back and done that?

22 A. Probably as part of a research project that I
23 did with Dr. Roberts in Wisconsin. I probably,
24 in effect, did do that follow up process of
25 scoring, for research purposes, some people on

1 the Minnesota Revised instrument who had at the
2 time of the original assessment that instrument
3 had not been part of the assessment, just the
4 MnSOST. But I have no idea what the results are
5 offhand. I've never looked at it that way.

6 Q. I don't think you talked earlier about this
7 research project that you have done with
8 Dr. Roberts, and that some of your opinions are
9 based on this research that you have done with
10 Dr. Roberts. Do you have the results of that --
11 any type of documented form?

12 A. I gave a presentation, both the 1998 and 1999
13 ATSA conferences, where those data -- summaries
14 of those data were presented. I don't have that
15 with me.

16 Q. Has that research or the findings of the
17 research been published?

18 A. Not at this time. There are plans for that, but
19 not at this time.

20 Q. But it was completed in 1997?

21 A. No. The work was -- the initial form of it,
22 with some sample size that later was expanded,
23 was completed just before the ATSA conference,
24 1998. That would have been, I think, September
25 so I finished it about in October -- August,

1 rather, of 1998. And then we expanded the
2 sample size, we being Dr. Roberts and myself,
3 and presented further findings in 1999. Again,
4 that work would have been pretty much right up
5 to the conference date so fall of 1999.

6 Q. Are you planning on submitting that work for
7 publication in the future?

8 A. Yes, but actually as part of a bigger project,
9 not as a stand alone article. It's designed to
10 be probably one of three segments of a larger
11 article.

12 Q. You use the MnSOST-R to do your evaluation in
13 the State of Wisconsin; correct?

14 A. Under circumstances of which I consider
15 appropriate application, yes.

16 Q. Are there differences in the drop-out rate in
17 treatment programs between programs in the State
18 of Minnesota and programs in the State of
19 Wisconsin?

20 A. Yes.

21 (At this time Jack Schairer left the
22 deposition room.)

23 BY MR. BAL:

24 Q. And how do you compensate for those differences
25 when you use the MnSOST-R N State of Wisconsin?

- 1 A. There are two items in which that matters in the
2 MnSOST Revised, items number fourteen having to
3 do with chemical abuse treatment, and item
4 fifteen having to do with sex offender
5 treatment.
- 6 Q. Let me clarify. I was referring only to sex
7 offender treatment, so if you can limit your
8 answer to that?
- 9 A. Okay. It actually applies both, but I'll limit
10 it in the way you wish. Concerning sex offender
11 treatment, the basic answer -- and I'll go into
12 detail if you wish. The basic answer is if the
13 person has completed the program here then the
14 person gets credit for it. If the person has
15 participated to any degree then the person
16 doesn't get scored less than a zero without
17 there being a very significant reason for
18 scoring him in a higher risk direction. The
19 only situation in which I would make a habit --
20 in this point, when talking about a Wisconsin
21 case, of a person -- scoring the person in a
22 higher risk direction than zero -- which is
23 average. Baseline -- would be if the person
24 actively did something that got himself
25 terminated from treatment very early in the

1 process. Without that -- and not just a simple
2 conduct report. I mean, it has to be some,
3 shall we say, illegal behavior that was just
4 handled within the system.

5 The reason I go to that extreme is because
6 the differences in the completion rates in the
7 two places vary significantly and so I would
8 need to have a very extreme reason for
9 scoring -- to penalize somebody, in a sense, to
10 score a higher risk direction, or else I'll just
11 give the person a base rate score, a base line
12 score -- unless he actually completed the
13 program. Then I give him full credit.

14 Q. Do you know what Dr. Epperson's recommendation
15 is?

16 A. He has had two different recommendations. One
17 of those recommendations is what I just
18 described. The other recommendation is to score
19 it as a zero base line throughout. Those are
20 the two of which I'm aware.

21 Q. Do you know whether a newer version of the
22 STATIC-99 is being developed.

23 A. I expect what you're referring to is the Risk
24 Management 2000, the RM-2000. I do not know
25 whether or not that's actually an update on the

1 STATIC-99 or what has been more described to me
2 as an update on the SACJ Minimum, the instrument
3 that is used in the UK, but nowhere else.
4 Structured Actuarial Clinical Judgment hyphen
5 Minimum Scale. That is -- the SACJ Minimum is
6 one of two scales, the RRASOR being the other,
7 that went into the development of the STATIC.
8 But it is -- so the RM-2000 in that sense
9 overlaps a next step from the STATIC, but it's
10 not directly the next step from the STATIC.

11 Q. Is Dr. Hanson the person who's developing this
12 new test.

13 A. My understanding is that Drs. Hanson and
14 Thornton are both involved. I do not know if
15 anyone else is.

16 Q. So the same two people who developed the
17 STATIC-99.

18 A. And the RRASOR; that's correct.

19 Q. Well, Thornton wasn't involved in the RRASOR,
20 though?

21 A. Right. But I meant it overlapped the developers
22 of the RRASOR. You're correct.

23 Q. You talked earlier about some states in which
24 courts have excluded these actuarial
25 instruments. Are you aware of a recent ruling

1 in Missouri in which actuarial instruments were
2 excluded?

3 A. I am not aware of any such ruling in Missouri.

4 Q. You spoke earlier about using both a clinical
5 and an actuarial approach when doing
6 evaluations, versus a clinical by itself or
7 actuarial by itself.

8 A. Clinically adjusted actuarial? Yes.

9 Q. You used the term, I believe, intensity of
10 interest --

11 A. Yes.

12 Q. -- when talking about an item.

13 A. Yes.

14 Q. Would you please summarize that for me, please?

15 A. What I was referring to was some research that
16 looked at -- actually specific to the RRASOR,
17 whether or not someone's sexual interest towards
18 children would suggest greater risk than what
19 the RRASOR was already assessing. And the
20 research found the answer to be both yes and
21 no. It is "no" in terms of if it's simply a
22 question of is somebody pedophilic or not, that
23 adds no predictive information to the RRASOR.
24 But that same research showed that for people
25 who -- the way in which the research design was

1 done, for people who had an inability or
2 unwillingness to suppress their interests when
3 in a physiologically testing situation, that the
4 process of suppressing versus not was
5 incrementally predictive beyond the RRASOR. It
6 is that that I'm referring to as the intensity
7 of the pedophilic interest.

8 Q. When you talk about unwillingness to control, is
9 that not a subjective evaluation?

10 A. What I'm referring to is quite specifically that
11 they did not end up demonstrating control. The
12 interpretation of that is, either they were
13 unable or unwilling or both. I can't tell you
14 which it was for any given subject. The
15 researchers couldn't tell you that. What they
16 knew is that the person did not end up
17 controlling.

18 Q. In other words, they committed another offense?

19 A. No. What I mean by that is that when they were
20 in the situation of a penile plethysmograph,
21 p-L-E-T-H-Y-S-M-O-G-R-A-P-H, testing situation
22 and they were told -- after they showed that
23 they had pedophilic interests, and then they
24 were again brought, in effect -- or continued
25 the testing, they were asked to -- or told, I

- 1 should say, now to suppress their reaction.
- 2 There were some people who did and some people
- 3 who did not. Those people who did not, showed
- 4 higher recidivism rates than those who did, even
- 5 compared to what the RRASOR would have
- 6 suggested. It was additional information that
- 7 was useful. Not the simple fact of showing
- 8 sexual deviance.
- 9 Q. And you're talking about people who were already
- 10 confined and have undergone the penile
- 11 plethysmograph?
- 12 A. That's how that research was done; that's
- 13 correct.
- 14 Q. And I guess I was referring to the clinical
- 15 setting. How does a clinician determine whether
- 16 a subject is or is not able to control?
- 17 A. That is more problematic. The penile
- 18 plethysmograph can be done, but it is not
- 19 typically something that happens, even in sex
- 20 offender civil commitment evaluations, even if
- 21 systematically offered.
- 22 Q. And if it's not done then you do have a margin
- 23 of subjectivity in a clinician's decision.
- 24 A. There is a margin of subjectivity in that item,
- 25 as well as other items. That is part of the way

- 1 business is done at this current time. It's a
2 question of how much subjectivity there is.
- 3 Q. Now, there were studies done on the purely
4 clinical method; correct?
- 5 A. What I referred to as unaided, yes.
- 6 Q. And the findings were that essentially the same
7 as chance or slightly better than chance?
- 8 A. On average they were better than chance, but not
9 by much. And what also mattered is, there was a
10 lot of variability across studies. Some of them
11 showed nothing better than chance, some of them
12 showed a significant better -- significantly
13 better than chance. On average they were
14 better, but not by much.
- 15 Q. Let me back up just a second back to the -- you
16 were talking about some data about penile
17 plethysmograph. Which studies are you referring
18 to or which research? Can you identify that?
- 19 A. The one that I'm referring to is listed for you
20 in document number five, Exhibit number 5. It
21 is on page 2. The Hanson -- excuse me, Haynes,
22 H-A-Y-N-E-S Yates, Nicholiachuk Gu, G-U, and
23 Bolton study.
- 24 Q. When you do the clinically adjusted evaluation
25 do you first use the actuarials?

- 1 A. Yes.
- 2 Q. Is that the first step?
- 3 A. Again, assuming applicability, yes.
- 4 Q. And then based on the results of the actuarials
- 5 do you then adjust one way or the other
- 6 depending on what you find in the clinical
- 7 setting or from additional information?
- 8 A. My looking for things to -- whether or not there
- 9 should be clinical adjustments, and to what
- 10 degree, does not depend on the results of the
- 11 actuarials. I will do that no matter what the
- 12 results of the actuarials are. In terms of
- 13 looking at -- do I look at things? Always. Do
- 14 I always make adjustments? No. In some cases
- 15 there is no reason to be making an adjustment
- 16 after looking for reasons to be making
- 17 adjustments in either direction.
- 18 Q. You used the term earlier, I believe, that --
- 19 when you used the actuarials that somehow
- 20 grounds your final opinion or --
- 21 A. It grounds me before I look on further.
- 22 Q. And what I was, I guess, asking was what you
- 23 meant by grounding. I mean, does that set the
- 24 parameters, essentially, of which way you can
- 25 vary or which way you can adjust the results of

1 the actuarials?

2 A. It does -- no, grounding doesn't have anything
3 to do with which way I can adjust. I can still
4 adjust in any direction -- either direction or
5 not at all. By grounding I just mean as a
6 starting place. On that zero to 100 percent
7 possibility of somebody's risk it's going to
8 start me out in that -- whatever range seems to
9 be applicable to the individual. And so it
10 will, in a sense -- how would I-- I'm trying to
11 think of a good metaphor, here.

12 I can't think of a good metaphor so I
13 guess I'll just continue describing. It will
14 anchor me, is another phrase. It will, in a
15 sense, keep me tethered to an area so I don't
16 stray too far in making adjustments in either
17 direction.

18 So if I have someone where the actuarials
19 are, including the confidence interval, still
20 showing low risk, then I'm not going to find
21 something that, you know, well this attribute
22 just stands out to me so I'm going to say he's
23 up here despite all that, without something
24 awfully extreme. I'm -- as a characteristic.
25 I'm too tethered to this. I'm too anchored to

1 that area. And the same would apply in the
2 other direction or in the middle going towards
3 either extreme.

4 Q. So in addition to doing an actuarial assessment
5 you also factor in your own clinical experience?

6 A. And training and whatever -- yes. All that does
7 become part of it, including what not to adjust
8 for.

9 Q. Now, when you're doing a purely clinical
10 assessment it tends to overestimate the risk;
11 correct?

12 A. That is the research suggestion, and I would
13 tend to think that to be true, that people who
14 are doing the unaided clinical process tend to
15 overestimate risk.

16 Q. And is there research to indicate whether a
17 person who uses a clinical in addition to
18 actuarial tends to lean towards the direction of
19 overestimating risk versus underestimating risk?

20 A. I'm aware of eight pieces of research that have
21 looked at the types of instruments we're talking
22 about today or either of two others. Six
23 specific to the instruments we're talking about
24 today and then two other studies, one using the
25 SACJ-Minimum and one using the VRAG, that then

1 had a clinical adjustment process. So my answer
2 to your question is just out of those eight
3 studies.

4 Out of those eight studies what they've --
5 and those are listed, again, on Exhibit 5, the
6 very bottom of page one into page two. In
7 the -- in those studies what they found was that
8 with certain types of adjustments the accuracy
9 increased. And that was not true for all types
10 of pieces of information. So a clinical
11 adjustment can actually decrease the accuracy of
12 an actuarial instrument if it's an improper
13 adjustment.

14 And those eight pieces of research also
15 consistently demonstrated, across all eight,
16 that proper adjustments increase the accuracy.
17 It was not specifically to one direction or the
18 other, it was in the direction of accuracy.

19 Q. But improper adjustments could decrease the
20 accuracy?

21 A. Yes they can.

22 Q. And Exhibit number 5, this is a list that you
23 compiled?

24 A. Yes it is. I would point out that I got help in
25 the original formation of it from various

1 people, but ultimately it's my list.

2 Q. And these are various, I guess, papers or
3 presentations which both support actuarials as
4 well as come out against actuarials; correct?

5 A. Yes, it includes all that. Presentations,
6 publications, dissertations. These were -- I
7 have them divided per instrument and then when
8 looking at whether they're supportive or not.
9 So far for the instruments that we're talking
10 about, all the studies of inter-rater
11 reliability are supportive so I don't have a
12 category of not supportive of the inter-rater
13 reliability because for the RRASOR, for the
14 STATIC-99, and for the MnSOST Revised there are
15 no such non-supportive studies.

16 For the validity, for the demonstration
17 that they're measuring what they're purported to
18 be measuring, then for each of the instruments
19 there's at least one study that did not support.
20 But the vast majority, virtually all of the
21 studies for each of the instruments do support,
22 and I have those listed in the proper categories
23 in that paper.

24 Q. There's a study by Barbaree, Seto, et al. which,
25 for example, comes out non-support of the

1 MnSOST-R.

2 A. It did not support the validity of the MnSOST-R
3 for a four-year re-arrest for sexual offending
4 study.

5 Q. But Barbaree also comes out in support of the
6 STATIC-99?

7 A. STATIC-99, the RRASOR, and other instruments.

8 Q. So at this point there is a array of opinions
9 regarding validity of some of these actuarial
10 instruments. There are differences in opinion.

11 A. Well, if you're asking me is it absolutely
12 uniform in the field that everyone agrees, as I
13 testified before, no. And I doubt that there
14 will ever be uniformity about almost anything in
15 psychology.

16 On the other hand, if you're asking is
17 there consistency for a vast majority, then in
18 looking at a list like this it's clearly a vast
19 majority would say there's support for validity
20 of the instruments we're talking about.

21 Q. If we're going to look at the vast majority of
22 these items that you have listed in Exhibit
23 number 5, the vast majority have not been
24 published. Would you agree with that?

25 A. The vast majority have not been published.

1 There are a significant number that have been
2 submitted for publication and the vast majority
3 are, at least at one time, presentations that
4 may also now be submitted. Conference
5 presentation.

6 Q. My question was whether you would agree that the
7 vast majority have not been published.

8 A. At this point in time a vast majority have not
9 been published.

10 Q. And some of these are poster presentations. I
11 believe there were some poster presentations at
12 the ATSA conference in San Diego of last year?

13 A. Yes. Yes to both parts of that.

14 Q. And a poster presentation, is that essentially
15 where people stand in front of a booth or a
16 display and give handouts to people of their
17 research?

18 A. Yes. They got to did that because the committee
19 said okay to their submission for doing it
20 and -- the conference committee, I mean -- and
21 that's basically what they do. It's not a
22 presentation in a formal sense except on a board
23 with handouts.

24 Q. When the committee accepts something for a
25 poster presentation or even for a more formal

1 presentation, is there a process by which the
2 underlying data is reviewed?

3 A. Typically not the underlying data. The concept
4 of what will be presented needs to have been
5 submitted in an abstract form, and the committee
6 reviews the abstracts that are submitted. The
7 detail that goes into an abstract probably
8 varies a lot. I've never been on one of those
9 committees, however. I don't know the details
10 in that regard.

11 Q. Does your list include an article by Karl Hanson
12 titled, Will They Do It Again, Predicting Sex
13 Offense Recidivism, which was published in June
14 of the year 2000 in Current Directions in
15 Psychological Science?

16 A. No, it doesn't include that article because
17 that's not an article that includes specific
18 research that had not been available elsewhere
19 that would demonstrate a test of inter-rater
20 reliability or validity. That's more of a
21 summary article and a thought piece. I don't
22 have those in that -- in Exhibit 5.

23 Q. And it's not one of the exhibits that you
24 introduced on direct; correct?

25 A. No it's not. I am familiar with that article.

- 1 It's not something I happened to bring with.
- 2 Q. Now, you did introduce several articles by
- 3 Dr. Hanson.
- 4 A. There are some in there, yes.
- 5 Q. Would you agree that he is one of the leading
- 6 researchers, if not the leading researcher, in
- 7 the field of sex offense recidivism?
- 8 A. He's one of them.
- 9 Q. Along with Dr. Epperson?
- 10 A. He's another one.
- 11 Q. In fact, between those two they account for the
- 12 three actuarial instruments we're talking about
- 13 today; correct?
- 14 A. To at least have had a part in them if not the
- 15 sole -- well, if not the sole part. Dr. Hanson
- 16 for the RRASOR. That would be correct.
- 17 Q. And the STATIC-99 Dr. Hanson developed in
- 18 conjunction with Dr. Thornton?
- 19 A. That's correct.
- 20 Q. But he developed the RRASOR by himself?
- 21 A. That's my understanding, and that's certainly
- 22 the way it's advertised.
- 23 Q. Would you agree that he probably knows a little
- 24 something about the RRASOR?
- 25 A. He probably knows a great deal.

- 1 Q. Now isn't it true that Dr. Hanson recommends
2 that you do not use both the RRASOR and
3 STATIC-99?
- 4 A. Yes, as I mentioned in earlier testimony, as
5 well as one of his main reasons why.
- 6 Q. But you do not agree with his conclusion?
- 7 A. No. For the main reason that I stated earlier
8 that I went into some detail about. Not within
9 the context of this type of assessment. In a
10 different context I would simply agree with
11 him. In a shorter term follow up context I
12 would agree with him.
- 13 Q. Now, you also did your own analysis of some of
14 the underlying data for the STATIC-99; correct?
- 15 A. Yes, that Dr. Hanson was gracious enough to let
16 me use.
- 17 Q. And did you show Dr. Hanson the results of your
18 analysis?
- 19 A. Yes I did.
- 20 Q. Did you publish the results of that analysis?
- 21 A. It's been submitted for publication; it's
22 currently under review.
- 23 Q. Now, you offered Dr. Hanson a chance to put his
24 name on that publication; correct?
- 25 A. Yes I did.

- 1 Q. Is his name going to be on the publication if
2 it's published?
- 3 A. No. He decided did he not want it on it.
- 4 Q. Now, earlier you were comparing, I believe, four
5 different types of assessment methods going from
6 purely clinical to the clinically adjusted.
7 Also had in there research guided clinical and
8 purely actuarial.
- 9 A. That's all correct.
- 10 Q. Now, the purely clinical is the least accurate
11 method; correct?
- 12 A. The research would lead me to believe so, yes.
- 13 Q. And in your opinion?
- 14 A. And in my opinion. Both in terms of the number
15 of people assessed as high risk as well as the
16 general predictive process of differentiating
17 who will versus who will not recidivate.
- 18 Q. And the clinically adjusted is, I believe, in
19 your words, is at least as good if not better
20 than any of the three previous methods?
- 21 A. Based on the research that I've summarized in
22 Exhibit 5; that's correct. As well as other
23 research, I should say, talking about certain
24 things like the research guided approach that's
25 not talked about much in Exhibit 5.

1 Q. Inter-rater reliability is an important factor?

2 A. Yes it is.

3 Q. And it's one of the -- one of the causes which
4 would introduce error into the calculation?

5 A. Yes. It could. The concept of different raters
6 not scoring in a reasonably consistent way over
7 the same cases would be a problem. And to some
8 extent there will always be a non-perfect
9 inter-rater reliability coefficient where except
10 for trivial things there's going to be some
11 degree of variation, but we would want to
12 approach 100 percent as close as we can.
13 Consistency.

14 Q. The only thing 100 percent is death and taxes
15 though; right?

16 Let me refer you to Exhibit number 15.

17 A. Okay.

18 Q. Now, you list various confidence intervals for
19 the RRASOR, STATIC-99, and for the MnSOST-R you
20 actually have the standard error of
21 measurement. Let's talk about the RRASOR. What
22 is the source of -- source of the figures that
23 you have listed?

24 A. The ten-year risk figures are directly from
25 Dr. Hanson's work on the RRASOR, the

1 developmental research. I did not adjust those
2 in any way there, or add any other samples. The
3 95 percent confidence interval figures were
4 therefore also based purely on the developmental
5 research adding -- that are on Exhibit 15, based
6 on just the developmental research samples, the
7 nearly twenty-five hundred people for the RRASOR
8 that went into its development. These set of
9 confidence intervals do not include any samples
10 that anybody else looked at since. To the
11 extent that I, for the ATSA presentation I did
12 this past November, then did look at other
13 samples and I know that these numbers will vary
14 a little bit because of the increased numbers of
15 people per category. They actually don't change
16 all that much with the numbers that I had.

17 Q. You talked about increased number of people in
18 each category. This is new data that has come
19 out?

20 A. What I mean by it is, this is from what
21 Dr. Hanson did. And then when I took some of
22 the studies from last exhibit we just had -- 15,
23 I think it was -- no, I've got 15. Whatever it
24 was. When I looked at some of those pieces of
25 research from Barbaree, Seto study for instance,

- 1 when I looked at adding Rebecca Dempster's data,
2 when I added data to the original data that
3 Dr. Hanson did, then the confidence intervals
4 have different numbers to their basis and so
5 they move a little bit. But actually, they
6 didn't move a lot.
- 7 Q. For the RRASOR, when you have a risk figure of
8 73.1, what that actually means is, it's
9 somewhere between 61 and 85 approximately;
10 correct?
- 11 A. If you took into consideration the 95 percent
12 confidence interval that would be the correct
13 interpretation, is that 95 percent of the time a
14 group of people with that score will show, in
15 that case, the re-conviction of a new sexual
16 offense within ten years. Somewhere in that
17 range.
- 18 Q. Somewhere in that range. But that does not
19 account for the inter-rater variability; does
20 it?
- 21 A. That's correct. It does not. The confidence
22 interval doesn't change in size, but it will
23 move.
- 24 Q. The entire interval will move up or down?
- 25 A. The entire interval will move towards the

- 1 average, whichever direction it happens to be.
- 2 Q. And when you have inter-rater reliability that's
3 going to introduce a margin of error.
- 4 A. Not in the size of the confidence interval, but
5 in the --
- 6 Q. Right. The direction.
- 7 A. -- direct interpretation; that's correct. It is
8 again measurable or accountable. One can
9 estimate it. But yes, it is an error that
10 should be looked at.
- 11 Q. But this particular Exhibit, number 15, none of
12 these three groups that you have here takes the
13 inter-rater reliability error into account;
14 correct?
- 15 A. That's correct. It does not. I was simply
16 listing what an associated confidence interval
17 would be with the risk figures that Dr. Hanson
18 had initially listed.
- 19 Q. Let's go to item number B and that's the
20 STATIC-99. Did you get those figures from
21 Dr. Hanson, as well?
- 22 A. The fifteen year risk figures, yes. Dr. Hanson
23 and Dr. Thornton, but basically off Dr. Hanson's
24 web site, yes.
- 25 Q. The MnSOST-R figures, what period of time are

1 those figures based on? The STATIC-99 is
2 fifteen years, the RRASOR is ten years. How
3 many years are we talking about for the
4 MnSOST-R?

5 A. For the confidence intervals here it was for the
6 six year re-arrest measure of sexual recidivism,
7 specifically for physical contact sex offenses.

8 Q. And the risk of recidivism does vary depending
9 on what time period you're looking at; correct?

10 A. In general you're looking at the same group of
11 people over time, yes. There will be an
12 increase over time for some number of years out
13 from the release from incarceration for the same
14 group. It will be ever expanding up to a point
15 of which no one really knows when it finally
16 ends.

17 Q. But these three groups that you have here, we're
18 actually comparing apples to oranges to pears
19 because we're looking at different time periods;
20 right?

21 A. If we're talking about how to make sense out
22 them, one against the other versus a third, then
23 one does need to take into consideration that
24 they're different time periods and different
25 measures, re-conviction, re-arrest.

1 Q. For the MnSOST-R, where did you get the
2 percentages? Are those from Epperson's
3 research?

4 A. I'm trying to recall. I know I had gotten some
5 figures from him, but I also did my own
6 computations. I'm thinking these are my
7 computations from his data, compiled by -- I'm
8 not sure now. I'm not sure if this is -- if
9 this is -- are these that are listed from his
10 computations based on his developmental research
11 or if they're my computations based on his
12 developmental and some of the cross validation
13 research. I don't recall that. Again, it's --
14 the set that I actually use is a more
15 comprehensive set that I presented at ATSA, but
16 this was a summary I was giving just as an
17 example. I've forgotten exactly where these
18 numbers come from. It's one of those two.

19 (A recess was taken from 2:50 to
20 3:07 p.m.)

21 BY MR. BAL:

22 Q. Dr. Doren, I'm going to talk about recidivism
23 base lines; okay? And would you agree that
24 there is some disagreement about what should or
25 should not be the base line for sex offender

1 recidivism?

2 A. Well, there's always a context to even answering
3 that question. Are you referring to lifetime
4 re-offense estimates or are you talking about
5 something else?

6 Q. Well, we could start off with lifetime
7 re-offense.

8 A. Then I believe that the difference is as I
9 described it during -- difference in
10 perspectives is as a described in my direct
11 testimony, ranging from Janus and Meehl's 20 to
12 45 percent to my being on the other end of that
13 of 40 to 50, with David Thornton's research data
14 suggesting that a 30 percent re-conviction rate
15 within sixteen to nineteen years is probably a
16 bottom line as a minimum.

17 In terms of shorter time periods -- and so
18 there's some disagreement and some degree of
19 agreement. I'm not sure how to answer the
20 question with a yes no. In a shorter time
21 period or measuring purely by re-conviction,
22 then it gets -- the degree of differences
23 narrow. It becomes clearer and clearer as we
24 get to shorter time periods and more just
25 restricting to a re-conviction measure, for

- 1 instance.
- 2 Q. On Exhibit 17 you have a graph for the MnSOST-R?
- 3 A. Yes.
- 4 Q. And three different categories on the graph
- 5 based on 15 percent base line, 21 percent and 35
- 6 percent base line.
- 7 A. That's correct.
- 8 Q. Now Dr. Epperson, he recommends a 35 percent
- 9 base line for the MnSOST-R; correct?
- 10 A. That's mostly true. I think -- I'm not
- 11 positive. I think that he qualifies that
- 12 statement by specifying that the 35 percent rate
- 13 would be more of his estimate of getting closer
- 14 to a lifetime re-offense while acknowledging
- 15 that his scale is looking at a six year
- 16 re-arrest. At the same time, I believe he also
- 17 acknowledges that the 21 percent base line is
- 18 probably closer, and rather close to a
- 19 reasonable six year re-arrest rate.
- 20 Q. Well the MnSOST-R is based on a six year time
- 21 period; correct?
- 22 A. The research underlying it is six year
- 23 re-arrest; that's correct.
- 24 Q. And you use 21 percent base line in the State of
- 25 Wisconsin; correct?

- 1 A. That is what I recommend. That is what I use,
2 and that is because it is a reasonable
3 approximation of the reasonable base rate for
4 six year re-arrest in various samples.
- 5 Q. Do you know if every state that is using the
6 MnSOST-R uses 21 percent base rate?
- 7 A. I don't know that I know that. I can tell you
8 what I have trained. I cannot tell you what
9 they actually do and I have not been in all
10 states that do these assessments.
- 11 Q. And if there are differences in the base lines
12 that are being used, that could also introduce a
13 margin of error; correct?
- 14 A. In the interpretation there would be differences
15 based on these different base rates and in that
16 sense there would be error across raters on the
17 same case, in theory, in other words the same
18 score, in the interpretation of those scores.
- 19 Q. Generally speaking the lower the base rate, the
20 lower the score for risk of recidivism; correct?
- 21 A. The lower the recidivism risk associated with
22 that score, yes. That statistically will always
23 be the case. To a point. Those have to go
24 together that way.
- 25 Q. Now Exhibit 17, was that taken from

- 1 Dr. Epperson's presentation?
- 2 A. Yes -- one of his presentations, yes. Though I
3 believe this slide is also in the -- I'm
4 virtually certain that the same bar graph is in
5 his web site information.
- 6 Q. And do you generally agree with Dr. Epperson's
7 conclusions in the second slide on Exhibit 17?
- 8 A. Generally.
- 9 Q. The actuarials that we've been talking about
10 primarily look at static factors; correct?
- 11 A. "Static" meaning historical, not able to change,
12 yes. Vast majority of them or all of them, and
13 depending which instrument.
- 14 Q. Although the MnSOST-R does contain a dynamic
15 factor, that being whether the person has
16 completed sex offender treatment?
- 17 A. As well -- that's true, and the dynamic factor
18 of the completion or participation in chemical
19 abuse treatment.
- 20 Q. And I guess if a person was a particular age and
21 they go over a cut off score, potentially that
22 could also change their score?
- 23 A. Yes. If they go from younger than that
24 threshold to over that threshold it would go
25 from a higher to a lower degree of risk, or at

1 least a higher or lower score which, if it
2 changes risk categories, would be a lower degree
3 of risk.

4 Q. Other than the two types of treatment we talked
5 about and age, once a person gets a score on
6 these actuarial instruments, that score is set
7 in stone, essentially.

8 A. Are we talking just the MnSOST Revised or are we
9 talking any of these instruments?

10 Q. Just a general statements about any of these
11 instruments.

12 A. The concept you're describing, that except for a
13 few items, at most, on any given scale that once
14 someone shows a certain degree of risk that they
15 cannot decrease that on the instrument, that
16 concept is correct. There are -- on the
17 STATIC-99 there's one other exception having to
18 do with a significant marital-type relationship
19 of at least two years that the person may not
20 have had previously. That length of
21 relationship, or maybe the term is stability of
22 relationship, would lower risk as well, but
23 outside of the occasional item along those lines
24 your statement is absolutely correct.

25 Q. It's a little bit difficult to have a long term

- 1 stable relationship if you're confined in
2 treatment, though.
- 3 A. If you're confined then it automatically doesn't
4 count on this instrument. On the STATIC-99 --
5 during the time you're confined.
- 6 Q. The STATIC-99, did that look at both charges and
7 convictions in the development sample?
- 8 A. For one item, absolutely. For four items, it's
9 just conviction; and for other items, it's -- I
10 think three other items it's inclusive of
11 convictions and charges and reasonable
12 allegations. The thresholds and type of
13 material varies depending on the item.
- 14 Q. When you say reasonable allegations, that's
15 something that could vary from one evaluator to
16 another?
- 17 A. Yes, that's correct.
- 18 Q. So that is another -- I shouldn't say another.
19 Is that a factor that contributes to the error
20 associated with inter-rater reliability?
- 21 A. That's exactly correct that the issue is whether
22 or not raters actually do score that differently
23 and to what degree and that's built into the
24 issue of inter-rater reliability.
- 25 Q. But a person could get points -- points being

1 bad for the respondent -- because an evaluator
2 looks at what they were charged with, not
3 necessarily what they were convicted of;
4 correct?

5 A. That's correct.

6 Q. And that is also true for the STATIC-99 because
7 you can get points based on charges, not
8 necessarily convictions.

9 A. I thought we were talking the STATIC-99. You
10 were talking about the RRASOR before?

11 Q. MnSOST-R. I apologize.

12 A. I'm sorry, what was your question then?

13 Q. MnSOST-R also gives points based on charges, not
14 necessarily --

15 A. Will consider the information related to charges
16 offenses even if the person was not later
17 convicted, as long as the person was not
18 specifically acquitted. There is that caveat.
19 And yes, then under those circumstances that
20 information does go into the scoring system of
21 some of the items, I believe it's seven of the
22 items out of the sixteen on the MnSOST Revised.

23 Q. Let me go to the STATIC-99. I believe you
24 testified earlier about recidivate equaling
25 re-conviction. I'm not sure exactly what that

1 statement was. That for STATIC-99 recidivism
2 was defined essentially in terms of
3 re-conviction?

4 A. That the percentages attached -- what I was
5 referring to is that the percentages attached to
6 the scores, the total scores on the STATIC-99,
7 are described by the researchers, the
8 developers, as measures of re- -- the percentage
9 of likelihood for re-conviction during the
10 relevant time periods.

11 Q. And re-conviction depends on the different
12 jurisdictions from which the samples were taken?

13 A. To some extent there's going to be
14 jurisdictional difference, at least in theory,
15 in what crimes get charged. And on the other
16 hand, I'm not certain. It may be true, but I am
17 not at all certain that the process, once
18 someone gets charged, of getting convicted of
19 something varies from jurisdiction to
20 jurisdiction. I don't know that's true. The
21 charging process, I've heard enough to believe
22 that that's true.

23 Q. How about the definition of what constitutes a
24 sex offense for the purpose of recidivism?
25 Could that also vary from jurisdiction to

1 jurisdiction?

2 A. The answer to your question is yes. And at the
3 same time the issue in the scoring system, as I
4 understand it, for any of these instruments is
5 to not specifically be looking at the name of a
6 charge, whether it's sexual or otherwise, but to
7 be looking at the underlying behavior from which
8 that charge stemmed. Then, yes, there would
9 still be gradations, but it would not -- in
10 terms of degree of which it was sexual, but it
11 would not be necessarily jurisdictionally based
12 differences.

13 Q. When you talk about looking at the underlying
14 behavior, that's based on interpretation of a
15 record; correct?

16 A. Ultimately that's true. The issue in concept is
17 that people working in this area are obviously
18 familiar, as presuming everyone in this room,
19 that there is a process of plea bargaining or
20 even of deliberately charging something with one
21 aspect of the offense and not necessarily the
22 other because it's simply easier to prove: An
23 assault versus a sexual assault or attempted
24 sexual assault, just go for the assault
25 attempt. So there can be difference as long

- 1 those lines. But when you look at the
2 underlying behavior it can be quite clear that
3 it was sexual in its attempt -- or intent,
4 should say.
- 5 Q. But the interpretation of that could lead to
6 inter-rater variability; correct?
- 7 A. Absolutely correct that it is an issue of
8 inter-rater reliability, of consistency across
9 raters. That if there is a significant
10 difference in those kind of interpretations we
11 would expect wide variability in the scoring
12 system and that, in theory, should have shown up
13 in one of the inter-rater reliability studies.
14 One or more.
- 15 Q. Talking about inter-rater reliability studies
16 for the STATIC-99 and RRASOR?
- 17 A. For those, as well as the MnSOST-R. For any of
18 them.
- 19 Q. And have there been studies done on the
20 inter-rater reliability of the STATIC-99?
- 21 A. Yes. Three. They're in Exhibit number 5,
22 again.
- 23 Q. Okay. Could you please point those out?
- 24 A. On page six in the middle of the page there are
25 three studies under Roman numeral I where it

1 says, Concerning Inter-rater Reliability. Those
2 are of direct tests of inter-rater reliability.
3 I point out that all validity tests are also
4 tests of inter-rater reliability. You can't
5 show something is measuring something
6 appropriately if you can't measure it
7 consistently. That's a statistical property.
8 So if you demonstrate it is measuring what we
9 think it is, then we've also demonstrated it's
10 measuring it consistently enough.

11 Q. And that has not been published; correct?

12 A. What has not been published.

13 Q. The research you were just referring to.

14 A. Those three studies, the Barbaree, et al. study
15 has been submitted for publication. It is the
16 Calvin Langdon dissertation that he defended,
17 and it is the ATSA student paper award winner.
18 The other two studies are just presentations at
19 this point in time.

20 Q. And do you know how inter-rater reliability was
21 measured in each of these three studies you
22 refer to on page six?

23 A. The first two I immediately do know, and I'm
24 trying to remember the third. I believe I
25 remember all three.

- 1 Q. Let me ask you a question about the third
2 article listed there. The author is -- first
3 author is Wong. Talks about inter-rater
4 reliability of violence risk scale.
- 5 A. Yes.
- 6 Q. Is that anywhere related to the three actuarial
7 instruments we're talking about today?
- 8 A. The violence risk scale sex offender version is
9 the STATIC-99 plus twenty items of a dynamic
10 nature measured before treatment and after
11 treatment. And you look at changed of those
12 twenty items from the pre- and post-treatment
13 process. The STATIC-99 serves as the historical
14 set of information that gets included in that
15 instrument.
- 16 Q. So that is a study of the inter-rater
17 reliability of STATIC-99, in addition to these
18 other instruments?
- 19 A. No. It is a study -- the inter-rater
20 reliability study was specifically of the
21 STATIC-99 portion. Period. But it was done
22 within the context of the testing of those
23 instruments, as well.
- 24 Q. Now the study by Barbaree, the first item that's
25 listed there, indicates there was little

1 support -- I'm sorry, a non-significant trend
2 for the MnSOST-R.

3 A. As I mentioned earlier, yes.

4 Q. Is that the reason you listed that under the
5 non-support category for the MnSOST-R?

6 A. The non-support for predictive validity. That
7 is the one study listed there on page nine;
8 That's correct.

9 Q. All right. Let's go back to Exhibits 15 and
10 17. In 15 I believe you testified earlier that
11 the higher the score, the greater the margin of
12 error around that score?

13 A. The wider the confidence interval, which is a
14 measure of error, yes.

15 Q. And the reason for that is because there was an
16 insufficient sample associated with that score?

17 A. I would not consider it accurate to call it an
18 insufficient sample. What is accurate is, one
19 of the reasons for a wider confidence interval
20 is that the sample size of -- the number of
21 people having those scores are far smaller in
22 any given sample compared to the number of
23 people having the lower risk scores. With
24 smaller number of people going into the
25 statistic, we have less confidence that it's a

- 1 narrow range. It becomes a wider range.
- 2 Q. Under MnSOST-R on Exhibit 15, the standard error
3 of measurement is listed as two point three
4 five?
- 5 A. Yes.
- 6 Q. Now is that two point three five in terms of a
7 percentage or is that in terms of the score you
8 would get on the MnSOST-R?
- 9 A. The latter. It's the score you get on the
10 MnSOST-R.
- 11 Q. So, for example, if someone gets a score of
12 eight, that would essentially mean -- I'm going
13 to round down to two instead of two point three
14 five -- it could be six to ten; is that right?
- 15 A. That would be one way to look at that statistic;
16 that's correct.
- 17 Q. Is that an inaccurate way to look at that
18 statistic?
- 19 A. There are caveats to that process, but it's not
20 inaccurate. They're just qualifiers. One
21 qualifier, for instance, is that the most proper
22 interpretation, even within a range, is still in
23 the middle of the range. So if someone's
24 score -- if you score up someone at an eight you
25 can say that because of the standard error of

1 measurement, that inter-rater reliability
2 measure, that other trained people are going to
3 score this person, vast majority of the time,
4 within a range of six to ten. That's the proper
5 interpretation. But in my interpretation of an
6 eight, it's still most appropriate to interpret
7 the eight. All of those things are true.

8 Just to be clear about this error, if I
9 had a score of -- on somebody of plus fifteen
10 and I gave that give or take plus two, all of
11 that's still in the same high risk range. And
12 so this type of error becomes inconsequential in
13 that case. In the situation you're describing,
14 of a plus eight give or take two, then we're
15 changing risk categories. That would make a
16 difference in the confidence I would have behind
17 the interpretation. It does not change the
18 interpretation of what an eight means, but it
19 changes my confidence that that is the number
20 for this fellow -- the degree of risk, I should
21 say.

22 Q. For the MnSOST-R, the category associated with
23 the score of thirteen and above, what is the
24 highest range in that category and how high does
25 that go?

- 1 A. The scale goes up to plus thirty-one. To my
2 knowledge, if I remember correctly, the highest
3 score I've ever seen is a plus twenty-four.
- 4 Q. How about the sample that Epperson used? Do you
5 have an idea of how the distribution goes for
6 that category? Thirteen and above?
- 7 A. It's -- the highest score in the developmental
8 sample was a plus seventeen.
- 9 Q. So essentially the scores for samples who score
10 anywhere between thirteen and seventeen are
11 being averaged to come up with this percentage?
12 Would that be correct?
- 13 A. That's effectively accurate, yes.
- 14 Q. So the person who gets thirteen -- the
15 percentage associated with the score of
16 thirteen, perhaps, may be higher because you're
17 also averaging people whose scores are much
18 higher, who is risks are much higher?
- 19 A. That certainly was part of what Dr. Woodworth
20 had been testifying about when I did hear him,
21 that that was an issue. And on the one hand,
22 technically, I don't have issue with that
23 interpretation. At the same time -- I mean,
24 technically that is an accurate statement, that
25 it could be true.

1 And ultimately I would also point out the
2 numbers are small so percentages are not that
3 exact when you have small numbers of people.
4 They move around. The real issue to me is when
5 we're talking about in that level, is that even
6 if one said that the thirteen should go into the
7 lower category of the eight to twelve, that's
8 still a very high risk category so ultimately in
9 terms of relative to my understanding of state
10 commitment thresholds, such as "likely" in
11 Texas, that it's still going to be clearly above
12 that level. We could debate -- and I don't know
13 if it's worth doing -- the exact percentage. We
14 don't know exact percentage in that sense.
15 There's always those errors. But is it still
16 clearly above threshold? My answer would be
17 yes.

18 Q. But it's difficult for compute exact percentage
19 for the sample in thirteen because there just is
20 not a large enough sample; correct?

21 A. I would pose that with anything the exact
22 percentage is problematic. Because of --

23 Q. Or more exact percentage?

24 A. The more exact. The smaller the number of
25 people involved the more percentages can move

- 1 around by any one or two people and so yes,
2 there is more chance for movement -- again,
3 which is why the confidence interval is wider.
4 It's the same -- we're talking the same thing in
5 different terms.
- 6 Q. Now, when Dr. Woodworth testified he talked
7 about certain statistical means by which you
8 could compensation for a smaller sample. Do you
9 recall his testimony on that?
- 10 A. I did. I do remember that, yes.
- 11 Q. And when you were questioned I believe you
12 indicated you were familiar with the general
13 principles of, for example, the basien analysis?
- 14 A. The general concept of -- actually you asked me
15 a compounded question there, if I remember, of
16 basien, jack knife or --
- 17 Q. Boot strap.
- 18 A. Boot strap. And my answer was, I have a vague
19 conceptual understanding of the first two and
20 some knowledge of the third.
- 21 Q. You don't have any disagreement with
22 Dr. Woodworth that those are statistically valid
23 means for compensating for a small sample size?
- 24 A. I don't have any basis for disagreement. It is
25 not the type of statistics with which I am

- 1 particularly familiar.
- 2 Q. Now, Dr. Hanson recommends that you have a
- 3 sample size of one thousand when you're
- 4 developing these instruments; correct?
- 5 A. He has written that statement.
- 6 Q. And the RRASOR and STATIC-99 sample size is
- 7 greater than a thousand for those; correct?
- 8 A. The developmental samples were, and replications
- 9 have gone way beyond that, yes.
- 10 Q. The developmental sample for the MnSOST-R was
- 11 two hundred fifty six --
- 12 A. Correct.
- 13 Q. -- is that correct? Now there was a cross
- 14 validation done for the MnSOST-R; correct?
- 15 A. That is correct. If you're talking about the
- 16 one that Dr. Epperson did, yes. I mean, there
- 17 have been more than that, but yes.
- 18 Q. It's the one that Dr. Epperson did.
- 19 A. Yes.
- 20 Q. And the cross validation was done on a sample
- 21 size of less than one hundred?
- 22 A. The initial process, yes. But there were more
- 23 people added to it such that it became, I
- 24 believe, close to -- some number around two
- 25 hundred. I don't remember the number.

1 Q. Do you know if the State of California has
2 accepted use of the MnSOST-R in predicting sex
3 offender recidivism?

4 A. I'm presuming by your question when you say the
5 State of California you're asking about whether
6 the evaluators use that, the State- or court-
7 appointed ones?

8 Q. Yes.

9 A. And the answer is no, they do not use it. If
10 you're asking what the courts would accept, I
11 have no idea.

12 Q. I was referring to the evaluators.

13 A. Okay. They are not at this point in time nor
14 have they been, to my knowledge, using the
15 MnSOST Revised in California.

16 Q. When you do evaluations -- and we're talking
17 about evaluations for sex offender recidivism --
18 have you ever recommended that -- or concluded
19 that a particular respondent is not likely to
20 recidivate?

21 A. The terms you're using are difficult for me to
22 respond to. When you're saying "is not likely,"
23 there have been various times when I have said
24 the person does not meet criteria for commitment
25 and quite specifically means that the person's

1 risk is below my understanding of the legal
2 threshold. I can think of one case offhand
3 where my testimony, versus my report, went into
4 more detail where I remember saying specifically
5 that this person was very likely to be --
6 paraphrasing, very likely to be violent, but I
7 had little reason to believe there was much
8 likelihood for sexual violence. But I don't
9 believe I used the words that you were just
10 using.

11 Q. Well, we don't really have an actuarial
12 instrument or test which look at factors that
13 could reduce a person's risk; correct? And I
14 guess I'm talking about dynamic variables.

15 A. Of the three instruments we're talking about,
16 the RRASOR and the STATIC clearly do not have
17 that. The MnSOST Revised, there are items on
18 there that score in the negative direction which
19 means in the lower-than-average degree of
20 recidivism risk direction, including two items
21 that are related to treatment, that with
22 treatment completion lower the person's assessed
23 risk. Of other instruments there are -- such as
24 the one we mentioned in one item, Dr. Wong's
25 work with others, the Violence Risk Scale for

1 Sex Offenders, most of that scale is of dynamic
2 nature. Twenty out of the thirty items. But
3 that's not currently used within the sex
4 offender civil commitment area.

5 The point you're making, however, is that
6 ultimately that's one good reason why we need to
7 look beyond the instruments currently.

8 Q. In fact, Dr. Hanson in his article, Will They Do
9 It Again? Predicting Sex Offense Recidivism, he
10 urges people to develop dynamic variables,
11 actually start looking at things which may lead
12 to a conclusion that this person is not likely
13 to re-offend.

14 A. The concept is called a protective factor versus
15 a risk factor, and he does say that, and I agree
16 with that, though I would expand on what he was
17 saying: That there's just as many reasons to
18 believe that the dynamics factors that represent
19 risk, not just protection. It would go in both
20 directions.

21 Q. Let me show you what I've marked as respondents'
22 Exhibit A. Is that an article that you have
23 reviewed which is written by Dr. Hanson?

24 A. Yes, with some underlines that are not mine, but
25 yes, I have read this.

1 MR. BAL: Respondent offers Exhibit A.

2 MR. THETFORD: Do you have a clean copy?

3 MR. BAL: I do not. That's actually the
4 only copy I've ever gotten.

5 MR. THETFORD: I have absolutely no
6 problems with admitting the article, I would
7 just prefer that it not be an underlined copy.
8 So if we can -- what I we can -- what I will
9 agree to, Greg, is this: We'll agree to send
10 this with her if you will mail a clean copy to
11 her to substitute for the underlined copy.

12 MR. BAL: We'll do that. Thanks.

13 MR. THETFORD: Is that okay?

14 MR. BAL: Yes. No, that's fine. We'll do
15 that.

16 BY MR. BAL:

17 Q. Now, you testified earlier about your opinion
18 regarding what is the relevant scientific
19 community, and interrelated in that is a concept
20 of peer review. When we're talking about peer
21 review I guess the term, "peer" -- is that
22 referring to the relevant scientific community?

23 A. I would think it would be, yes. That people who
24 would serve as the peer reviewers for journals,
25 for instance, would be people who would have had

1 reason to be selected by the editors of those
2 journals as knowledgeable in this area,
3 therefore they're people who had reason, for
4 whatever reason it's been, to have knowledge in
5 the area. So I think it would be highly likely
6 that peer reviewers would be within the same
7 field, yes.

8 Q. And we're talking about journals -- for example
9 Psychology Today, if that were to accept a
10 publication on sex offender recidivism, then you
11 wouldn't have any problem with the publication
12 of that journal?

13 A. If you're asking me would I call that a peer
14 review journal, the answer is no. It's simply a
15 magazine. If you're asking me would it mean
16 that I would automatically discredit the
17 article, no, people can use a variety of places
18 to publish things. I'm not sure what else
19 you're asking me beyond those two possibilities.

20 Q. Well, there are journals which deal solely with
21 forensic psychology or forensic psychiatry;
22 correct?

23 A. Yes there are.

24 Q. There are also journals which deal in the
25 general subject matter of psychology; and are

- 1 not?
- 2 A. Yes there are.
- 3 Q. Limited just to the forensics; correct?
- 4 A. Absolutely correct.
- 5 Q. And if one of the larger journals which is not
6 limited just to forensics accepts an article for
7 publication and then that article is commented
8 upon by psychologists in general, would that be
9 a relevant scientific community?
- 10 A. The community involves people, not a journal.
11 So I need to know, in answer to your question,
12 are you talking about the peer reviewers or the
13 editor of a journal, or anyone who reads the
14 journal? I would have different answers,
15 depending.
- 16 Q. Well, let's talk about the people who review the
17 application, the editors and the reviewers who
18 make the decision to accept a journal (sic).
- 19 A. If we're talking about the editors, the editor
20 of a -- of a journal, but more importantly if
21 we're talking about the people to whom that
22 editor has sent the article or manuscript for
23 review, then it's very likely those people would
24 have reason to have knowledge in this field and
25 that's why they were selected for review. And

1 therefore the peer reviewers are very likely
2 within the field.

3 If we're talking about the editor of a
4 journal I would say, not necessarily the case.
5 Because editors of journals, as you're
6 accurately pointing out, can be dealing with
7 journal material in a whole more general area
8 and their personal specialties may be, in my
9 analogy earlier, child custody, not dealing with
10 sex offenders. So I would not say that all
11 editors of journals would necessarily be within
12 the same field of specialty. And certainly not
13 all readers. I can read journals for a certain
14 article when nothing else in the journal has any
15 meaning to me.

16 Q. Now, the methodology -- the methodology
17 underlying these actuarial instruments has to do
18 with research, design, and statistics to a
19 certain extent; correct?

20 A. To a certain extent, absolutely.

21 Q. A statistician can look at the methodology
22 that's been used to develop these actuarials and
23 provide feedback on whether it's valid
24 statistics or not; correct?

25 A. Certainly a statistician can do that and provide

1 an opinion of -- to whatever degree the person
2 thinks it's valid or not.

3 Q. And if the community of statisticians reviewed
4 these various actuarial instruments and
5 provided feedback, that would be a relevant
6 scientific community; correct?

7 A. I believe they were already included in the
8 description I had, so the answer would be yes.
9 The description I had already stated was that
10 people have reason to have knowledge in the
11 field, in -- specific to this assessment issue.
12 And so what you were just describing were
13 statisticians who would be studying this area
14 enough to be able to state something
15 meaningfully from their own knowledge base.
16 That would not include all statisticians; that
17 would include some.

18 Q. Another class would be psychiatrists who would
19 have an opinion on the use of these actuarials?

20 A. My statement about psychiatrists would be the
21 same as psychologists. There would be some who
22 would clearly be in the field and some who would
23 clearly not.

24 Q. Earlier you mentioned that dissertation is a
25 form of peer review.

- 1 A. In my opinion. It's gone through the process of
2 actually, in a sense, superior review versus
3 peer, at the time. But if we're talking "peer"
4 in terms of a professional review process, the
5 process of defending a dissertation quite
6 typically involves an oral presentation to a
7 committee of four or five, depending on the
8 institution, where the person has to defend
9 their work.
- 10 Q. If a person presents a dissertation and it's
11 accepted and that person gets a Ph.D., that
12 doesn't automatically mean that that subject of
13 the dissertation is generally accepted in that
14 relevant community, despite a fact of a
15 dissertation being accepted.
- 16 A. I'm sorry, the what's not been accepted?
- 17 Q. Just the fact that a dissertation's been
18 accepted does not automatically mean that it has
19 become generally accepted in the scientific
20 community; correct?
- 21 A. Oh, of course not. That would be true with any
22 peer review. Just by something having been peer
23 reviewed does not mean that it's generally
24 accepted in the field. It just means that some
25 set of people who are in that peer category and

1 presumed knowledgeable have accepted that piece
2 of work for a specific purpose.

3 Q. Now Dr. Maskel, you're familiar with her work in
4 the field of risk assessment?

5 A. I'm familiar with what she's testified about and
6 I'm -- occasionally have been familiar with what
7 she has assessed concerning an individual
8 respondent. Otherwise, I am not familiar with
9 work that she has done.

10 Q. Do you consider Dr. Maskel to be part of the
11 relevant scientific community?

12 A. Yes.

13 Q. How about Amy Phenix? Would you consider her to
14 be part of the relevant scientific community?

15 A. Yes.

16 Q. Doctor Steven Hart?

17 A. Yes.

18 Q. Doctor Randy Otto?

19 A. Yes.

20 Q. Doctor --

21 A. Of recent vintage. Approximately the past year,
22 yes.

23 Q. Dr. Terrence Campbell?

24 A. Terrence Campbell? My hesitation is because
25 his stated knowledge, both in his article in the

1 year 2000 and in his testimony, is frankly so
2 incredibly flawed that it's hard for me to
3 believe that he really has knowledge in the
4 field. In concept, he's a member of the field.
5 In terms of whether or not he's really studied
6 the research, I have reason to suspect he has
7 not. So I don't know the answer to that
8 question.

9 Q. You don't agree with his conclusions regarding
10 these actuarial tools; correct?

11 A. No. He has made various statements that are
12 statistically just plainly inaccurate that are
13 the fundamental statements that underlie his
14 arguments so the arguments fall apart very
15 quickly.

16 Q. But he is a psychologist; correct?

17 A. That's my understanding.

18 Q. And he is involved in the discussion of whether
19 these actuarial instruments should or should not
20 be used.

21 A. In the definition that I described earlier, of a
22 person who has reason to have knowledge in this
23 area, then he would fit that definition. And I
24 was raising the question about him in terms of,
25 what he has testified about and what he has

- 1 written about is so full of flaw, so full of
2 error, that I have to wonder about that.
- 3 Q. But he is involved in the discussion; whether
4 you agree with him or not; correct?
- 5 A. In that sense, that's correct.
- 6 Q. And you heard the testimony of Professor
7 Woodworth --
- 8 A. I did on one --
- 9 Q. -- the statistician.
- 10 A. On one occasion, yes I did.
- 11 Q. And would you agree that he is also part of the
12 relevant scientific community?
- 13 A. Yes I would. He has made it a point to learn
14 some of the details about the instrumentation
15 and about the process of risk assessment.
- 16 Q. In fact, when you were on the stand I believe
17 you stated that you really didn't have that many
18 areas of disagreement with Professor Woodworth.
- 19 A. That's correct. I found him to be pretty
20 solidly based in statistical science. We had
21 one disagreement and it's, in a sense, a minor
22 point.
- 23 Q. In the State of Minnesota there is a cut off
24 score on the MnSOST-R of thirteen and above
25 which is considered presumptive for commitment?

- 1 A. That's, in effect, how they use it, yes. When
2 they're doing an assessment within the
3 Department of Corrections to screen for
4 referral, people who have a score of thirteen or
5 higher are basically automatically referred.
- 6 Q. And was Dr. Epperson involved in the development
7 of those standards using MnSOST-R?
- 8 A. I don't know that I know that. I have presumed
9 so, but I really don't know that. In fact,
10 given that it's a policy issue, in effect, he
11 may not have been involved. I don't know that.
- 12 Q. You testified earlier about an actual rate of
13 re-offense. That the actual rate of re-offense
14 may be higher than what the developers of these
15 actuarial instruments used.
- 16 A. The comparison that you're talking about, I
17 believe, is the comparison of actual sexual
18 re-offending rates versus actual sexual
19 re-conviction rates, and yes, there is reason to
20 believe those may not be the same thing.
- 21 Q. When you're talking about re-offense versus
22 re-conviction, you're talking about re-arrest?
- 23 A. No, re-arrest would be a third category and
24 re-imprisonment would be a fourth. Basically
25 re-imprisonment, re-conviction and re-arrest,

1 are all attempts at assessing the reality if we
2 could really watch them all twenty-four hours a
3 day just from a distance to see what they did,
4 kind of re-offense rate. We, as a science,
5 don't get to know about all of the re-offending
6 and so we have ways of using other measurements
7 to approximate that. Re-conviction is the most
8 common. Re-arrest is also somewhat common, and
9 re-imprisonment is actually relatively rare but
10 is used.

11 Q. When you were talking about the actual rate of
12 re-offense -- let's talk about re-offense --
13 you're not taking into account any possible rate
14 of false convictions; are you?

15 A. When I'm talking about re-arrest, I'm talking
16 about -- excuse me, re-offense, the concept I'm
17 using is the reality of what really happens. So
18 it's not about there being a -- someone falsely
19 prosecuted or correctly prosecuted. All the
20 prosecution process is separate from whether or
21 not someone really did something or really
22 didn't. The re-offense rates are an attempt to
23 get at what really happens.

24 Q. But when you're talking about what really
25 happened you talk in terms of adjusting the base

1 rate upwards; correct?

2 A. It is usually thought of that way as compared to
3 re-conviction rates; that's correct.

4 Q. I mean, that's the rationale in one of your
5 papers, at least, I believe the one with
6 Epperson, in which you argue that the base rate
7 should actually be higher.

8 A. I believe the publication you're talking about
9 is my 1998 article, not with Dr. Epperson, but
10 yes, I do talk about that re-conviction rates
11 appear to under estimate re-offense rates. And
12 so there would be an estimation that would go up
13 higher.

14 Q. But the base line, whatever it ends up being,
15 the base rate would not account for false
16 conviction rate; would it?

17 A. If we're talking about re-offense rates -- and
18 again, we're not talking about the process of
19 getting caught or prosecuted or convicted, truly
20 or falsely. That issue only comes into
21 consideration when we're talking about
22 re-conviction rates or re-arrest rates -- then
23 that issue comes into play about whether someone
24 accurately did something or not for which they
25 were convicted, though there's one caveat each

1 to that. When we're counting, in research, the
2 re-conviction rate the issue ultimately is not
3 really, Did the person do the specific offense
4 for which he was re-convicted? The issue is
5 really, Did everyone who was re-convicted do
6 another offense, that one or a different one?
7 Because either way then they are a re-offender,
8 accurately counted.

9 Q. The base line, for example in the MnSOST-R, is
10 that based on re-conviction rate or re-arrest
11 rate?

12 A. Re-arrest rate.

13 Q. How about for the STATIC-99? Is that
14 re-conviction or re-arrest?

15 A. Re-conviction.

16 Q. And for the -- that STATIC-99 base rate, when
17 you're looking at convictions you did not
18 account for incidents in which the person was
19 wrongfully convicted; did it?

20 A. There would be no way of knowing to what degree
21 that was accurately true or not; whether someone
22 was accurate or inaccurately convicted.

23 Likewise, it would not take into consideration
24 those people who were accounted as
25 non-recidivists who actually did re-offend.

- 1 Both errors are not, in that sense, accounted
2 for. That's what happens when you are
3 approximating re-offense with some other thing
4 such as re-conviction. You're adding some
5 movement, some error in both directions. We
6 would measure their true re-offense rate if we
7 could really know it. If we knew how to do it.
- 8 Q. Did you read that in Today's paper, by the way?
9 I forget what it's called in Madison. It was in
10 my hotel. I don't know if you had a chance to
11 read that or not.
- 12 A. I have not read today's paper so whatever you're
13 referring to, I have no idea.
- 14 Q. Well, there was an article about a man in
15 Virginia who was released after eight years in
16 prison because DNA proved that he didn't commit
17 a sexual offense.
- 18 A. And there are such cases. It proved he didn't
19 do that offense. And I'm not saying he did any
20 other. I'm saying it proved he didn't do that
21 offense.
- 22 Q. Are you familiar with proportional hazards
23 regression? It's a statistical term.
- 24 A. I've heard of the concept. I can't say I'm
25 familiar with it.

- 1 Q. Have you ever attempted to replicate another
2 person's research? By replicate I mean
3 duplicate the results by using the same data?
- 4 A. In a sense that's what I did with Dr. Hanson's
5 work, to a point, on those four studies that I
6 mentioned, and then deleted one of those four.
- 7 Q. Another way to replicate someone else's research
8 would be just do your own study; correct?
- 9 A. That's correct. In other words, with your own
10 sample. That's correct.
- 11 Q. Now replication, would you agree, is a -- well,
12 important way in the process for gaining
13 acceptance in the scientific community?
- 14 A. Certainly.
- 15 Q. Are you aware of any studies which have
16 attempted to replicate the STATIC-99 using
17 independent sample? And I mean independent from
18 used by Hanson and Thornton.
- 19 A. Yes. Again, the list of what I am aware of is
20 in that same exhibit we've been describing --
21 number 5, I believe. Yes. All of those that
22 are listed there under either the Inter-rater
23 Reliability or -- in terms of replication of
24 predictive validity, all those that are listed
25 there under that category.

- 1 Q. And are you aware of any that have been
2 published?
- 3 A. I'm looking at Exhibit 5, page six and seven.
4 One has been submitted for publication, one is
5 unpublished, one is the original work so that's
6 not a replication. Original work by Hanson and
7 Thornton. No. They're presentations or
8 submitted for publication -- besides the
9 original work by Hanson and Thornton.
- 10 Q. And what are the years listed for when those
11 studies were done?
- 12 A. The year 2000.
- 13 Q. These are all fairly recent developments;
14 correct?
- 15 A. Yes they are, which helps explain why they've
16 been just at presentation level so far -- or
17 submitted for publication. Publication often
18 takes about two years so --
- 19 Q. So some of them are in the process of being
20 accepted for publication?
- 21 A. Hopefully accepted. At least being reviewed
22 currently, yes, and potentially accepted.
- 23 Q. Well, let me ask a few questions about
24 inter-rater reliability. When you do an
25 evaluation do you initial -- you do an initial

1 assessment based on a paper file?

2 A. That's where I start.

3 Q. Is that your final evaluation?

4 A. In some cases it can end up that way, but that
5 is not the design. The design would be to
6 include at least offering, if not obtaining, an
7 interview; at least attempting to get interviews
8 of other individuals with knowledge of the
9 person that I'm assessing -- under most
10 circumstances, not invariably; and of consulting
11 with another person on whatever issues there
12 remain in the case. In some cases the person
13 turns down the interview, I do not get any
14 collateral interviewing, though I can always
15 manage to get a professional consultation.

16 Q. Now, you have in the past changed your opinion
17 on an assessment, based on additional
18 information which you may get in the case;
19 correct?

20 A. That has occurred.

21 Q. And you've done that --

22 A. I changed opinion, if we're talking about going
23 particularly from a position of, "I cannot offer
24 a position" to something more clear. That's the
25 most typical case in which that has occurred.

1 Q. Have you changed from "I cannot offer an
2 opinion" to "this person is at a risk for
3 recidivating"?

4 A. Yes. A risk that appears to be beyond the
5 threshold as I know it in the law.

6 Q. And in the past have you done that on several
7 occasions in the same case? Several, I mean
8 more than two.

9 A. Have I changed my opinion in that regard? No.
10 I've only changed in my bottom line opinion on
11 one -- in one direction at one time. I have
12 filed multiple addendum reports based on the --
13 what I consider ethical responsibility when
14 either of the attorneys send me information that
15 I supposedly didn't already have, or I come upon
16 additional information of my own doing. I
17 consider myself to have an ethical
18 responsibility to informal parties so that there
19 are no surprises from me in a future hearing.
20 And so I file addendum reports quite regularly
21 when I have additional information beyond what I
22 originally assessed. But in terms of changing
23 my opinion as the bottom line, does the person
24 meet criterion or not in my opinion, I have
25 never gone back and forth. I have gone from the

1 position of saying "does meet" to "I cannot
2 tell," and I have gone from the position of "I
3 cannot tell" to "does meet." Those are in
4 different cases. I can only think of those two,
5 frankly. One each.

6 Q. On the MnSOST-R --

7 A. I'll change that. Two and one. From a "does
8 meet" to a "cannot tell," twice. I'm sorry. Go
9 ahead.

10 Q. So these addendums that you may give out in a
11 case may change the scores on the various
12 actuarials?

13 A. Well, in theory that's true. In none of those
14 cases that I was just describing was the change
15 based on any actuarial information. It was
16 based on clinical adjustment information.

17 MR. BAL: All right, I think that's all I
18 have.

19

20 FURTHER EXAMINATION

21 BY MR. THETFORD:

22 Q. Dr. Doren, I just want to redirect you for just
23 a second.

24 VIDEOGRAPHER: Excuse me. Can we go off
25 the record for just a second?

1 (A recess was taken from 4:06 to
2 4:16 p.m.)

3 BY MR. THETFORD:

4 Q. Dr. Doren, I want to pose a hypothetical for you
5 and see if you can work your way through it.
6 Assume for me that the respondents in this case
7 argue that the MnSOST-R and the STATIC-99 and
8 the RRASOR are not valid to be used in Texas.
9 And the reason that they're not valid to be used
10 in Texas is that the STATIC-99 and the RRASOR
11 are based upon population groups from Canada and
12 the United Kingdom and the MnSOST-R is based
13 upon a population group from the State of
14 Minnesota, and that those population groups are
15 different than the population of incarcerated
16 male sex offenders in the State of Texas. How
17 would you respond to that?

18 A. I would basically disagree. To a point. The
19 issue to me is the amount of research done in
20 general, in terms of replication work, and
21 whether differences between that set of people
22 who have been studied collectively are of
23 relevance compared to the person or people in
24 Texas. I'm going to talk about the individual,
25 rather than Texas, as a single entity because

1 there are differences, of course, among people
2 within Texas. So the respondent -- no matter
3 where the respondent is from, the issue is not
4 whether there are differences. There are always
5 differences. Every individual ultimately can be
6 defined in a unique way. The issue is whether
7 or not the differences -- whether there's reason
8 to believe that the differences are related to
9 what the instruments are assessing.

10 Mentioned earlier, for instance, was the
11 issue of race. To date there does not appear to
12 be any differences among minority or majority
13 set of people for the RRASOR or the STATIC. Not
14 that there have been direct tests; I do not mean
15 to suggest that. I mean in different
16 jurisdictions where there are different types of
17 demographics, it is replicating. Therefore, in
18 that sense there does not appear to be
19 differences.

20 The underlying research to the RRASOR,
21 ultimately coming from the meta-analysis by Drs.
22 Hanson and Bussiere, found that race did not
23 seem to matter. For the MnSOST Revised the
24 original work did not find race to matter. A
25 replication by Dr. Epperson found some degree of

1 difference. If one were to take that in
2 consideration you find that it matters by
3 expanding in a sense -- using this loosely,
4 expanding the confidence interval. By moving
5 the interpretation, in other words, a little
6 bit. One can take that into consideration. One
7 doesn't have to ignore that piece of
8 information.

9 If we're talking about a different
10 characteristic, whether the person is
11 pedophilic, I have every reason to believe that
12 in a whole variety of the studies for these
13 instruments there are also a substantial number
14 of pedophiles, while not everyone was. So that
15 would not be a differentiating characteristic.

16 If the person were female, I would
17 immediately say the instruments don't apply --
18 at least we don't know them to apply. And so it
19 would depend on what the characteristic is or
20 characteristics are that differentiates that
21 individual, how far I would go in taking the
22 instruments to apply them or say right up front,
23 they don't apply, and then how I interpret the
24 information. If it's a mixed review I have to
25 take that in consideration.

1 MR. THETFORD: I'll pass the witness.

2 MR. BAL: I'll ask a couple of follow up
3 questions.

4

5 FURTHER EXAMINATION

6 BY MR. BAL:

7 Q. You talked about some differences between races
8 in the MnSOST-R, or at least what Dr. Epperson
9 found.

10 A. In two different studies. One study where he
11 found differences, one study he did not.

12 Q. The study where he found differences, he
13 actually found that minorities re-offend at a
14 lower rate than non-minorities; correct?

15 A. Are you talking about the overall base rate,
16 now, or per certain score categories? I don't
17 know the answer to the first part of that.
18 Actually, I'm not certain of the answer
19 overall.

20 Q. Okay. If there are differences between
21 minorities and non-minorities, isn't that
22 something that should be looked at by the
23 evaluator, as well as the developer of these
24 actuarials?

25 A. To the extent that there is reason to believe

1 that any characteristic, including race, race
2 just being an example, is of relevance to the
3 specific instrument in its interpretation, then
4 all evaluators should be looking at that issue
5 when applying it to someone where that matters.
6 So for instance, if I were applying the MnSOST
7 Revised to a person of a minority race, then I
8 would need to take that finding into
9 consideration in my interpretation. On the
10 other hand, if I were using that same
11 characteristic for the RRASOR or the STATIC,
12 then I don't know that this would have any
13 applicable meaning, the issue of race.

14 If I were taking a different
15 characteristic, someone's being homosexual, I
16 don't have reason to believe that the simple
17 fact someone is homosexual affects the out come
18 of the instruments one way or another so that
19 would not be a characteristic that would move my
20 interpretation.

21 Q. The base rates for different types of sex
22 offenders, for example rapists versus
23 extra-familial, are those different depending on
24 the type of sex offense you're talking about?

25 A. In the article that I published in 1998, I did

1 put together an analysis that resulted in the
2 suggestion that extra-familial child molesters
3 have higher lifetime re-offense rates than
4 rapists of adult women. The research that's
5 come out since, from Dr. Thornton, of the
6 sixteen to nineteen year follow up of
7 re-conviction rates indicates that if there's a
8 difference at all, it may be in the other
9 direction where rapists of adult women may have
10 higher recidivism rates -- at least they did in
11 that sample of higher re-conviction rates;
12 that's just factual -- than extra-familial child
13 molesters. And both those groups, by the way,
14 are much higher than incest offenders. And it
15 may very well be that we don't have enough data
16 to draw a clear conclusion in that regard, and
17 it may be that Dr. Kim English, E-N-G-L-I-S-H,
18 has the proper interpretation of all of this.
19 Her statement about this is that the categories
20 of rapist versus child molesters are very
21 misleading because a number of sex offenders
22 actually cross over in the age of
23 victimization -- age of victims that they have
24 and so these are simply categories for what
25 we've caught them for, but not necessarily of

1 what they do. And therefore there would be no
2 difference because they are overlapping
3 categories. I don't know the answer beyond what
4 I just told you.

5 Q. So there may be differences or there may not be?

6 A. In the long term lifetime re-offense rates there
7 may be differences and there may not be.

8 Q. And if there are differences then that's another
9 error factor that you may have to account for.

10 A. I would not call it an error factor, but it is
11 something that would need to be taken into
12 consideration in -- to the extent that one is
13 doing a clinical adjustment beyond the
14 actuarials. The actuarials were developed with
15 a certain type of measurement of sexual
16 re-offending and ultimately certain base rate
17 for that. Certain average rate for that
18 occurring. When you expand beyond what they're
19 measuring you're expanding the base rate.
20 You're increasing it. That's where the question
21 would come is, By how much should I do that?
22 And that's where that question comes in and
23 ultimately there is some degree of not -- not
24 known about that. Unknown about that.

25 MR. BAL: Okay, that's all I have.

1 MR. THETFORD: That's it.

2 (At the hour of 4:26 p.m. the deposition
3 was concluded.)

4

5

Dennis Doren, Ph.D.

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 STATE OF)
) SS.
2 WISCONSIN)

3 BE IT KNOWN that the foregoing
4 deposition was taken before me, KAREN BLAIR, a Notary
5 Public in and for the State of Wisconsin; that the
6 witness before testifying was duly sworn by me to
7 testify to the whole truth; that the questions
8 propounded to the witness and the answers of the
9 witness thereto were taken down by me in shorthand and
10 thereafter reduced to typewriting under my direction;
11 that the transcript was presented to the witness to
12 read and sign; that the foregoing 230 pages constitute a
13 true and accurate transcript of all proceedings had upon
14 the taking of said deposition, all done to the best of
15 my skill and ability.

16 I FURTHER CERTIFY that I am in no way
17 related to any of the parties hereto nor am I in any
18 way interested in the outcome hereof.

19 DATED at Madison, Wisconsin, this 27th
20 day of February, 2001.

21

22

KAREN BLAIR, CSR, RPR
Court Reporter

23

24 My commission expires:

25 August 6, 2004

