

1 IN THE IOWA DISTRICT COURT FOR POLK COUNTY

2

In Re The Detention of)	
)	NO. CL 86949
STEVEN HOWELL,)	
)	TRANSCRIPT OF TESTIMONY
Defendant.)	OF DR. DENNIS DOREN

5

6

Trial in the above matter came on before the
Honorable Glenn E. Pille, Judge of the Fifth Judicial
District of Iowa, commencing on the 29th day of October,
2001. The testimony of Dr. Doren was heard commencing at
9:10 a.m. on the 30th day of October, 2001, at the Polk
County Courthouse, Des Moines, Polk County, Iowa.

12

APPEARANCES

13

State by:	ANDREW B. PROSSER
	Assistant Attorney General
	Area Prosecutions Division
	Hoover State Office Building
	Des Moines, IA 50319

16

Respondent by:	GREG BAL
	State Public Defender's Office
	Civil Commitment Unit
	Lucas State Office Building
	Des Moines, IA 50319

19

20

21

22

Teresa A. Kordick, RDR, CRR
Certified Shorthand Reporter
Polk County Courthouse
500 Mulberry Street
Des Moines, IA 50309
(515) 286-3901

23

24

25

1	INDEX		
2	STATE'S WITNESS		PAGE
3	Dr. Dennis Doren		
4	Direct Examination by Mr. Prosser		3
5	Cross-Examination by Mr. Bal		56
6	Redirect Examination by Mr. Prosser		134
7	Recross-Examination by Mr. Bal		148
8	PETITIONER'S EXHIBITS	OFFERED	RECEIVED
9	4 - C.V. of Dr. Doren	5	5
10	7 - Dr. Doren's Credential Information	5	5
11	RESPONDENT'S EXHIBITS		
12	A - Barbaree article	142	142
13	F - Diagnostic criteria	134	134
14			
15			
16			
17			
18			
19			
20			
21			
22			
23			
24			
25			

1 PROCEEDINGS

2 (The following record was made commencing at
3 9:10 a.m. on the 30th day of October, 2001.)

4 MR. PROSSER: I would like to call Dr. Dennis
5 Doren.

6 MR. BAL: Your Honor, if I may, yesterday we
7 mentioned we had sequestered all witnesses. Today we do
8 have Dr. Rogers who is sitting in the courtroom, and my
9 understanding is Mr. Prosser does have no objection to him
10 being present.

11 MR. PROSSER: I do not, with the condition that
12 Dr. Doren may also sit in the courtroom during Dr. Rogers'
13 testimony.

14 THE COURT: Certainly.

15 DR. DENNIS DOREN,
16 called as a witness, being first duly sworn by the Court,
17 was examined and testified as follows:

18 DIRECT EXAMINATION

19 BY MR. PROSSER:

20 Q. Could you please state your full name and spell
21 your last name for the record.

22 A. Yes. My name is Dennis Doren, D-o-r-e-n.

23 Q. How are you employed, sir?

24 A. I'm employed on a part-time basis for the State of
25 Wisconsin in a forensic hospital. Basically the title I

1 have is evaluation director, meaning that I supervise the
2 precommitment and postcommitment reexaminations in Wisconsin
3 for sex offenders facing a civil commitment or who are
4 already committed. I'm also in private practice as a
5 psychologist licensed in Wisconsin with a permit to practice
6 psychology in Iowa and Washington.

7 Q. Sir, in advance of today, have you provided me
8 with copies of your curriculum vitae as well as copies of a
9 document which you have entitled Credential Information
10 Concerning Sex Offender Civil Commitment Evaluations?

11 A. Yes, I have.

12 MR. PROSSER: Your Honor, I previously provided
13 copies to counsel of what I previously marked as
14 Petitioner's Exhibits 4 and 7, which I'm now handing to the
15 witness.

16 Q. Dr. Doren, what is Exhibit No. 4?

17 A. No. 4 is actually two documents. The first part
18 of it, the first 16 pages, are -- constitute my general
19 vitae, my general credentials as a psychologist and what
20 practice I have done, training, et cetera. The second part
21 of that document, the remaining 14 pages, is an update of
22 what I just referred to as my credential information. It's
23 basically specific to my work with sex offenders or
24 involving sex offending.

25 The document for the update that is attached

1 was -- is listed as updated from July 31 of 2001. I just
2 moments ago gave you the update that's now Petitioner's
3 Exhibit No. 7. That's the same type of -- second part of
4 that document, the credential information specific to sex
5 offender work. This update was from October 10, 2001.

6 Q. Do these documents fairly and accurately reflect
7 both your professional qualifications, educational
8 background, research, writing and professional involvement?

9 A. Yes. The general vitae, the first part of
10 Exhibit 4, is inclusive of work that I've done involving sex
11 offenders, but also a lot of other work that I've done which
12 the Court may not consider as relevant to the hearing today.

13 The second part of document 4 or the whole part of
14 Exhibit 7 is more specific and inclusive of my work
15 involving sex offenders and their assessments.

16 MR. PROSSER: Your Honor, at this time we would
17 offer Petitioner's Exhibits 4 and 7.

18 MR. BAL: No objections.

19 THE COURT: The Court receives Exhibits 4 and 7.

20 Q. Doctor, I just want to go over, even though your
21 vitae and the other document have been admitted, I would
22 like to go over just briefly some of your professional
23 background and qualifications. What exactly is your
24 educational background?

25 A. I received a doctorate of philosophy in

1 psychology, specifically clinical psychology, that occurring
2 in 1983 from Florida State University. Previous to that I
3 had a master's in psychology from Bucknell University. That
4 was in 1978. And previous to that was a bachelor's in
5 psychology from State University of New York at Buffalo.
6 That was in 1975.

7 Q. What specific training, if any, do you have in the
8 area of evaluating persons who are being considered for
9 potential commitment and sexually violent persons or
10 predators?

11 A. What training have I received?

12 Q. Yes, sir.

13 A. Well, quite a bit. Let me summarize some of the
14 highlights. And then if you wish more, I can answer that.

15 Mainly the set of trainings that I received was
16 from an organization called Wisconsin Sex Offender Treatment
17 Network. The details of all of that is in Exhibit 7 as well
18 as the second part of Exhibit 4.

19 But briefly, starting in July of 1994, I attended
20 two full days of training each month from July through
21 December of 1994 from that organization. The people doing
22 those trainings were, besides the training director from
23 that organization, were people who were in my view
24 internationally known for their work in assessment or
25 treatment with sex offenders, people who had been well-

1 published and won awards. These were, from my view, the
2 names in the field.

3 Additionally, beyond December of 1994, I received
4 more training from that organization that would approximate
5 about twice a year through maybe two years ago; two, maybe
6 three. I don't recall exactly in that regard. Again, the
7 people doing the trainings were people who were doing work
8 in the field, the people doing the research or doing the
9 treatment in the field.

10 I have also, besides that, attended national,
11 international conferences where people have presented
12 concerning clinical and assessment -- clinical practice
13 treatment, as I'm referring to, treatment and assessment
14 findings relative to sex offenders. That's the bulk of it.

15 Q. I see in Exhibit 7 under Roman V, a category
16 called training given specific to and relevant to sex
17 offender. Are those trainings that you actually gave or
18 those that you received?

19 A. In Roman Numeral V starting on page 3 of that
20 document, those are what I have given. What I was
21 summarizing in answer to your previous question comes from
22 Roman Numeral III earlier in the document.

23 Q. So you have been a speaker or a lecturer on the
24 subject of or subject related to sex offender civil
25 commitment actions on more than 50 occasions, is that

1 correct? It's A through ZZ?

2 A. Right.

3 Q. All right.

4 A. Yes, I've done presentations either at
5 conferences, or more typically invited to do a training
6 specifically for certain individuals, those trainings being
7 anywhere from an hour and a half through a full day or more.

8 Q. I also see in section Roman VII of Exhibit 7 a
9 number of publications of which you've been an author or
10 co-author relevant to civil commitment in sex offender
11 cases.

12 A. I think you mean Roman Numeral VI on page 7.

13 Q. Excuse me. I do mean that. Are all those
14 relevant to sex offender recidivism assessment or sex
15 offender civil commitment?

16 A. Those listed in Exhibit 7, yes, those are ones
17 that are specific to work with sex offenders, their
18 assessment, in some way.

19 Q. Have you been the author of a book or any portions
20 of a book relevant to civil commitment of sexually violent
21 predators?

22 A. I have a book chapter that is currently in press
23 in a volume to be entitled Sex Offender, Volume 4, edited by
24 Barbara Schwartz. And I also have a book that I've
25 completed that is also currently in press at the publisher.

1 That book is -- the chapter is specific to risk assessment.
2 The book that I've completed is on the process of doing --
3 basically a manual for doing sex offender civil commitment
4 evaluations. I've also completed a booklet at the request
5 of the Board of the Association for the Treatment of Sexual
6 Abusers, ATSA, A-T-S-A, specific to the risk assessment
7 portion of sex offender civil commitment work.

8 Q. What has your role been with the state of Iowa in
9 its application of Chapter 229A?

10 A. Since the summer of three years ago, so 1998, I
11 believe, I have been hired by the attorney general's office
12 from Iowa to do assessments of people who are referred to me
13 relative to Chapter 229A specifically.

14 Q. Have you been consulted by any other states or
15 prosecution offices of other states for the same purpose,
16 namely assessment of persons under consideration for civil
17 commitment as sexually violent persons or predators?

18 A. Yes, quite a few.

19 Q. How many other states have you done such
20 consultation work with?

21 A. By consultation you mean specifically doing an
22 evaluation? Because consultation to me is more inclusive.

23 Q. Well, let's break it down, then. How many have
24 you done specific assessments of persons being considered
25 for commitment?

1 A. I believe that would be seven states of the
2 fifteen that currently have active sex offender civil
3 commitment laws that I've done one or more assessments in
4 that state.

5 Q. Have you ever attempted to or actually calculated
6 on a percentage basis the number of persons who you've
7 reviewed who you ultimately conclude do meet criteria or
8 don't meet criteria just on a statistical or numbers basis?

9 A. Yes. I've done that both within Wisconsin and for
10 all of my work outside of Wisconsin, breaking that down
11 actually into multiple subcategories.

12 Q. Could you summarize those for us, please.

13 A. Yes. Basically what I'm going to be summarizing
14 is on page 9 of Exhibit 7, Roman Numeral VII and VIII.
15 Since my work began in Wisconsin, that's where I still am
16 doing a good portion of my work, that I separated out
17 Wisconsin first. Wisconsin's law that's very similar to
18 Iowa's Chapter 229A is Chapter 980. So that's what Roman
19 Numeral VII summarizes.

20 And I break into three categories. Those cases
21 that I assess that were pre-petition, potentially to make a
22 referral to a prosecutor or not; cases that were already
23 referred by somebody else and probable cause had already
24 been found, so petition had already been filed, probable
25 cause had been found before I ever knew of the case; and

1 then cases of people who had been committed and then were
2 reexamined about whether or not they still met criteria or
3 potentially were eligible for supervised release.

4 And the percentages I have at the end of each of
5 those lines are the percentage of people that I assessed
6 that I was recommending commitment, in other words, found
7 met criteria, in my opinion.

8 The Roman Numeral VIII summarizes for the other
9 states in which I have done one or more assessments. The
10 breakdown into three categories is similar to but not
11 exactly the same as the breakdown for Wisconsin in that in
12 no state am I part of the process at this point in time in
13 doing a pre-petition evaluation that has never been screened
14 before. So in all the cases that I've seen pre-petition
15 outside of Wisconsin, there's at least been a recommendation
16 by some individual or group. Iowa would have a
17 multidisciplinary team that has recommended the petition.
18 But this would still be pre-petition.

19 Q. All right.

20 A. And again, percentages are listed for each of
21 those three categories.

22 Q. So by no means do you end up with the opinion that
23 pretty much everybody who you see you recommend for
24 commitment. I mean, I just want --

25 A. I certainly don't believe everyone I see, even

1 those who have gone through probable cause, in my opinion,
2 meet criteria. That's not true. On average, those have
3 already gone through probable cause, putting all of this
4 together, would be one out of three I agree with. One out
5 of four I don't.

6 Q. In how many instances have you testified as an
7 expert in any court proceeding, and I'm excluding
8 depositions in this question, on the issue of potential
9 commitment as a sexually violent predator, in any court?

10 A. Somewhere around 80, depending on which things you
11 count. That would not include depositions or motion
12 hearings.

13 Q. Well, then let's talk about what process you
14 generally follow in reaching an opinion about whether a
15 person meets the standards set forth in the statute for
16 civil commitment. What general process do you follow in
17 Iowa when you engage in such an assessment?

18 A. The first step in Iowa and anywhere else for me is
19 to obtain a copy of records concerning the individual.
20 Quite typically these include records that are developed by
21 the Department of Corrections, whether it's Iowa or
22 elsewhere. But from now on, to answer the question, I'll
23 just stick to Iowa. The Department of Corrections.

24 But it also includes potentially transcripts from
25 hearings, whether it be sentencing hearings or actual trial

1 proceedings. It would also often include -- in fact, Iowa
2 quite typically includes information from prosecutors'
3 files. Typically that does overlap the Department of
4 Corrections records. But typically there's other pieces of
5 information in those files as well.

6 On a regular basis I don't know if there's other
7 sources of paper reviewed, until eventually there could be a
8 review of the deposition of the individual, in this case
9 respondent. But that would not necessarily -- the review of
10 the deposition would not necessarily be a part of my initial
11 process. Usually that has not yet occurred.

12 In addition to reviewing paper, then, I will
13 typically have telephone contact and sometimes face-to-face
14 contact with other individuals. Telephone contact would
15 involve other people who are familiar with the individual in
16 some capacity, whether it be because they had a significant
17 relationship with the individual, and sometimes it would
18 involve persons who were victims or alleged victims of the
19 individual. And sometimes I will have either phone or
20 face-to-face contact with other professionals for a
21 professional consultation.

22 In addition to that process of gathering
23 information separate from the individual, I always offer an
24 interview of a nonconfidential nature to the individual whom
25 I'm evaluating, and in Iowa quite regularly do have such an

1 interview with the individual.

2 Then with all of that information, I apply what I
3 consider to be standard procedures for the diagnosis of the
4 individual, as well as scoring the individual on certain
5 instruments and one psychological test.

6 I think I answered your question.

7 Q. Okay. And this is all a part of an initial
8 opinion or is that the process in its entirety?

9 A. I was answering the question in its entirety.

10 Q. Okay. And then you reach what opinions? Or let
11 me ask that differently. What question or questions are you
12 seeking to answer in Iowa when you do one of these
13 evaluations?

14 A. There are two considerations relevant, as I
15 understand Chapter 229A, for the evaluator, knowing that
16 there's also other issues for someone to meet criteria for
17 commitment, but two that the evaluator can add information
18 about. One of those is the concept that the law caused
19 mental abnormality. Putting it in the language I would use
20 more generally, I would need to come to a diagnosis. And I
21 would have to examine whatever diagnoses I came to to the
22 issue brought into the law -- or stated in the law about
23 whether or not any of those diagnosed conditions
24 specifically predisposes the individual to commit certain
25 sexual acts in the future if not in a secure environment.

1 The second thing that I need to look at is the
2 degree of risk that the individual represents for doing
3 certain types of sexual offenses. Specifically, as I
4 understand Chapter 229A, it is a standard of risk that is
5 defined as more likely than not, with no specified time
6 period that the person will do one or more of certain acts.

7 Q. So in even briefer summary, then, you were looking
8 for whether the person has a mental abnormality and also
9 whether they're likely to re-offend, commit another sexually
10 violent offense if not confined in a secure facility?

11 A. Yes, more likely than not.

12 Q. Well, let's turn our attention even more
13 specifically to Mr. Howell. Did you, in fact, receive and
14 review documents concerning Mr. Howell?

15 A. Yes.

16 Q. Did you receive the kinds of documents which you
17 generally described a moment ago in your testimony?

18 A. Yes. I received documents that were similar in
19 type and content that I've seen before from the Department
20 of Corrections here and elsewhere. In addition, there was
21 some information of the other types I mentioned.

22 Q. Court records?

23 A. Yes.

24 Q. Trial transcripts?

25 A. Yes.

1 Q. Were there also included in the Department of
2 Corrections things like psychological evaluations?

3 A. Yes.

4 Q. All right. Presentence investigation reports?

5 A. Yes.

6 Q. Any disciplinary reports, that sort of thing? I
7 don't know whether Mr. Howell had any, but would those be
8 the kinds of things that might exist?

9 A. That's correct.

10 Q. Are those the kinds of documents which experts in
11 your field reasonably and regularly rely upon in reaching
12 opinions of this nature?

13 A. In my opinion, yes, it's quite standard.

14 Q. Why are they necessary? Why do you look at them?
15 What are you looking for?

16 A. Both for the issue of addressing the diagnosis of
17 the individual as well as looking at his risk, one needs to
18 look at his life history, basically.

19 Q. Even outside the area of sexually violent predator
20 commitment, is it normal or routine for psychologists to go
21 back through documentary history when diagnosing and/or
22 treating patients?

23 A. Under most circumstances, the answer would be yes.
24 There's some circumstances where just the most current
25 information is relevant.

1 Q. All right. So after reviewing Mr. Howell's
2 documents, what did you do?

3 A. The specific procedures?

4 Q. Yes, sir.

5 A. I had a series of telephone conversations with
6 people who were victims or alleged victims of Mr. Howell, as
7 well as his ex-wife. I had initially, anyway, I had four
8 different telephone conversations each with a different
9 individual. One individual was Michelle Dickson. One
10 individual was Carrie Fatino. One individual was Jamie
11 Kiefer. And then Mr. Howell's ex-wife, maiden name Deanna
12 Carlson.

13 Q. More recently did you make another contact
14 following a telephone call that you and I had?

15 A. Yes, I had one other contact with a person I guess
16 would be best described as an alleged victim, a person by
17 the name of Teresa Stratton or Teresa Ryan, R-y-a-n.

18 Q. Why did you make these in-person contacts?

19 A. Quite specifically, I was looking for certain
20 information relative to a diagnosis that in my initial
21 review of the records was left open as a distinct
22 possibility but not clear enough for me that it applied to
23 Mr. Howell, a condition that generically is a sexual
24 disorder, technically is called paraphilia, not otherwise
25 specified, nonconsent. Basically it's a sexual disorder

1 where the person is sexually aroused by the nonconsenting in
2 directions by others. And what I wanted to find out from
3 the victims or alleged victims was specifically types of
4 things that Mr. Howell said or did during the attacks or
5 alleged attacks that would help me differentiate whether or
6 not he had that diagnosis. I also spoke with his ex-wife,
7 both for that reason as well as other reasons.

8 Q. All right. Based upon these contacts, your review
9 of the records, as well as your training and experience,
10 were you able to formulate an opinion to a reasonable degree
11 of professional certainty as to the diagnosis of any mental
12 abnormality as that term is defined under Chapter 229A of
13 the Code?

14 A. In my opinion, yes, I did.

15 Q. What diagnosis did you make?

16 A. The diagnosis that I made was -- it's called
17 antisocial personality disorder.

18 Q. What is antisocial personality disorder?

19 A. Basically any personality disorder that is a
20 longstanding, chronic, mal-adaptive pattern of behavior
21 and/or inner experience that interferes with the person's
22 social functioning. Specifically antisocial personality
23 disorder involves a pattern that for that type of
24 personality disorder is described as disregard for and
25 violation of the rights of others.

1 Q. How is it that we could see in some real world
2 examples this disorder which, in your opinion, Mr. Howell
3 has? In other words, are there -- how would we be able to
4 see it as nonpsychologists? What would we look for in his
5 past?

6 A. Well, the kinds of things one would note is a
7 legal infraction history of a variety of types of legal
8 infractions. It would be going back into adolescence, at
9 least in some earlier forms, not necessarily something that
10 brought him in face with the law, but things that were still
11 illegal actions in a technical sense.

12 In addition, one could also look at the type of
13 relationships that the individual has had over his lifetime
14 and look at the way in which he treated those individuals.
15 Did he show disregard for their welfare in some important
16 ways?

17 Q. And did Mr. Howell? I guess that's my question,
18 is what specifically did you see in Mr. Howell's past that
19 enabled you to reach the opinion that you did about
20 antisocial personality?

21 A. Well, there are a number of different
22 characteristics. One of those, as I mentioned, is legal
23 history. He has been involved in legal infractions that
24 made it to the level of being recorded somewhere since age
25 19, when that was just a possession of drugs offense.

1 But he's had numerous different offenses since
2 then, for which he was at least arrested, some of which for
3 which he was convicted, some not, going from age 19, age 20,
4 age 22, age 23, some things at age 24, age 30, age 32, age
5 33, another at age 33, another age 40. So it's been a
6 relatively consistent pattern. Some of those years he was
7 incarcerated at the end of that.

8 These were of a variety of different types of
9 offenses ranging from property crimes to personal crimes.

10 Q. Yesterday Mr. Howell testified -- and this is not
11 verbatim, so you shouldn't take it this way, but basically
12 he testified that some of his actions in the past were as a
13 result of selfishness, lack of concern over how his actions
14 might affect the victims or others. Is that consistent or
15 inconsistent with the diagnosis, in your opinion, of
16 antisocial personality disorder?

17 A. That would sound like a pretty consistent
18 statement, an accurate reflection of what I did assess.

19 Q. All right. There's been -- this is not covered in
20 your report, but there's been some suggestion so far in this
21 trial that alcoholism or alcohol abuse may have at least, in
22 Mr. Howell's opinion, played a role in some of his
23 offending. Do you have any opinion about that?

24 A. The formal diagnostic conclusion I came to is
25 listed in my report as rule out, R slash O, alcohol abuse.

1 What that means is that in my opinion there was significant
2 information to indicate that he does or has exhibited the
3 symptoms of that disorder, but I was not certain to a
4 sufficient degree to diagnose it in a more formal way. So
5 officially I did not diagnose it. But I basically made note
6 that it may very well be an accurate representation of his
7 symptoms.

8 Q. And how, if you have an opinion, would alcohol
9 abuse relate to his sex offending as opposed to the
10 antisocial personality disorder?

11 A. Do you mean historically or potentially in the
12 future?

13 Q. Well, either, if there's a distinction.

14 A. I have slightly different answers.

15 Q. Okay. Let's hear them both.

16 A. Historically, the record indicates as well as
17 Mr. Howell has indicated that he was drinking -- had been
18 drinking before each of the offenses or alleged offenses.
19 And alcohol in general, including by again his own
20 statements to me during an interview would agree with this,
21 it serves as what he referred to and I would agree with as a
22 disinhibitor. It's something that makes it easier for
23 someone to do something that they might otherwise not be
24 doing, or at least would find it more anxiety-provoking to
25 do it. In that sense, it was potentially -- his alcohol use

1 was potentially a facilitator, allowing him to do those
2 actions.

3 From a risk perspective, assessment into the
4 future, however, a history of alcohol abuse, even if
5 diagnosed, does not necessarily mean higher risk. However,
6 the process of then going back to drinking then becomes the
7 risk factor.

8 Q. All right. But do you see alcohol abuse or
9 alcoholism as a, quote, mental abnormality as that phrase is
10 defined under 229A?

11 A. Even if I were to fully diagnose the condition for
12 Mr. Howell, I would not see it meeting the full set of
13 definition that's offered in the statute, as I understand
14 it, for mental abnormality. It clearly is a diagnosed
15 condition and would meet the first part, in my opinion, of
16 mental abnormality as an acquired or congenital condition
17 affecting the emotional or volitional capacity.

18 On the other hand, the second part of that phrase,
19 that predisposes the individual to commit sexually violent
20 acts if not confined in a secure facility, I don't see that
21 alcohol abuse by itself specifically predisposes him to
22 commit sexually violent acts. It may very well, and I have
23 little doubt, served for him as a facilitator or
24 disinhibitor. But to say in my opinion that it predisposes
25 him would be to say that in effect it is what drives him and

1 the idea would not even be in his head, in other words, and
2 would certainly not be in his actions if he were not
3 drinking. I do not find that to be accurate, in my opinion.

4 Q. Then let's turn to antisocial personality
5 disorder. Is that condition, in your opinion, acquired or
6 congenital?

7 A. Yes.

8 Q. What do acquired or congenital mean?

9 A. Congenital basically is inborn. Acquired is
10 something that developed after that time.

11 Q. So in your opinion, either he was born antisocial
12 or he picked it up somewhere along the way?

13 A. Or a mixture of both.

14 Q. Or a mixture. All right. Does that condition
15 affect his emotional or volitional capacity or control?

16 A. Emotional or volitional capacity, you said, or
17 control?

18 Q. Well, let me get the words right.

19 A. Yeah, "or control" is not part of the statute.

20 Q. Emotional or volitional capacity. Strike control.

21 A. In my opinion, yes.

22 Q. How does Mr. Howell's antisocial personality
23 disorder affect his emotional or volitional capacity, in
24 your opinion?

25 A. The issue is that he has a personality that

1 we're -- that I'm calling antisocial personality, but he has
2 a personality style or way of interacting with the world
3 that shows disregard for and violation of the rights of
4 others. His description of being selfish is a meaningful
5 concept that fits within that. The concept of antisocial
6 personality disorder does not, in my opinion, always
7 constitute a mental disorder. But in his case I believe it
8 does affect his emotional and volitional capacity.

9 Q. Volitional capacity being what?

10 A. Important question. The statute, as I read it,
11 offers no definition. My working definition as an evaluator
12 of volitional capacity is the process by which somebody
13 makes decisions.

14 Q. All right. And how would then his antisocial
15 personality disorder affect his process of making decisions,
16 in your opinion?

17 A. There are two different possible ways. One of
18 those is that it affects the choices which he would consider
19 at any given point. The options at any given situation
20 could be limited in a way that reflects his own desires and
21 not reflects potential consequences to himself or others.

22 A different one which I consider to be more
23 accurate relative to Mr. Howell is that the way in which he
24 experiences fear or experiences the -- I'm trying to figure
25 out how to say this. In a decision process we consider

1 different options based on their meaning to us. And
2 sometimes something can be very meaningful because it's very
3 positive and it outweighs the other things, including for
4 its consequences, weighed just fine -- just in a positive
5 way. Sometimes we do a process that is inaccurate or
6 unrealistic in weighing because we don't take things into
7 consideration that most of the rest of us would experience
8 as involving fear or involving a negative consequence. It
9 is that that I consider to be the impairment for
10 Mr. Howell's volitional capacity.

11 Q. And is Mr. Howell's antisocial personality
12 disorder -- or I should say, does it predispose him, in your
13 opinion, to commit sexually violent offenses to a degree
14 which would constitute a menace to the health and safety of
15 others?

16 A. In my opinion, yes.

17 Q. And how would that be so in his case?

18 A. Again, the issue of a personality disorder in
19 general or specifically antisocial personality disorder does
20 not, in my opinion, necessarily predispose someone to commit
21 sexually violent acts. In fact, there are numerous people
22 with antisocial personality disorder who to my knowledge
23 never commit a sexual criminal act of any type, violent or
24 otherwise.

25 In Mr. Howell's case, however, his sexual

1 offending was apparently repetitive and within a far larger
2 pattern of antisocial acts. So for him, his pattern of
3 repetitive, different criminal acts includes sexual violent
4 acts and therefore, in my opinion, predisposes him.

5 Q. Let's turn our attention now to the second
6 question, then, of the risk assessment. Based upon your
7 record review, your interview with the respondent, your
8 training and experience, were you able to reach an opinion
9 to a reasonable degree of professional certainty as to
10 whether the respondent is likely to engage in sexually
11 violent acts if not confined in a secure facility?

12 A. I do have such an opinion. May I just have some
13 more water, please?

14 Q. Sure. Sure. I'm sorry.

15 A. I have a cold, so I'm going to dry out quickly.
16 Thank you.

17 My answer to that question was yes, in my opinion.

18 Q. What is your opinion on that subject?

19 A. That the risk that Mr. Howell represents for
20 committing a new sexually violent act is defined by Chapter
21 229A --

22 MR. BAL: I'm going to object to this opinion by
23 the expert. He's giving a legal conclusion, his
24 interpretation of the statute. That is completely in the
25 purview of the fact finder. He can certainly give facts

1 which he, the fact finder, may consider in making a legal
2 conclusion; but to conclude that the facts that he is giving
3 meet the requirement of Chapter 229A is clearly
4 inappropriate.

5 THE COURT: The Court acknowledges the objection,
6 and the Court will be the ultimate determiner and fact
7 finder in this case as to both what the statute means and
8 whether or not and what the facts are in this particular
9 case. To that extent, the Court acknowledges the objection
10 and sustains the objection. The Court will allow,
11 nonetheless, the witness to render his opinions in his own
12 words.

13 MR. PROSSER: Thank you.

14 Q. Go ahead, Doctor.

15 A. In my opinion, the risks that Mr. Howell
16 represents to commit a new sexually violent act is at least
17 at the level of more likely than not.

18 Q. You mentioned briefly how you went about that.
19 Let's talk specifically in this case about what you did to
20 arrive at that particular opinion. What did you do?

21 A. There are basically three different steps to risk
22 assessment. The first part is using various research-based
23 information to assess this individual relative to other sex
24 offenders. Specifically, I use in that category multiple
25 sex offender risk assessment instruments of an actuarial

1 nature, just sometimes nicknamed actuarial risk assessment.
2 It's been sort of the actuarials. I happened to use three
3 in this case, which is what I do in all cases currently if
4 the instruments that I use are applicable to the individual.

5 Additionally, I also look at other groups of known
6 risk factors, known characteristics, in other words, of the
7 individual indicating risk, and to see if those groups of --
8 basically if all of the types of risk factors in any group
9 is found to be applicable to the individual, in this case
10 Mr. Howell, or not.

11 The second step in the process that -- and after I
12 use that first step basically to anchor the rest of what I
13 do, the second step is to look at what's changed about the
14 individual since the last time he committed a sexual
15 offense, what are often called protective factors. The
16 usual characteristic one looks for here is treatment,
17 specifically sex offender treatment, and in some cases such
18 as this one, treatment related to alcohol use. I could also
19 be looking at other protective factors as well.

20 The third category are characteristics that are
21 probably best described as they make common sense to be
22 applicable either to show lower risk or higher risk compared
23 to the other information. The research may not have studied
24 them. The most extreme examples to clarify what I'm talking
25 about is if the individual during the interview tells me

1 he's going to do it again and knows he can't control himself
2 from that, that would clearly weigh heavily in my assessment
3 relative to the first two categories.

4 Likewise, again an extreme example, if the
5 individual were to be on his death bed and not have access
6 to victims, all the prior risk information probably would
7 not be very relevant either.

8 So I look at those three categories of things that
9 are basically risk assessment considerations, what's changed
10 about the individual, and then things unique to the
11 individual that have little -- a little bit of research
12 support or simply commonsensical.

13 Q. All right. Let's go through those. But before we
14 do, the statute refers to a risk of committing predatory
15 acts constituting sexually violent offenses. Do you have an
16 opinion about whether the risk that you've just testified to
17 has to do with predatory acts constituting sexually violent
18 offenses?

19 MR. BAL: Objection again. The witness is being
20 asked to apply facts that he may testify about and to
21 conclude whether or not it meets the statutory definition of
22 predatory. If he wants to talk about specific acts, he may
23 do so, but the final conclusion is reserved for the fact
24 finder.

25 THE COURT: The Court appreciates that and will

1 issue the same ruling as before, which is the Court will
2 ultimately determine the issues to be submitted to the Court
3 and what the law says in Iowa. This witness is allowed to
4 express his opinion based upon his education, experience,
5 and knowledge, and the Court will give it what weight the
6 Court deems appropriate. But he may respond to the
7 question.

8 A. I do have such an opinion.

9 Q. What is your opinion, sir?

10 A. That for Mr. Howell, his risk is of a predatory
11 nature.

12 Q. All right.

13 A. As I understand the statute.

14 Q. What do you mean --

15 MR. BAL: Objection, Your Honor. The
16 conclusion -- he just couched it in terms of the statute
17 once again. I move that his response in its entirety be
18 stricken and that he be instructed to give facts or
19 scenarios pertaining to Mr. Howell which he thinks may
20 pertain to acts of a predatory nature.

21 THE COURT: The objection is noted, and the
22 Court's ruling is still the same. He may express his
23 opinions. The Court will ultimately determine whether or
24 not the facts fit the statute and the meaning of the statute
25 and whether or not the opinions expressed here merit the

1 weight each side suggests.

2 Mr. Prosser.

3 MR. PROSSER: Thank you, Your Honor.

4 Q. What is your understanding of predatory and how
5 that relates to Mr. Howell's likelihood to commit predatory
6 offenses?

7 A. When I'm doing the assessment, the understanding
8 that I use of the concept of predatory is that the degree of
9 relationship or type of relationship the individual -- the
10 offender had with his victims was basically established or
11 promoted based on his desire for victimizing that
12 individual. That can come down to the most simple case
13 where the individual, where the victim, was basically a
14 stranger, so there was no, in that sense, prior
15 relationship. It could also be where there was some degree
16 of acquaintance relationship, but that Mr. Howell's actions
17 were basically to promote the process of victimization.

18 Q. And do you have examples from the past, in
19 Mr. Howell's past, that make you think that his acts have
20 been predatory?

21 A. Yes.

22 Q. What examples are those?

23 A. By both the victim's statement and Mr. Howell's
24 statement, Michelle Dickson was a stranger and complete
25 stranger until earlier in the evening when he met her and

1 victimized her later. And that would constitute in my view
2 the process of someone being a stranger.

3 Q. Do you mean by that that he was sort of grooming
4 her to be his victim?

5 MR. BAL: Objection. Leading.

6 THE COURT: Sustained.

7 Q. I'm sorry. What other examples do you have,
8 Doctor, of potential predatory history on Mr. Howell's part?

9 A. The degree to which he had a prior relationship
10 with Jamie Kiefer has differed to some degree in his own
11 reports, Mr. Howell's reports. But at least on occasion he
12 has stated that he had no prior relationship with her
13 outside of being aware of her. But they had no ongoing
14 contact. That to me again would be an example of a process
15 of victimizing her later, would be of a predatory nature.

16 Q. Is she the strip dancer at the bar?

17 A. She was a dancer there, yes.

18 Q. Okay.

19 A. The report from Carrie Fatino significantly
20 differs from Mr. Howell's report of the relationship between
21 the two. Ms. Fatino has stated in the records as well as to
22 me that the relationship with him, with Mr. Howell, was
23 basically that of a stranger. And she was only aware of him
24 by what he was ordering at a bar on a repetitive basis, so
25 she knew of him in the context of what he was ordering in a

1 bar, knew of him as a customer where she worked, but
2 otherwise was not aware of him in any relationship.

3 That is not the information related by Mr. Howell.
4 Mr. Howell has said that they had gone out a few times. So
5 he denies sexual contact with her and at one point stated
6 that they had not been dating but they had gone out. I'm
7 not exactly sure what that was, but that he at least had
8 been aware of her on multiple occasions previously to her
9 report of what allegedly occurred. And both of those people
10 testified to that, those differences.

11 Q. Now, we've been speaking about these incidences in
12 the context of whether you had an opinion about whether his
13 past acts were predatory. How does that relate to your
14 other opinion that his future acts are likely to be
15 predatory?

16 A. Basically what is commonly found in general for
17 people and certainly applicable to sex offenders is people
18 tend to follow the same patterns. People only tend to make
19 changes through an active process, if general aging doesn't
20 tend to do that process for them. And so the -- my opinion
21 about his risk in the future being of a predatory nature is
22 based on the pattern he demonstrated earlier as well. I
23 should point out that the same type of report was made as an
24 allegation by Ms. Ryan that there was no prior relationship.

25 MR. BAL: Objection, Your Honor. I'm going to

1 object to any statements regarding a Ms. Ryan as hearsay.
2 It is a witness of which we were not given formal notice by
3 the prosecution; in fact, we were just given notice
4 yesterday.

5 If Dr. Doren is allowed to testify as an expert to
6 all hearsay statements, then the State wouldn't have to give
7 respondent notice of any witnesses. Dr. Doren could just
8 testify about people he talked to and get it in that way.
9 If he wants to give a conclusion based on his conversations
10 with witnesses, as he has already done, we certainly have no
11 objection to that under the rules of evidence, but certainly
12 have objection to him talking about some hearsay statements
13 from a witness about which respondent was not given notice.

14 THE COURT: Mr. Prosser, do you have a response?

15 MR. PROSSER: I do, Your Honor. Under the Rule --
16 the 700 series rules on experts, of course experts are
17 allowed to rely upon expert -- upon hearsay to the extent
18 that experts in their field reasonably do so in reaching the
19 conclusions that they reach. That's the case here, and I
20 think the -- his testimony is entirely permissible. And
21 it's irrelevant to the discovery issue that's been raised
22 that I think we're going to get into later today, Your
23 Honor.

24 MR. BAL: Your Honor, we also object on the
25 grounds that in 229A cases respondent is given the right to

1 confront witnesses. Therefore, it violates that express
2 right given to respondent under the statute.

3 THE COURT: The Court acknowledges the objection
4 to the hearsay statement attributed by this witness to
5 Ms. Ryan. The Court also acknowledges too that experts, to
6 the extent that they rely upon such statements, may render
7 opinions if those sorts of opinions are normally expressed
8 as a result and in the formulation of their expert opinions.

9 The Court will not allow this witness to testify
10 as to specific statements made by Ms. Ryan to him, but he's
11 clearly allowed to testify as to his opinions based on the
12 result of statements that may have been made to him.

13 MR. PROSSER: Thank you, Judge.

14 Q. Okay. Well, let's now shift back to what you
15 actually did to arrive at the -- your opinion on the risk
16 that you feel that Mr. Howell poses. I think you first
17 mentioned that you applied actuarial instruments?

18 A. Yes.

19 Q. What instruments did you apply and with what
20 results?

21 A. There were three. One is the Rapid Risk
22 Assessment for Sex Offender Recidivism. It's abbreviated by
23 the first letters of those six words, R-R-A-S-O-R, just
24 pronounced RRASOR. The second instrument is called the
25 Static-99, with a hyphen in between. And the third is

1 called the Minnesota Sex Offenders Screening Tool, Revised.
2 I'll just call it the Minnesota instrument.

3 The first one, the RRASOR, the first one I
4 mentioned, is the shortest of the four instruments. It --
5 excuse me, of the three instruments. It has only four
6 items. Possible scores from that when you add up the scores
7 per item go from zero to six, with six being at the high
8 risk end. Mr. Howell's score on that was a two. Basically,
9 this is a relatively low risk finding. Specifically looking
10 at that -- at that instrument looks at reconviction
11 likelihood within a five- to ten-year period post-
12 incarceration. From that instrument alone, his risk would
13 not be viewed as more likely than not, in my opinion.

14 From the Static-99, he -- this is a ten-item scale
15 that includes the four items from the RRASOR as well as six
16 other items. It is sometimes thought of as a second
17 generation instrument, because it built upon two prior
18 instruments, the RRASOR being one of those two. On that
19 instrument, he scored six in a range that goes from zero to
20 twelve, twelve being at the high risk end. The highest risk
21 category for that instrument is six or higher, which is
22 usually called six plus. So he fell into the highest risk
23 category that that instrument measures.

24 From the third instrument, the Minnesota
25 instrument, there are 16 items on that scale, slightly

1 overlapping the other two, but not directly. That scale has
2 a far wider range of scores, theoretically something like
3 minus 16 to plus 31. The vast majority of people who even
4 are incarcerated for sex offenses fall in the range from
5 minus 5 to plus 17. The highest risk category is a plus 13
6 or higher.

7 Mr. Howell's score was either a plus 8 or plus 10,
8 depending on how one item was scored. I was not able to
9 clarify that. The category that the scores fall into of a
10 plus 8 or plus 10 is the same, so that difference in the
11 scoring doesn't -- really does not matter, in my
12 interpretation. And that falls into what is referred to by
13 the developers of the instrument as the high risk category
14 but not the highest risk category.

15 Q. All right. And what general conclusion did you
16 draw from this phase of your assessment?

17 A. Putting this information together with other
18 information at this phase, my conclusion was that if I
19 stopped the assessment at this point, then I would conclude
20 that in my opinion, his risk is beyond more likely than not
21 to commit a sexually violent act over his lifetime.

22 Q. Okay. And how do you reach that if in light of,
23 for example, the RRASOR score of two, which is in the low
24 risk, in other words, one of these obviously says he's in
25 the low risk category, how do you explain that conclusion

1 given that score?

2 A. There are various pieces of research -- I should
3 say there are various pieces of research that indicate to me
4 that there are different pathways, different avenues by
5 which someone who is previously convicted of a sex offense
6 becomes a sexual re-offender. The metaphor that I use to
7 describe that is when I go for a checkup for my physical
8 health, if I want to know what risk there is to my health,
9 the doctor is going to check out risk factors for instance
10 related to my heart, you know, from cholesterol and blood
11 pressure, et cetera. But even if the doctor assesses my
12 risk to my heart to be very low, in other words, my heart's
13 doing well, the doctor needs to check other systems, other
14 parts of me relative to my health. And if I have -- if it's
15 found I have a malignant brain tumor, it doesn't matter
16 there's low risk to my heart. I'm still at very high risk
17 to my health. So there's different pathways to showing high
18 risk to, in my metaphor, my health; in this situation, to
19 recidivism.

20 The research that I'm aware of would indicate that
21 there are at least two different pathways or dimensions for
22 sex offenders. One of those is related to the concept of
23 being driven by sexual interests that are illegal. The
24 classic case of those is the child molester who's diagnosed
25 as a pedophile. They are driven to have sex with kids.

1 They may be fine, upstanding citizens who never break the
2 law outside of that; stable lifestyles, et cetera. But they
3 are driven by their sexual problem. That is one avenue.
4 And the RRASOR tends to measure that avenue better than the
5 other instruments.

6 The other avenue that is, in my view, demonstrated
7 by research is more of the type of individual who is
8 criminal in a variety of ways, including sexual but not
9 specifically sexual. They do not necessarily have a sexual
10 disorder at all. Their sexual offending is similar to other
11 offending in that it's basically -- they take what they want
12 when they want it, irrelevant of the effect on anybody else
13 or consequences to themselves. So people with long criminal
14 histories in a variety of types, including sexual, could
15 fall into this category.

16 The instruments that are most associated with this
17 dimension are the Static-99, the Minnesota instrument, the
18 psychological test called the Psychopathy Checklist-Revised,
19 abbreviated PCL-R in capitals, and other instruments that
20 are measures of violence potential.

21 Q. Now, I didn't ask you about the PCL-R or the
22 Psychopathy Checklist-Revised.

23 A. If I may, I didn't quite finish.

24 Q. I'm sorry. Go ahead.

25 A. Just pulling that together again, the question was

1 why I could see him as having a more likely than not risk
2 with a RRASOR that was low. The bottom line then is that
3 the RRASOR being low goes along with the fact I did not
4 diagnose a sexual disorder. He does not have any known
5 child victims. Basically, he does not seem to be driven to
6 sexually offend through a sexual disorder. And that's what
7 the RRASOR is telling me.

8 The other instruments, the Static-99 and Minnesota
9 instrument, were in the high to very high area. And that
10 goes along with a diagnosed personality disorder, along with
11 having adult victims, having a relatively high score on the
12 PCL-R.

13 Q. Which is what I was just about to ask you about,
14 and I don't think you testified to before. That's the one
15 psychological test, I think you referred to it as, that you
16 did perform?

17 A. Yes.

18 Q. Tell us what that test is and what Mr. Howell's
19 score was on that instrument.

20 A. The Psychopathy Checklist-Revised, or let me just
21 abbreviate, PCL-R, was designed not for the purpose
22 specifically to which I've used. It was designed for
23 research purposes to define the category of people that we
24 call psychopaths. The developer, Robert Hare, H-a-r-e, was
25 looking to define the relatively homogenous group of people

1 who were high in these characteristics in order to do other
2 research on them. And the scale has -- or the test has
3 since been tested and even researched with a variety of -- a
4 large variety of populations of criminals and other
5 individuals and found to have a statistical relationship
6 with certain kinds of risks or certain kinds of violence.

7 And the scale is -- that's why I used it. The
8 scale is something that goes from zero to 40 in its
9 numerical system. It involves 20 items, each scored zero,
10 one or two. That's how you get up to the zero to 40 range.
11 The higher the number, the more the person is like the
12 prototypical psychopath, the classic case that would be
13 described in professional literature.

14 I should make real clear that I'm not referring to
15 psychopath in the way that the media does. It has nothing
16 to do with serial murder or something like that. This is a
17 personality type.

18 The score that he had from my scoring of the PCL-R
19 was a 30.

20 Q. And what significance does that score have on your
21 assessment?

22 A. Basically all that it meant to my assessment is
23 that he was in the category of people who were 25 or higher,
24 which is a research relevant finding for me. The -- I did
25 not use the finding ultimately of the PCL-R for Mr. Howell

1 in a strong way in my assessment. I basically found it to
2 be consistent with the other types of signs of risk that
3 I've already described in my list of what that second
4 pathway was about. It was consistent with the other
5 findings there, but otherwise I really didn't use it very
6 much.

7 Q. So you left, as I understand it, this phase of
8 your assessment with the general opinion that he would meet
9 the criteria, in other words, more likely than not to
10 re-offend if not confined. Do I have that right?

11 A. In my opinion, through this portion of the
12 assessment, he would be found to be more likely than not to
13 commit another sexual offense.

14 Q. What was the next phase of your assessment of risk
15 question? I think you referred to it previously as
16 protective factor?

17 A. Maybe I need to clarify something first.

18 Q. All right.

19 A. There was one other part to that first part as
20 well. I don't know if you meant to skip that or not.

21 Q. No, I didn't. But if I've missed something,
22 please tell me what else you did in the first phase.

23 A. As I mentioned earlier, the second part of the --
24 or another portion of that first segment is that I look at
25 groups of risk factors that research has indicated would

1 show potential sign of risk. There were two such clusters
2 or groupings of risk factors that I found of potential
3 applicability to Mr. Howell, ultimately only finding only
4 one of those two actually applying. One that did not apply
5 is the one that's better researched. That is the
6 combination of the high degree of psychopathy as I already
7 described from the PCL-R with a certain type of sexual
8 disorder. I did not ultimately diagnose any sexual disorder
9 for Mr. Howell. And therefore, he did not have that
10 combination, which would have been a very high risk
11 combination, but I found not to be applicable to him.

12 The second category I looked at or second grouping
13 has only been researched once. So I don't make a lot out of
14 it. Again, it just tells me a little piece of information.
15 No one has tried to replicate those results, so I have to
16 take it with some degree of grain of salt, whether or not it
17 has some meaning. The way I describe it in my report is
18 that the results of being found applicable to him I have not
19 viewed as strong but just more suggestive or indicative of
20 some potential risk. It's a cluster of five different
21 things that go into the category called lifestyle
22 impulsivity.

23 Q. Could you describe those characteristics?

24 A. Yes. They basically involve changing jobs
25 frequently. There's a definition of what frequently means.

1 I don't have those in my memory. I have to look at my
2 notes. Something called reckless behavior without regard
3 for consequences. For him, for instance, that would be
4 numerous violations of speeding, as well as the potential
5 attacks, the sexual attacks. Repeated incidences of
6 aggressive or destructive behavior in response to
7 frustration. Something I found not known to apply to him,
8 disruptiveness at school or work, including verbal or
9 physical assaults on teachers or supervisors. And the last
10 one is a history of fighting. You don't need to have all
11 five of those for the concept to apply.

12 Q. So in your opinion, he did have or show that
13 constellation of factors?

14 A. Yes.

15 Q. All right.

16 A. And again, I don't make a lot out of it. I just
17 wanted to be thorough in what I described, that this is
18 something I looked at.

19 Q. Now let's move on to the second part of your risk
20 assessment analysis.

21 A. Yes.

22 Q. Which you previously described as protective
23 factors. What did you look at in Mr. Howell's case?

24 A. Basically the most common thing to look at,
25 because research has been supportive of this, is the

1 participation and completion of treatment programming
2 specific to sex offenders. That is the most research
3 supportive type of protective factor which I'm aware of
4 which Mr. Howell would have some degree of control.

5 Q. All right. What findings did you make in this
6 category with respect to Mr. Howell?

7 A. He had participated in certain groups, six
8 sessions of one, a similar number of another, but basically
9 has not participated in a full sex offender treatment
10 program while he was incarcerated during this last
11 offense -- from his last offense.

12 Q. Despite the fact that, at least according to your
13 review, he has not participated in a full-blown sex offender
14 treatment program, did you nevertheless attempt to determine
15 whether he had control of or awareness of any of the
16 concepts which one might learn at a sex offender treatment
17 program?

18 A. If I understand your question correctly, the
19 answer is yes. I'll explain my answer. During the
20 interview of Mr. Howell, I asked him certain questions
21 related to his understanding of his sexual offending and how
22 he would avoid re-offending in the future.

23 Q. Okay. And what were his responses to those
24 questions?

25 A. The specific answers?

1 Q. Well, give me the general conclusions that you
2 reached, and then maybe we'll talk about a few of the
3 answers.

4 A. Bottom line was that he had been denying that he
5 ever committed a sexual offense; and therefore, most of the
6 things he was describing in answers to questions about how
7 he would go about preventing things were described as
8 relatively hypothetical. He described himself as having no
9 risk for sexual re-offending, which I view as actually a
10 sign of potential risk in that there's a suggestive piece of
11 research that shows that people who are seeing themselves as
12 no risk actually re-offend more. And my understanding of
13 that is that people who see themselves as no risk are either
14 just lying in that regard or potentially seeing themselves
15 that way but then therefore not watching the risk that they
16 are developing over time. So they put themselves into
17 higher risk situations where they're more likely to
18 re-offend.

19 Q. Did you ask Mr. Howell about whether he had a
20 relapse prevention plan or some sort of plan about how to
21 avoid sex offending if he is not confined?

22 A. The set of questions that are specific to his
23 potential benefit from treatment or what he's learned about
24 how to avoid re-offending go into detail about aspects of
25 what is called a relapse prevention plan. I did not ask him

1 the overall question of do you have a relapse prevention
2 plan, because his answer wouldn't be meaningful to me. I
3 asked specific components.

4 Q. Did he articulate specific components of what you
5 referred to as a relapse prevention program?

6 A. He answered my questions, but I would say that he
7 basically does not have a meaningful relapse prevention
8 plan.

9 Q. What did he say, I mean, specifically about that?
10 And I guess the next question is, why isn't that meaningful
11 to you?

12 A. I'm not sure which question to answer first to
13 make the most sense. I'll answer them the way in which you
14 asked them. The kinds of things that he was describing that
15 would put him -- feelings or moods, for instance, that would
16 put him at risk of sex offending, again I asked him to
17 describe just two of those. He did come up with two.

18 He described roller coaster of ups and downs,
19 meaning his moods, or just maybe what he referred to as a
20 flat line depression. Then the issue in terms of relapse
21 prevention planning is, so how will you deal with those
22 moods? And the specific question is, how will you cope with
23 such feelings or moods in the future? Describe at least two
24 ways.

25 And his answer was, "Recognizing the behavior

1 patterns and those initiators and being aware of actually
2 knowing those and being able to counteract those, whether
3 through medication or treatment or just knowledge." This is
4 a general statement. It doesn't state what he's going to do
5 to address his own issues.

6 Second type of question along those lines, I asked
7 a question having to do with what thoughts, including sexual
8 thoughts or fantasies, would put him at risk of sexual
9 offending. Describe at least two different thoughts. His
10 answer, "I think any kind of sexual thought has an influence
11 on where your thinking is at. If you are just sitting
12 around thinking about sexual fantasies instead of a job, I'm
13 sure those would put you at risk more so than going out to a
14 softball game with your two boys or something like that."

15 Basically what he's describing is what thoughts
16 would put him at risk. If I even understand the general
17 statement, he's talking just general sexual thoughts. So I
18 asked the follow-up question, "How would you cope with such
19 thoughts in the future?" Again, describe at least two
20 different ways.

21 "Well, with our society now, it is more than an
22 average medium that we are bombarded with sexual imagery,
23 sexually influencing or form. You just have to lessen that
24 to a degree, I think."

25 Again, my understanding of what we are saying here

1 is he will just try to avoid the media bombardment, to use
2 his words, of sexual images. I don't see that as a relapse
3 prevention plan in that that doesn't say what he's going to
4 do or how he's going to cope with a sexual feeling. He
5 doesn't say he doesn't have sexual feelings, and I would
6 have found that difficult to believe if he did. He doesn't
7 say, "I was going to address those issues." Therefore,
8 there's no real relapse prevention plan.

9 A third category, "What events might make you more
10 likely to have feelings or thoughts that put you at risk of
11 offending?" So we're asking about events. He mentioned
12 anxiety, depression, a bad temper. I asked for
13 clarification on that. "Okay. Maybe sitting drunk, being
14 in a bar, anything that will lower -- that would lower your
15 inhibitions, drugs or alcohol." Didn't really describe
16 events there.

17 But I again asked, feeling that this could be a
18 follow-up to the mood issues, "How would you cope with such
19 events in the future?" Again, describe at least two
20 different ways.

21 "I think you have to have a plan, agenda. You
22 just can't go through not planning for tomorrow. You have
23 to plan out your life and make sure that a lot of those
24 things are coincidentally coming into your life."

25 Again, this is a general descriptor, but it

1 doesn't say what he's going to do. This is the nature of
2 the question and answers I got along the way.

3 And his bottom line, the end when I asked him to
4 explain what risk he saw himself at, he saw these -- the
5 events earlier as -- when explaining why he gave himself a
6 no risk rating, "Because these were extraordinary
7 circumstances and I don't think I will be able to allow
8 myself to be put into those circumstances again." I asked
9 the clarification of that. "I don't think that the
10 conditions I put on myself back then are what I would do
11 today. I would not have those same priorities. Back then
12 it was having a good time, so to speak. Right now I just
13 want to have my freedom, having experience with my family."
14 Then he goes on explaining that a bit. Again, to my view,
15 this doesn't explain a relapse prevention plan. It states a
16 desire.

17 Q. Were there any other factors or things that you
18 looked at in the protective factors phase of your risk
19 assessment other than the nontreatment benefit, as I
20 understand your testimony so far?

21 A. Yes. It had to do as well with his potential
22 alcohol use. Whether he met a diagnostic set of criteria or
23 not wasn't so much the issue to me as he has acknowledged
24 and it seemed accurate to me that alcohol has served to
25 facilitate his offending in the past, to use his words,

1 disinhibiting him.

2 And so the potential for his going back to
3 drinking in the future is of interest to me in the risk
4 assessment, not so much the history of abuse but will he go
5 back to drinking in the future.

6 And the information I obtained on that suggested
7 to me that he -- I want to be accurate in how I phrase
8 this -- has not made a plan to totally avoid drinking. And
9 I'm basing that on a number of things. But the main one was
10 during that same set of questions, one of the questions that
11 I asked was, "How would you cope if you were in these
12 situations or places in the future?" Let me make sense out
13 of that. First question, "In what situations are you most
14 likely to offend? What situations or places should you
15 avoid? Describe at least two." His answer was, "Maybe a
16 bar. I really can't say." So the one he came up with was a
17 bar.

18 So I asked a question about that. "How would you
19 cope if you were in these situations or places in the
20 future?" Again, "Describe at least two different ways."

21 His answer was, "First of all, you have to
22 understand what the indications are and what that cycle of
23 behavior does. If you can catch on what those indicators
24 are, you can avoid more so, if you can avoid putting
25 yourself -- if going to a bar and meeting people, I would

1 stop going to that bar again." That indicates to me that
2 he's not saying he would avoid going to bars. He just won't
3 go to that bar again. That was the most poignant statement
4 about whether or not he would be looking to avoid drinking
5 in the future.

6 Q. Any other factors under this general area of your
7 risk assessment?

8 A. I don't think so.

9 Q. What effect, if any, did looking at these
10 protective factors have on the first part of your assessment
11 of risk?

12 A. The concept of protective factors is that they are
13 used as assessing a lowered degree of risk. Since he did
14 not demonstrate the potential benefit from relevant
15 treatment in either sex offender treatment or alcohol-
16 related treatment, then therefore it was not -- the
17 assessment of risk from the first section is not lowered by
18 the second section assessment.

19 Q. As I understand it, then, the fact that you didn't
20 view him as having benefitted from treatment didn't increase
21 his risk. It just didn't decrease the risk?

22 A. That is correct. It did not increase the risk.
23 It just did not decrease it.

24 MR. PROSSER: Judge, maybe this would be a good
25 time for a break this morning?

1 THE COURT: Sure. We'll take about 15 minutes or
2 so. You may step down. Thank you, sir.

3 (Trial recessed at 10:35 a.m.)

4 (Trial resumed at 11:00 a.m.)

5 THE COURT: Mr. Prosser?

6 MR. PROSSER: Thank you, Your Honor.

7 Q. Dr. Doren, I think when we left off we completed
8 the second of three parts, as I understood it, of your risk
9 assessment in Mr. Howell's case. Is your memory consistent
10 with mine?

11 A. Yes.

12 Q. What was the third part of your risk assessment?
13 I think you referred to it as a commonsense or perhaps
14 situational factors portion of your risk assessment?

15 A. Yes. There are a number of different ways to
16 describe it, situational or other unique character
17 distribution, things that are more commonsense relation and
18 are also by research.

19 Q. What factors did you look at under that category
20 in Mr. Howell's case?

21 A. There's one in my report and another that I did
22 not write there, but I did look at it. The first one is
23 whether or not the individual, in this case Mr. Howell, has
24 any scheduled community supervision if no longer detained
25 under Chapter 229A. In his case he does not.

1 This is not a sign of extra risk. It does not
2 increase his risk in my assessment. But if he had a
3 significant period of mandated community supervision
4 scheduled for him, that would be reason to at least
5 temporarily, if not on a more permanent basis, to lower the
6 assessed risk. So he does not have that characteristic that
7 would potentially lower his risk.

8 The other characteristic that I note, I didn't put
9 in the report but is part of my assessment, is just looking
10 at his age. There's reason to believe that people who
11 commit sexual assaults against adult women, sexual assaults
12 in general but I'll be more specific in his case against
13 adults, adult women, that the likelihood for recommitting
14 another offense once convicted lessens as the person gets
15 older. And so I needed to look at both his current age and
16 the last time that he was known to have offended sexually.

17 Q. All right. And what conclusions did you draw from
18 that age factor?

19 A. On the one hand, his age of currently 47 puts him
20 into a category or into a general age range of the forties
21 that would suggest on average a lowering of recidivism
22 likelihood compared to people in their thirties or twenties.
23 For Mr. Howell, however, his last known sexual offense by
24 conviction occurred when he was already age 40, so he was
25 already in that age bracket. So I did not decrease the

1 assessed risk based on his age, separate from other
2 considerations. It did have some effect on how I would look
3 at the interpretation of the actuarial instruments, but it
4 had no effect by itself.

5 Q. So overall, what effect, if any, did your look at
6 these unique factors have on the opinion that you had
7 arrived at or been working on through the first two phases
8 of your assessment?

9 A. It served not to change it. There was the issue
10 of the age or community supervision could have potentially
11 lowered the assessed risks, and I did not lower the assessed
12 risk based on either of those considerations.

13 Q. Are there any other parts of your risk assessment
14 that I have not covered with you?

15 A. Not in any formal sense.

16 Q. All right. Based upon that assessment, once
17 again, what was your opinion to a reasonable degree of
18 professional certainty as to whether or not the respondent
19 in this case, Steven Howell, is likely to commit predatory
20 acts of a sexually violent nature if he is not confined in a
21 secure facility?

22 A. My opinion, again to a reasonable degree of
23 professional certainty, is that the risk that he represents
24 for committing a sexually violent act if no longer confined
25 is much more likely than not -- excuse me, is more likely

1 than not. Excuse me. Is more likely than not.

2 Q. Thank you.

3 MR. PROSSER: I have no further questions now.

4 THE COURT: Mr. Bal?

5 MR. BAL: Thank you, Your Honor.

6 CROSS-EXAMINATION

7 BY MR. BAL:

8 Q. Good morning, Dr. Doren.

9 A. Good morning.

10 Q. Good to see you again.

11 A. Thank you. Same to you.

12 Q. In the case of Mr. Howell, you have done
13 approximately three reports, correct?

14 A. I had a total of three reports, that's correct.

15 Q. And each one of those you have some sort of
16 diagnosis as far as mental abnormality, correct?

17 A. Yes.

18 Q. For example, in the preliminary report dated
19 November 18, 2000, do you have that in front of you, sir?

20 A. Yes, I do.

21 Q. You indicated that Mr. Howell may suffer from
22 personality disorder, NOS, with antisocial features? I
23 believe that's on page 1.

24 A. Yes, that's correct. I thought you were
25 continuing. I'm sorry.

1 Q. And you also stated there may be indications of
2 paraphilia NOS, nonconsent, and alcohol abuse?

3 A. That's both correct.

4 Q. Now, the diagnoses of personality disorder NOS,
5 paraphilia NOS, nonconsent and alcohol abuse, did you get
6 those diagnoses from any type of reference or guide book?

7 A. The concepts you are talking about are from the --

8 Q. Those terms as well as the standards which you
9 applied to reach those tentative conclusions?

10 A. Yes.

11 Q. And what did you use?

12 A. The Diagnostic and Statistical Manual of Mental
13 Disorders, Volume IV.

14 Q. And there is a more current version of that, is
15 there not, called DSM-IV TR?

16 A. There is in one sense. It's certainly a newer
17 publication. TR standards for text revision. None of the
18 criteria for defining a diagnosis changes from one volume to
19 the next. But there's some additional text.

20 Q. Then on April 17 of 2001, you updated your
21 preliminary report?

22 A. That is correct.

23 Q. And you stated that to a reasonable degree of
24 scientific certainty, Mr. Howell suffers from personality
25 disorder, NOS or not otherwise specified, with antisocial

1 features?

2 A. Yes, I did.

3 Q. And but you did not diagnose him as being
4 paraphiliac or having paraphilia?

5 A. That's correct. At that point I had ruled that
6 out.

7 Q. And the diagnosis of paraphilia, that is also from
8 the DSM-IV?

9 A. That's correct.

10 Q. And your most recent evaluation of September 17,
11 2001, your diagnosis is antisocial personality disorder?

12 A. That is correct.

13 Q. And that is also taken from the DSM-IV?

14 A. That is correct.

15 Q. And the criteria for reaching these diagnoses are
16 all contained in the DSM-IV, correct?

17 A. To the extent criteria are listed, yes.

18 Q. The DSM-IV does have criteria which must be met in
19 order to reach these diagnoses, correct?

20 A. I think "must" overstates the case. They are
21 considered as clinical guidelines, but there can be
22 exceptions to criteria absolutely having to be met in order
23 to diagnose a condition.

24 Q. And if the DSM-IV uses the word "must," that this
25 criteria must be met, that's pretty much mandatory, isn't

1 it?

2 A. Interesting question. In the beginning of the
3 manual it talks about how each of these are to be considered
4 guidelines and not strict criteria. And it may be -- I
5 can't think of a specific for instance -- it may be that
6 under any of the sets of criteria for the different
7 diagnoses that the word "must" is used, I would go with the
8 general descriptor that they serve as clinical guidelines
9 but not an absolute mandatory set of criteria. And that's
10 an interesting question.

11 Q. So your interpretation of the DSM-IV is that
12 "must" doesn't necessarily mean mandatory?

13 A. As a general concept, I would agree. There may be
14 a circumstance where I would agree that the -- or I would
15 state that "must" is mandatory. But none come to mind at
16 the moment.

17 Q. Can the diagnosis that I just mentioned, the very
18 diagnosis that you've given to Mr. Howell, were there any
19 criteria under the DSM-IV that you did not follow?

20 A. I don't believe so.

21 Q. Okay. So for the diagnosis that you've given, the
22 criteria on the DSM-IV are the ones you followed?

23 A. Yes.

24 Q. You did not go outside those requirements of
25 DSM-IV, these diagnoses?

1 A. To the extent that there's anything listed there,
2 that's correct.

3 Q. Now, your final diagnosis for Mr. Howell was
4 antisocial personality disorder?

5 A. That's correct.

6 Q. I'm going to show you first of all the Diagnostic
7 and Statistical Manual of mental disorders, DSM-IV TR, and
8 refer you to page 701 of this publication. Now, on page 701
9 at the bottom under Section 301.7 it states antisocial
10 personality disorder, correct?

11 A. That's correct.

12 Q. And I would like you to flip forward to page 706.

13 A. Yes.

14 Q. And 706 is the very last portion of the criteria
15 for antisocial personality disorder?

16 A. That is correct again.

17 Q. I'm going to show you what I have marked as
18 Respondent's Exhibit F. Would you please compare that to
19 the DSM-IV TR, indicate whether that is a fair and accurate
20 copy of that volume?

21 A. Exhibit F has some underlining on page 702.
22 Besides that, it is accurate.

23 Q. Thank you. Let me show you Respondent's Exhibit F
24 which is not underlined. Would you please indicate that to
25 the Court.

1 A. Yes, this appears to have the same set of pages.

2 Q. And that is a fair and accurate copy of what is
3 contained in the DSM-IV TR?

4 A. It certainly looked that way to me in the quick
5 review.

6 Q. In writing your preliminary report of November 18,
7 2000, what were the sources you relied on?

8 A. That report was based purely on paper review.

9 Q. And by paper review are you referring to
10 Mr. Howell's record from the Department of Corrections?

11 A. Essentially that was what was there, plus I don't
12 remember exactly what, but it would be some other --
13 potentially some prosecutor's file or two. But it would not
14 have been the complete set I eventually looked at. That's
15 correct.

16 Q. And what is the difference between the initial set
17 and the complete set you eventually looked at?

18 A. The complete set included some trial transcripts,
19 I believe it included another prosecutor's file, and I don't
20 recall if there was another transcript or not. I have a
21 vague recollection, but I'm not certain of that.

22 Q. And the transcript of trial you're talking about
23 was the trial of Mr. Howell in 1994?

24 A. The one that I'm thinking of is the one involving
25 Carrie Fatino, so no, that would have been from 1987.

1 Q. Did you review any other transcripts of trial?

2 A. I know that I reviewed Minutes of Testimony and
3 was checking my notes to see if I had a transcript from the
4 1994 trial. I do have some memory of viewing it. I don't
5 have it in my notes that I did, however, so I'm not certain.

6 Q. Do you recall reviewing testimony of a Rita Gall
7 at a trial involving Mr. Howell?

8 A. Yes.

9 Q. And in her testimony do you recall reviewing the
10 statement that Mr. Howell and Ms. Fatino had come to her
11 establishment after a date?

12 A. I don't recall.

13 Q. Or that Ms. Fatino and Mr. Howell had come to her
14 apartment and that Ms. Fatino had taken out some cocaine --

15 A. I'm sorry. I may have mixed up the questions.
16 You were just asking me about Ms. Fatino.

17 Q. I was asking about testimony of Ms. Gall regarding
18 Ms. Fatino.

19 A. Oh, I'm sorry. Could you repeat that question,
20 please? I have the wrong case in mind.

21 Q. Let me go back to the previous question. Do you
22 recall Rita Gall testifying that Mr. Howell and Ms. Fatino
23 had come into a bowling establishment where Ms. Gall worked?

24 A. I believe so.

25 Q. And that that was after a date?

1 A. I don't remember how it was characterized, but
2 along those lines, was the impression I had.

3 Q. And that they had come into the establishment
4 together?

5 A. I cannot say I recall. I don't know.

6 Q. How about Mr. Howell and Ms. Fatino coming to
7 Ms. Gall's apartment and Ms. Fatino ingesting cocaine?

8 A. I remember somebody giving testimony along those
9 lines.

10 Q. Now, you've been hired by the State to do a number
11 of civil commitment cases, correct?

12 A. To do assessments under Chapter 229A, yes.

13 Q. And in the past you have recommended that the case
14 not be referred to civil commitment, correct?

15 A. Yes, some of the time.

16 Q. Or you have stated that you cannot be certain
17 whether a person will or will not offend in the future?
18 Unclear?

19 A. I -- in using the words that you're using, I would
20 always say that. If you're talking about not clear whether
21 or not someone is meeting a threshold of risk of more likely
22 than not, yes, I've done that on occasion as well.

23 Q. In fact, you did so in the Willis case, did you
24 not, a case involving --

25 A. At one point I had, yes.

1 Q. Okay. That point was prior to trial, correct?

2 A. Yes.

3 Q. That is, after you had done an evaluation of
4 Mr. Willis?

5 A. Yes.

6 Q. And that was after he faced court, faced an
7 interview with Mr. Willis?

8 A. Yes.

9 Q. And your conclusion was that it was unclear to you
10 whether Mr. Willis was more likely than not to re-offend.

11 A. At that point in time, yes. That's correct.

12 Q. And then in that case the State went out and got a
13 Dr. Hoberman from Minnesota, correct?

14 A. To do an assessment. That's my understanding,
15 yes.

16 Q. And his assessment was that Mr. Howell -- or
17 Mr. Willis was more likely than not to re-offend?

18 A. That is my understanding of what Dr. Hoberman came
19 to the opinion of.

20 Q. Well you, you read Dr. Hoberman's report, didn't
21 you?

22 A. Eventually, yes.

23 Q. And, in fact, you ended up changing your opinion
24 and testified at trial for the State in that case, correct?

25 A. All of that is accurate.

1 Q. Now, the preliminary report of Mr. Howell done on
2 November 18, your recommendation to the State was that
3 Mr. Howell should not be thought of as meeting criteria for
4 commitment?

5 A. My statement more exactly is he probably should
6 not be thought of as meeting the criteria based on what --
7 the information I had at that time.

8 Q. And you did not see the degree of risk as clearly
9 beyond the threshold of more likely than not?

10 A. At that point in time, that's correct.

11 Q. But the State initiated commitment proceedings
12 against Mr. Howell after that preliminary report, correct?

13 A. Chronologically, that's an accurate statement.
14 There was some intervening information. But
15 chronologically, that's correct.

16 Q. And then you gave an updated preliminary report on
17 April 17, 2001?

18 A. That's correct.

19 Q. And in that report you said to a reasonable degree
20 of professional certainty that Mr. Howell suffered from
21 personality disorder NOS with antisocial features?

22 A. Yes, I did.

23 Q. You ruled that out at this point, correct?

24 A. It's subsumed within the category of antisocial
25 personality disorder. I wouldn't make a separate diagnosis

1 of personality disorder not otherwise specified at this
2 point. I am basically addressing the same issue. The
3 diagnosis at that point was personality disorder NOS, not
4 otherwise specified, with antisocial features. And the
5 diagnosis that I finalized was antisocial personality
6 disorder. These are very much overlapping conditions.

7 Q. So your final diagnosis is antisocial personality
8 disorder?

9 A. That's correct.

10 Q. And that is to a reasonable degree of professional
11 certainty?

12 A. Yes.

13 Q. Now, you talked about a number of actuarial
14 instruments that you used in reaching your conclusions. One
15 of them is the RRASOR?

16 A. Correct.

17 Q. And you also scored the RRASOR when you did the
18 preliminary report, correct?

19 A. Yes.

20 Q. And did the score on the RRASOR for Mr. Howell
21 change from the time you did the preliminary report to your
22 final evaluation?

23 A. No.

24 Q. That was a score of two?

25 A. That's correct.

1 Q. And is there a percentage associated with that
2 score given by the developers of the instrument?

3 A. There are percentages, yes.

4 Q. And what is the percentage given for that score,
5 score of two on the RRASOR?

6 A. Basically there are two percentages, both having
7 to do with the reconviction likelihood within a certain time
8 period. For a five-year post-incarceration time period, a
9 two on average is associated with 14 percent reconviction
10 likelihood for a new sexual offense after five years. And
11 after ten years post-incarceration, it's 21 percent for the
12 same type of recidivism.

13 Q. And that is based on reconviction rates, correct?

14 A. Basically, yes.

15 Q. And the other instrument you used was a Static-99?

16 A. One of the two others, yes.

17 Q. Now, the Static-99 in part is developed by a Karl
18 Hanson, correct?

19 A. Correct.

20 Q. And the RRASOR is entirely developed by Dr. Karl
21 Hanson?

22 A. That's correct again.

23 Q. Same Dr. Karl Hanson?

24 A. Correct.

25 Q. In fact, Dr. Hanson is one of the leading

1 researchers and developers of these risk assessment
2 instruments?

3 A. He's certainly one of that group, yes.

4 Q. Would you consider him to be an expert in the
5 field?

6 A. What field?

7 Q. In the field we're talking about, sex offender
8 recidivism prediction?

9 A. I consider him highly knowledgeable and respected.

10 Q. You certainly use the instruments he either
11 developed or helped develop, don't you?

12 A. I certainly use these, that's correct. I don't
13 use everything that he has developed.

14 Q. But you certainly rely on them in reaching your
15 opinion?

16 A. For these instruments, that is correct. Not other
17 ones that he has developed.

18 Q. And what was your score on the Static-99 in your
19 preliminary report in November 18 of 2000?

20 A. That was a score of five.

21 Q. And that score has changed --

22 A. Yes.

23 Q. -- at this point? And the score now is six?

24 A. That's correct.

25 Q. And then what was the basis for the change from

1 five to six?

2 A. One specific item. It is an item that -- the
3 short title of which is called single, but the actual
4 coding -- as in not married or never married. But the
5 actual coding rules indicate that the definition has to do
6 with whether or not the individual has lived for at least
7 two years consecutively with a lover.

8 Q. And did you talk to Mr. Howell about whether he
9 had done that?

10 A. Yes, I did.

11 Q. It's your opinion that Mr. Howell did not live
12 with a lover for two or more years?

13 A. That's correct, not consecutive years.

14 Q. Well, you took notes when you scored these items,
15 did you not?

16 A. Certainly.

17 Q. And you have the sheet where you scored the
18 Static-99?

19 A. Yes.

20 Q. And I believe there's a chart and states
21 Appendix I right above that?

22 A. Yes.

23 Q. And does the sheet -- or the -- do the documents
24 that you have contain the basis numbers?

25 A. For my notes? No.

- 1 Q. They do not. Okay.
- 2 A. I may have a page 22 at the top if I printed them
3 out before.
- 4 Q. 22, okay. Mine is page 20.
- 5 A. Oh, okay.
- 6 Q. Let's just make sure we're talking about the same
7 thing here. At the bottom, the very last column, states
8 total score and states five slash six?
- 9 A. That's correct.
- 10 Q. Five slash six?
- 11 A. That's correct.
- 12 Q. Not six?
- 13 A. That's what it says, five slash six.
- 14 Q. Now, you stated that the actual coding sheet for
15 the Static-99 contains the term married, is that right?
- 16 A. I don't think I stated that. What I was stating
17 is that it's usually described as the risk factor is just
18 called single, but the coding rules, that basically has the
19 person ever lived with a lover for at least two consecutive
20 years? In other words, things like prison marriages don't
21 count as lowering risk. Things like that.
- 22 Q. You also have an item in there which is entitled
23 index of nonsexual violence?
- 24 A. Correct.
- 25 Q. And you gave Mr. Howell a score of one for that?

1 A. No, I did not. I gave him a zero, which is a
2 lower risk side.

3 Q. Is that where you have in brackets "bod" for
4 habitual offender?

5 A. Yes, "bod" is my abbreviation for benefit of the
6 doubt. In other words, the information in this case, the
7 interpretation of what a conviction of habitual offender
8 would mean relative to the actuarial item having to do with
9 nonsexual violence was not actually clear to me, but I did
10 give Mr. Howell the benefit of the doubt and scored him in
11 the nonrisk direction. The issue is the applicability of
12 that conviction to the coding rules, and that wasn't so
13 clear to me.

14 Q. Under the item single, which you had written down
15 five slash six?

16 A. Under single I have zero slash one and the total
17 score I have five slash six.

18 Q. Which means five or six.

19 A. That would be one interpretation. I can tell you
20 what I meant by it.

21 Q. I want you to tell us what you meant by that.

22 A. The issue is ultimately how that item applied for
23 Mr. Howell. I came to the conclusion that a one was a
24 proper scoring, but I reminded myself that there was a
25 difference in information relative to that item by scoring

1 it a zero slash one, and a further reminder of that of five
2 slash six.

3 Q. And what was the difference in information
4 regarding that point?

5 A. The information directly from Mr. Howell during
6 the interview was consistent with the idea that he lived
7 with his now ex-wife but lived with his wife for a period of
8 beyond two years consecutively. That was not consistent
9 with what she reported to me on two separate occasions.

10 She was more specific about when they were
11 separated. He did not acknowledge to me or state to me
12 or -- when asked directly about separations, he did not talk
13 about separations having occurred to me that would interfere
14 with the scoring on this item.

15 Q. So you have two different sources of information
16 regarding --

17 A. Well, actually three, if I include a presentence
18 investigation summary. But that was just a summary of when
19 he was married and when he was divorced. It didn't describe
20 when they lived together.

21 Q. And what was the length of time period in the
22 summary in the PSI?

23 A. That they married in June of 1973 and divorced in
24 June -- on June 10, 1976. To be clear, however, both
25 Mr. Howell and his wife, ex-wife, talked about having lived

1 together on two separate occasions, one starting before they
2 were married going into when they were married, and one some
3 years after they divorced, getting back together, living
4 together for a period of time.

5 Q. What was the longest period of time Mr. Howell and
6 his wife lived together?

7 A. My understanding would be about a year and a half,
8 would be the longest without a separation.

9 Q. And how about the total time that they lived
10 together?

11 A. I did not compute that. I would approximate it to
12 be maybe four years, three and a half, four.

13 Q. And this change from a score of five to a six
14 based on this information from different sources, that
15 increased, in your opinion, Mr. Howell's likelihood of
16 re-offense?

17 A. It affected my assessment in that direction, if
18 that's what you're asking me, yes.

19 Q. That's one change in the risk assessment
20 instruments from your preliminary report. How about the
21 MnSOST-R?

22 A. Minnesota instrument. Okay. It essentially
23 didn't change. It became a little less clear on the scoring
24 of one item that is still not clear to me on the scoring of
25 it, potentially that if I were clear in a way that is in the

1 risk direction, his score would be slightly higher. But
2 essentially I'm still, no matter how you look at it, I'm
3 still looking at the same risk category across all the
4 reports.

5 Q. But the score in the MnSOST-R in your preliminary
6 report was eight, correct?

7 A. Correct.

8 Q. And the score in the final report is also eight,
9 correct?

10 A. Well, I should clarify. Okay. That's correct,
11 yes.

12 Q. And in your preliminary report, you stated that
13 the MnSOST-R has the smallest degree of scientific research
14 to support it?

15 A. Compared to the other two instruments, that's
16 correct.

17 Q. Now, that was as of November of the year 2000,
18 correct?

19 A. That's the date of the report, yes.

20 Q. But there has been research since that time on the
21 MnSOST-R as well as the other two instruments that you used?

22 A. Correct.

23 Q. And that research was encompassed in a publication
24 by Howard Barbaree, B-a-r-b-a-r-e-e, and a couple of other
25 authors?

1 A. Barbaree, Seto, Langton and Peacock.

2 Q. And that was on criminal justice and behavior?

3 A. Yes, came out this past August.

4 Q. August of 2000?

5 A. 2001.

6 Q. 2001. So you have reviewed that piece of
7 research?

8 A. Yes, I'm well familiar with it.

9 Q. Isn't it true that in that article the authors
10 state that the MnSOST-R failed to meet conventional levels
11 of statistical significance in the prediction of serious and
12 sexual recidivism?

13 A. I know that statement is true relative to sexual
14 recidivism. I'm not sure that's true relative to serious
15 recidivism as they defined it. But concerning of most
16 relevance to 229A, specifically sexual recidivism, that
17 statement is accurate.

18 Q. And they also indicated that the inclusion in the
19 MnSOST-R of institutional items, institutional treatment
20 items may reduce the instrument's predicted ability?

21 A. The authors of that article make that statement in
22 the discussion section as a way of trying to make sense of
23 their results. So it's an accurate statement of one
24 hypothesis they raise in their discussion section.

25 Q. At least it's an explanation of why the MnSOST-R

1 didn't do well in this study, right?

2 A. It was one explanation that was not supported by
3 some other analysis they ran afterwards.

4 Q. I can certainly talk about that. But I'm talking
5 about this study right now. So the statement I said is
6 correct as far as the study goes?

7 A. It is one interpretation.

8 Q. It also goes on to say that the one explanation
9 for this failure of the MnSOST-R could be that it was
10 defined to predict arrest and not charge or conviction,
11 isn't that correct?

12 A. I don't recall them saying that. It may be true.
13 I don't recall that.

14 Q. Well, let me show you what I've marked as
15 Respondent's Exhibit A. Is that the study by Barbaree we've
16 been talking about?

17 A. Yes, assuming you have all the pages here, that's
18 the study, yes.

19 Q. Let me refer you to page 514 of that study. The
20 second photograph there, it states, "A specific explanation
21 for the MnSOST-R's failure to predict sexual recidivism is
22 that the instrument was designed to predict arrest for a new
23 sexual offense, whereas the outcome evaluated in this study
24 was a new charge or conviction for a sexual offense."
25 That's what it says, isn't it?

1 A. That's what it says.

2 Q. And the RRASOR on which you relied looks at
3 convictions, not arrest, correct?

4 A. Basically, yes.

5 Q. And the same is true for the Static-99?

6 A. That's very clear there, yes.

7 Q. Now, one of the assumptions that the authors of
8 the MnSOST-R used is that everyone who is arrested is guilty
9 or will be convicted of that charge, or not even charged,
10 after the arrest. They will be convicted of sexual offense,
11 correct?

12 A. I would not fully agree with that statement.

13 Q. They're assuming that everyone who's arrested
14 recidivated, isn't that right?

15 A. In a technical sense, they are. By counting
16 arrest as the outcome measure for recidivism, then they are
17 stating that as their substitute measure for true
18 re-offending, and we don't know what those acts are, that in
19 the process of using rearrest, that people who are arrested
20 for a new, in that case, hands-on sexual offense, at least
21 at some point since release committed such an offense; not
22 necessarily for the one they were arrested for, just that at
23 some point they did so.

24 Q. Well, that was their assumption.

25 A. In counting arrest, that would be accurate.

1 Q. Now, are you familiar with a study by the United
2 States Department of Justice which did an analysis of people
3 who were convicted of sexual offenses?

4 A. I don't believe so.

5 Q. The State has not provided you with that study?

6 A. This does not sound familiar to me.

7 MR. PROSSER: Maybe if you show it to him,
8 Mr. Bal, you will recognize it.

9 MR. BAL: I don't have it with me.

10 Q. Do you have any knowledge of any study done by the
11 FBI of DNA analysis that indicated over one-third of people
12 convicted of sexual offenses, in fact, were not guilty?

13 A. I'm not aware of any such study.

14 Q. Now, the three instruments that you used, the
15 RRASOR relied on a population sample from England, is that
16 correct, in part?

17 A. Six samples from Canada, one from the U.S., and
18 then a replication study out of England -- out of the United
19 Kingdom, more accurately.

20 Q. Was that Wales?

21 A. Wales and England.

22 Q. And what year were those samples taken?

23 A. They varied greatly. The oldest was -- testing my
24 memory here. It's either the late sixties or early
25 seventies. The most recent was early nineties, I believe.

1 I'm not positive of those things. But it was a span of a
2 good 20-plus years.

3 Q. What about the Static-99?

4 A. Static-99 was developed on the United Kingdom
5 sample, and then replicated using three Canadian samples, in
6 its development.

7 Q. And the MnSOST-R was developed in a population in
8 Minnesota, correct?

9 A. Correct. Minnesota Department of Corrections.

10 Q. And none of these instruments has been replicated
11 or normed from the state of Iowa, correct?

12 A. I don't know any test specific to Iowa for any of
13 the instruments.

14 Q. Now, we already talked about the MnSOST-R of using
15 rearrest in defining recidivism. Are you familiar with the
16 specific statute or statutory requirements of the sexual
17 offense in the state of Iowa?

18 A. I'm sorry, am I familiar with?

19 Q. Yes, the specific statutory sexual offenses which
20 are defined by the Iowa Code in Section 229A?

21 A. I couldn't quote to you the names of all those,
22 but I am familiar in general terms, yes. I have read
23 through that before. I just don't recall all the names.

24 Q. Have you compared Iowa's definition of a sex
25 offense with the sexual offenses that were used in

1 developing the MnSOST-R?

2 A. In effect, I have.

3 Q. Each and every offense?

4 A. My purpose for that comparison wasn't for the
5 specific Iowa-to-Minnesota comparison. I was doing a
6 chapter of my book and I was looking at what all of the
7 states defined as sexually violent crimes in their different
8 statutes for commitment and finding degrees of similarity
9 and degrees of difference. Are you asking are Iowa's and
10 Minnesota's exactly the same? No, they are not exactly the
11 same. They overlap.

12 Q. They are not exactly the same?

13 A. They are not exactly the same.

14 Q. So the arrest in Minnesota for sex offense may be
15 for behaviors that are not considered sex offenses under
16 Iowa Code, correct?

17 A. In thinking about the answer, I mixed up which
18 state you were asking me was not similar to which. I'm
19 sorry.

20 Q. Minnesota and Iowa. We're talking about the
21 MnSOST-R, and I'm talking about how they measure recidivism
22 as compared to the definition of the Iowa Code.

23 A. Yeah, in Iowa, the main difference is that
24 basically any sexual contact with a child is included in
25 both. Certain types of sexual attacks on adults are

1 included in both. The Iowa Code includes exposing oneself
2 under various circumstances to strangers, for instance.
3 That is not included in Minnesota's code. And Minnesota
4 lists some very unusual ones that Iowa doesn't, such as sex
5 with a corpse.

6 Q. And the publishers of the MnSOST-R did not break
7 down different types of offenses they considered recidivism
8 and compare it to the offenses in the Iowa Code, did they?

9 A. They did in effect describe within their code what
10 offenses they were including. They did no comparison to
11 anybody else anywhere, including Iowa, of which I'm aware.

12 Q. So there could be behaviors in Minnesota which the
13 developers of the MnSOST-R considered recidivism which may
14 not be sexual offenses in Iowa, isn't that correct?

15 A. There could be a small number of those, as I was
16 describing. The Iowa Code is actually more inclusive, by
17 various hands-off offenses that are in the category of
18 exposing genitals. But yes, there are at least a handful --
19 wrong. There are -- there is at least the possibility that
20 there were some number of cases in Minnesota that would not
21 be included in Iowa's law.

22 Q. Really don't know the number, do you?

23 A. I do not know a number.

24 Q. Okay. Thank you. Now, the RRASOR and Static-99,
25 did the authors compare the different jurisdictions from

1 which samples were taken and look at what constituted
2 recidivism in those jurisdictions and compare that to Iowa?

3 A. There were no comparisons to Iowa involving any of
4 these pieces of research, to my knowledge.

5 Q. Have you compared the laws in Wales and Canada and
6 England and try to determine that they would find recidivism
7 the same way as the Iowa Code does?

8 A. In a general sense, but not a specific
9 case-by-case sense.

10 Q. So you really don't have a specific number or
11 percentage of how many of the samples the authors considered
12 as recidivism versus whether they would consider recidivism
13 in Iowa. You really don't know, do you?

14 A. Although I would not have a specific number, the
15 proportion of --

16 Q. That was my question. Do you have a specific
17 number or do you know a specific number?

18 A. I would have a reasonable perspective on
19 proportion. I have no number.

20 Q. Now, would you agree that any instrument such as
21 the risk assessment instruments that you're attempting to
22 apply, to get the most accurate result, that should be
23 normed in the particular population to which you're
24 attempting to apply it?

25 A. That's a difficult question to answer. I don't

1 know that your question has an answer as asked. If you were
2 to do a test, for instance, in Iowa with any of these
3 instruments, when you finished that test and then applied it
4 to somebody else, the time period has changed, in which case
5 things could have changed along the way in terms of
6 prosecutorial practices or ability to detect recidivism, and
7 so there is no way in any applied science to directly test
8 to the population you're going to apply it. There's always
9 some degree of applying results from elsewhere to the
10 current situation.

11 Q. So because of a time gap, because things change
12 during a period of time, whatever attempts you have to try
13 to apply to a particular population may be invalid or not as
14 accurate, would that be correct?

15 A. It is correct to say that it is possible that that
16 is true. At the same time, one -- if you take that argument
17 to its extreme, that would mean that we never know anything
18 through science. So obviously there's -- taking it to
19 extreme is not sensical either.

20 Q. But it certainly is possible, or a consideration,
21 true?

22 A. Applying results from any other group, whether it
23 be from the same state in the example you're talking about
24 or a different location, et cetera, it's always an issue
25 about how directly it applies to the case you're using it

1 with.

2 Q. I was talking about this time delay that you're
3 talking about. That certainly could be a factor in the
4 accuracy, correct?

5 A. Are you asking could it be? Yes, it's a
6 theoretical possibility it could be addressed.

7 Q. It's a possibility you raised?

8 A. Yes. Yes.

9 Q. And the study you talked about as far as the
10 RRASOR and Static-99?

11 A. Those were for a long time period, like 20-some
12 years, correct. The different follow-up -- the different
13 times at which people were released spanned a period of at
14 least 20 years for the RRASOR. So it had numerous samples
15 coming from different time periods.

16 Q. And the laws of those countries and jurisdictions
17 could also have changed, just as you indicated the laws in
18 Iowa could change, right?

19 A. That's certainly a possibility.

20 Q. So that certainly should be a consideration when
21 you're looking at these instruments, right?

22 A. I do consider that. One of the major conclusions
23 I draw is that the fact that it seemed to work across time
24 periods and across jurisdictions.

25 Q. Now, these instruments, in coming up with

1 percentages, don't give you a precise percentage, do they?
2 Isn't there a margin of error, plus or minus, around those
3 percentages?

4 A. I'm sorry?

5 Q. Isn't there a plus or minus? I think that's the
6 term you used in one of your evaluations. You said it's
7 important to keep in mind there is a plus or minus around
8 these percentages.

9 A. Yes. If you look at what is written in the
10 original write-up of the developmental research, they do not
11 talk about plus and minus in that way. On the other hand, I
12 am well aware that there are potentially different sources
13 of error that I'm calling plus and minus, in the same way we
14 think about a Gallup Poll adding plus or minus figures to
15 whatever percentages they come up with, that's something
16 based on what they call error and sampling.

17 Q. One of the ways they build in error is the
18 confidence interval?

19 A. Yes, that's a formal term, what I was getting at,
20 when the Gallup Poll, they talk about plus or minus three
21 percent or four percent when they're saying how many people
22 are going to vote for which presidential candidate. That's
23 a confidence interval, yes.

24 THE COURT: Counsel, I think we're going to break
25 at this time for a noon break. The Court has a commitment.

1 I know we would like to get through this witness, but I
2 don't see that it's going to be done shortly. So we'll take
3 a noon recess at this time and reconvene at 1:30.

4 You may step down.

5 (Trial recessed at 11:50 a.m.)

6 (Trial resumed at 1:29 p.m.)

7 THE COURT: Mr. Bal?

8 MR. BAL: Thank you, Your Honor.

9 Q. Dr. Doren, I'll try to remember where I left off.
10 I think I was talking about standard error of measurement
11 and confidence interval before I left.

12 A. You had mentioned confidence interval. I don't
13 think you mentioned standard error yet.

14 Q. I'm headed there. Before we start that, let me
15 clarify a couple of your scores. On the MnSOST-R, I believe
16 it's page 21 of your scoring sheet, I had a question about
17 Item No. 10, which is, "Is there evidence of adolescent
18 antisocial behavior in the file?"

19 A. Yes.

20 Q. And it looks like you give it a minus one, which
21 is no -- minus one, which means there's no indication of
22 that, is that right?

23 A. That is the score I gave, yes.

24 Q. Did you score it as minus one? Is there something
25 in the bracket that says "bod"?

1 A. Again, that's my own note to myself, my
2 abbreviation for benefit of doubt.

3 Q. Okay. All right. I wasn't sure you actually
4 scored it, because it seems to indicate there's no
5 indication of adolescent antisocial behavior. So, okay,
6 "bod." Did you score that differently in the PCL-R scoring
7 sheet, that item?

8 A. I'm not sure which item you're referring to. If
9 you're referring to item 12, early behavior problems?

10 Q. What is it called?

11 A. Early behavior problems. It's No. 12. That would
12 be specifically before age twelve, not adolescent.

13 Q. Okay. How about item 18 of the PCL-R, juvenile
14 delinquency?

15 A. Juvenile -- no, I still scored that as a zero,
16 which means there was not an indication of major or serious
17 offense before age 18 resulting in formal contact with the
18 law.

19 Q. Okay. How about adolescent antisocial behavior?
20 How is that defined? What is adolescence?

21 A. In the Minnesota, you mean? Approximate ages 13
22 to 17 inclusive.

23 Q. Okay. All right. Thanks for clarifying that.
24 Now I'm going to go back to the statistics.

25 A. Okay.

1 Q. I believe I had asked you a question about
2 confidence interval and whether that introduced error into
3 the instruments.

4 A. It doesn't introduce error. It's a description of
5 an assessment of the degree of error, of one type of error.
6 Like I mentioned the Gallup Poll, the plus or minus around a
7 percentage that you hear about the number of people who are
8 going to vote for a certain candidate.

9 Q. Okay. So is it your statement that the confidence
10 interval is the same as the plus or minus percentage?

11 A. In the way that I'm talking about it around a
12 percentage outcome, yes. I mean, there are other types of
13 errors that use a plus or minus.

14 Q. How would you refer to the plus or minus, that
15 terminology? Is there a more scientific term for that?

16 A. There are different kinds of error. And the one
17 that pertains to confidence interval, in which case the term
18 is confidence interval, is the interpretive percentage for
19 any given score on the actuarial instruments. So, for
20 example, Mr. Howell's score of two on the RRASOR had a 21
21 percent reconviction likelihood within ten years. That
22 would be plus or minus a certain percentage.

23 Q. What is that percentage?

24 A. My understanding, that's approximately about two
25 and a half percent either direction.

1 Q. Plus or minus two and a half percent --

2 A. Well, we can round it to three percent. That's an
3 approximate number.

4 Q. Around 21 percent?

5 A. 21, give or take 3. That give or take is a
6 confidence interval.

7 Q. And the give or take, that represents a range in
8 which Mr. Howell could fall, correct?

9 A. That would be one interpretation of the range.
10 It's not an exact interpretation of it. An exact
11 interpretation, what's called a 95 percent confidence
12 interval, just what I'm using, has to do with the process of
13 sampling, of testing with different samples of people,
14 different groups of people, and there's going to be some
15 variability in what you find. So if you sample over here
16 and then over there and then over here, the people with the
17 score of two, as my example goes, and the RRASOR over here
18 will have a certain percentage of them will recidivate in
19 the way described over ten years. This percentage won't be
20 exactly the same as that, and this one won't be exactly the
21 same as either of them, but they vary in a predictable way.
22 The confidence interval is most directly interpreted as 95
23 percent of the time you sample, the sample will fall in that
24 range for a score of two.

25 Q. So it's saying that 95 percent of the time it'll

1 fall in this range, not 100 percent, correct?

2 A. That's correct, yes, 95 percent.

3 Q. So there's error in just the interval itself,
4 correct, just the interval we're talking about, plus or
5 minus, that range?

6 A. Well, in the way we're describing it, yes. You
7 can figure confidence intervals at any percentage you want,
8 at 70 or 99 or whatever you want.

9 Q. I understand that. But it's not 100 percent, so
10 there's some error just by virtue of the fact it's a 95
11 percent confidence interval?

12 A. Okay. Yes.

13 Q. Now, there's another type of error which is based
14 on the sample size, correct?

15 A. I need for you to explain further what you mean.

16 Q. Okay. Generally speaking, would you agree that
17 the smaller the sample, the larger the plus or minus around
18 any score?

19 A. Around the score or around the percentage
20 attached --

21 Q. Around the percentage.

22 A. That's true, but that's included in the figuring
23 of the confidence interval. It's the same error. The way
24 the confidence interval is computed takes into consideration
25 the number of people that you've sampled. And the smaller

1 your sample, the larger the range of possibilities.

2 Q. So the smaller the sample, the larger the range
3 around any particular percentage?

4 A. That's correct, uh-huh.

5 Q. And that is what I was trying to say. Maybe I
6 didn't say it clearly enough.

7 A. Okay.

8 Q. And the larger the sample size, the smaller the
9 range around a particular score.

10 A. In general, that's correct, yes.

11 Q. So that's talking about the range around a
12 particular percentage?

13 A. That's correct.

14 Q. And the confidence interval you're talking about
15 talks about how certain you can be it is actually within
16 this range, whether small or large, right?

17 A. In a manner of speaking. It's not a direct
18 translation to how certain I can be. It is a statistical
19 assessment, estimation of, the example I was giving, that 95
20 percent of the time, no matter where you sample from, the
21 score will be associated with something in that range.

22 Q. In that range. But the range itself can also vary
23 depending upon the size of population, the sample size?

24 A. In terms of how it's computed, yes.

25 Q. Now, the sample size for the RRASOR, Static-99

1 exceeded 1,000, did it not?

2 A. Yes.

3 Q. And I believe you indicated the plus or minus for
4 the RRASOR is approximately two and a half to three percent?

5 A. I indicated that for a score of two. Actually, it
6 varies per score.

7 Q. Okay.

8 A. It's not -- there is no such thing as a confidence
9 interval for the whole instrument. It's per score that you
10 have to figure it.

11 Q. Okay. What's the average for the RRASOR, the
12 confidence interval?

13 A. For the ten-year figures, zero, one and two are
14 all in the two to two-and-a-half range, give or take two,
15 two-and-a-half percent. Three, if I remember correctly, is
16 somewhere around a four percent range. Four goes up to
17 about eight and a half, and a five goes up to about twelve.
18 And there are just too few cases that are ever found with a
19 six. We don't know what that is.

20 Q. Because there's too few cases, the info around any
21 percentage score is probably going to be larger than in the
22 smaller scores.

23 A. Absolutely correct. And one reason why the lower
24 numbers have smaller confidence intervals is more people
25 fall into them so we have more people to test.

1 Q. Per the Static-99, what would be the plus or minus
2 for the score that you gave to Mr. Howell?

3 A. A score of six on the Static-99 for the 15-year
4 figure, which is the farthest out, is approximately eight
5 and a half, approximately eight, eight and a half.

6 Q. How about a score of five?

7 A. If I remember correctly, that one showed a slight
8 peculiarity again because of the sample size issue, but it's
9 actually larger than for the six. It was somewhere closer
10 to ten or eleven. I'm not certain.

11 Q. And both of those instruments, the RRASOR and the
12 Static-99, had samples in excess of a thousand?

13 A. Original developmental samples, yes.

14 Q. What was the sample size for the MnSOST-R?

15 A. Original development? 256.

16 Q. Now, Dr. Karl Hanson, who developed the RRASOR and
17 Static-99, actually recommends that in developing
18 instruments of this type you have samples of at least a
19 thousand, right?

20 A. I think you're referring to something he wrote in
21 1988. That's probably what he said.

22 Q. He has made that statement in the past, correct?

23 A. I think that's true. I would certainly not be
24 surprised if he said that.

25 Q. I deposed you on a number of occasions previously,

1 Doctor, correct?

2 A. Yes. I think what you're referring to is a
3 publication from 1988, I think is what you're asking me
4 about.

5 Q. Okay. So the MnSOST-R is approximately 256?

6 A. The original developmental sample, yes.

7 Q. And what is the plus or minus for the MnSOST-R?

8 A. That again depends on the score category. There's
9 no one confidence interval for a whole instrument.

10 Q. Okay. How about for the score he gave to
11 Mr. Howell, a score of eight?

12 A. I believe that's approximately 11 percent, give or
13 take.

14 Q. Now, you indicated --

15 A. Again, that's because of smaller numbers.

16 Q. And you gave an opinion earlier that more likely
17 than not based on whatever score Mr. Howell got on that that
18 he will re-offend, correct, based on a score of eight?

19 A. I don't think I stated that purely based on the
20 one actuarial score that I would say that Mr. Howell's risk
21 is more likely than not or is not more likely than not. I
22 don't believe I made such a statement.

23 Q. Is there a percentage likelihood associated with a
24 score of eight on the MnSOST-R?

25 A. Yes.

1 Q. What is that percentage?

2 A. There are actually three different ones, but I
3 believe the one to be most accurate is 54 percent likelihood
4 for rearrest, for a new hands-on sexual offense, within six
5 years after incarceration.

6 Q. And that's rearrest, right?

7 A. That is correct.

8 Q. And the margin for that once again is what?
9 Eleven?

10 A. I believe it's plus or minus eleven.

11 Q. Okay. And the -- that percentage is actually not
12 just for a score of eight. It's for scores between eight
13 and ten, correct?

14 A. Eight to twelve actually is the score category.

15 Q. Eight to twelve?

16 A. Yes.

17 Q. So there's people in that range who got scores
18 higher than eight. Some got nine, some got ten, some got
19 eleven, some got twelve, correct?

20 A. In the research that would compute the percentage,
21 that's correct.

22 Q. And for each of the ones that score higher than
23 eight, nine, ten, eleven, twelve, there are different
24 percentages associated with that, correct?

25 A. Not technically.

1 Q. Well, is the person who gets a twelve versus a
2 person who gets an eight on the MnSOST-R more likely to
3 recidivate?

4 A. I have different answers to the question. I'm not
5 sure I can answer it directly.

6 Q. Based on the data from Dr. Hanson when he
7 developed this instrument --

8 A. Dr. Epperson, you mean.

9 Q. I'm sorry. That was Dr. Epperson. Was there a
10 difference between the recidivism rates of people who got 12
11 versus scores down to 8?

12 A. Not a statistically significant one, so that's one
13 reason that Dr. Epperson was recommending collapsing those
14 scores into one category.

15 Q. And the reason he collapsed them was because his
16 sample was too small to be statistically significant for
17 each and every score, correct?

18 A. That would be one interpretation.

19 Q. Well, isn't that his interpretation? Isn't --

20 A. No, I don't think that's true. The way in which I
21 saw him present these data included a graph, which I will
22 describe for you if you wish, which basically showed that
23 there didn't seem to be much difference among those scores
24 and recidivism rates, as different from less than that or
25 more than that. It wasn't --

1 Q. Not much difference. Was there any difference, if
2 you recall?

3 A. Oh, I'm not certain I recall. I would guess that
4 it was not exactly the same. Things are rarely exactly the
5 same in this kind of work, but not statistically different.

6 Q. Now, the development of all these instruments, the
7 developers use something like base rate, correct?

8 A. That's included in the process of doing the
9 research.

10 Q. Right. But there is a thing called base rate
11 which is factored in?

12 A. Yes.

13 Q. And generally speaking, the higher the base rate,
14 the higher the percentage scores you're going to have for
15 each instrument, correct?

16 A. The higher the percentages attached to each score?

17 Q. Yes.

18 A. On average, that would be true. It's not
19 necessarily the case in all cases. On average it is true.

20 Q. Now, Dr. Epperson recommends a certain base rate
21 when interpreting the MnSOST-R, correct?

22 A. That's fair enough, yes. Not exactly, but I'll go
23 with that, yes.

24 Q. And you, yourself, don't use that base rate. You
25 actually use a lower base rate, correct?

1 A. See, Dr. Epperson has developed data relative to
2 three different base rates, and I use a second one that he
3 provided.

4 Q. And of each of the three different base rates, the
5 percentage likelihood of recidivism changes?

6 A. For each of the score categories, that is correct.

7 Q. And the base rate that you use is in the middle.

8 A. Compared to the other two, that is correct.

9 Q. And the percentage likelihood using your base rate
10 is lower than the highest base rate Dr. Epperson recommends?

11 A. The number based on the highest base rate, that's
12 correct, the one Dr. Epperson talks about.

13 Q. It is higher than the lower base rate, correct?

14 A. That is correct.

15 Q. What is the lowest base rate in the MnSOST-R?

16 A. For the data I provided, based on a 15 percent
17 base rate, that would again be for six-year rearrest for new
18 sexual offense, hands-on sexual offense.

19 Q. And using that base rate, what is the percentage
20 likelihood of recidivism? Lower than the 54 percent you
21 talked about?

22 A. It's lower. I don't recall what it is.

23 Q. And if it is lower, then you still have the margin
24 of error of around 11 percent, correct?

25 A. It would not be exactly the same 11 percent. It

1 would still be a range around it, but statistically what
2 would be occurring would make it smaller even with the same
3 sample sizes.

4 Q. Okay. And the RRASOR and Static-99 also have a
5 base rate associated with them, correct?

6 A. That were part of the developmental research, yes.

7 Q. What was the base rate used for the RRASOR, do you
8 know?

9 A. Approximately -- I'm trying to recall. Just a
10 moment. I did know this. Two different figures are
11 sticking in my mind. I'm not certain about either one. The
12 figures sticking in my mind are either 19 or 23 percent, but
13 I don't remember which.

14 Q. Okay. Somewhere in between there?

15 A. It would be in that range.

16 Q. How about for the Static-99? The same?

17 A. It would be very close to the same, whatever that
18 was.

19 Q. And these are base rates for a particular
20 population, correct, the developmental sample?

21 A. A set of samples, more accurately, eight samples
22 for the RRASOR, it's across eight samples, and across four
23 samples for the Static-99.

24 Q. But base rate for the samples you're talking
25 about, these are samples, for example, Wales and England and

1 Canada and, you know, from which the statistics were taken,
2 right?

3 A. Yes. Yes.

4 Q. Same for the MnSOST-R?

5 A. For its own population.

6 Q. Its baseline is based on the population of
7 Minnesota, correct?

8 A. Yes.

9 Q. Do you know what the base rate for recidivism for
10 sex offenses is in Iowa?

11 A. I'm aware of one study in that regard.

12 Q. Okay. And what is that study, first of all?

13 A. It was a study that's been posted on the internet,
14 done by the Department of Corrections, I believe.

15 Q. Okay. Is that entitled the Iowa Sex Offender
16 Registry and Recidivism, per chance?

17 A. I believe that's it.

18 Q. Well, actually, why don't I just show it to you.
19 Let me show you what I have marked as Respondent's
20 Exhibit B. Please look at that and tell me if that's the
21 study to which you're referring.

22 A. Yes. Without checking that all pages are here,
23 this is the right study.

24 Q. And do you recall what the base rate for
25 recidivism is in Iowa according to that particular study you

1 referred to?

2 A. I know what the statistics are for that study,
3 yes, and that sample.

4 Q. And what is the -- let me strike that. That study
5 looked at recidivism rates between people who are required
6 to register as sex offenders and ones that are not required
7 to register, correct?

8 A. That was part of the purpose of the study, yes.

9 Q. And the people who were required to register had a
10 slightly lower recidivism rate than the people who were not
11 required to register. Would you agree with that?

12 A. I don't remember that that was statistically
13 significant. It was the difference between three percent
14 and three-and-a-half percent.

15 Q. Three and three-and-a-half percent being the
16 recidivism rate for sex offenses?

17 A. By those two groups, within the time frame that
18 they were following up using the measure that they were
19 using.

20 Q. Let me just backtrack a little bit and make sure
21 I'm covering everything before I wind up here. In your
22 preliminary report of November 18, 2000, you talked about
23 diagnosis of personality disorder and the antisocial
24 features, paraphilia, NOS, nonconsent and alcohol abuse?

25 A. I did talk about all three of those.

1 Q. As possible diagnoses at that point?

2 A. That is correct.

3 Q. And you also indicated that only the paraphilia
4 NOS out of all these three would potentially show a
5 predisposition for recommitting sex offense?

6 A. No, I don't believe that's what I said.

7 Q. Isn't that what you stated on page 2?

8 A. On page 1 I had already concluded that personality
9 disorder NOS did predispose him to commit sexually violent
10 acts.

11 Q. Right.

12 A. Then of the other two, paraphilia disorder and the
13 alcohol abuse, of those two the only one that would
14 potentially predispose as well is the paraphilia.

15 Q. Okay.

16 A. The original question included all three.

17 Q. I must have misstated it. But the alcohol abuse
18 does not predispose Mr. Howell to re-offend?

19 A. In my opinion, it does not specifically predispose
20 him to commit sexually violent acts.

21 Q. When reviewing the offenses for which Mr. Howell
22 has been convicted, there were none in which alcohol was not
23 involved, is that correct?

24 A. Are you referring to sexual offenses or any
25 offense?

1 Q. Well, let's talk about sexual offenses.

2 A. To my knowledge, that's accurate, in terms of his
3 having used beforehand.

4 Q. So when Mr. Howell was not drinking, he's not --
5 he did not commit a sex offense?

6 A. I do not have record of him having or any
7 allegation of him having committed a sexual offense where it
8 is clear he did not drink.

9 Q. Per the Static-99, what is the percentage for
10 reconviction associated with a score of six?

11 A. Do you want five- and ten- and fifteen-year
12 figures or just the fifteen?

13 Q. Well, let's talk about five years. Is that about
14 39 percent?

15 A. It's approximately 39 percent over a five-year
16 period for being reconvicted of a new sexual offense after
17 incarceration.

18 Q. And that's the plus or minus whatever percentage
19 you talked about?

20 A. Right.

21 Q. And 54 percent for 16 years, correct?

22 A. Would be approximately accurate, yes.

23 Q. Also the plus or minus whatever percent you're
24 talking about?

25 A. That's correct.

1 Q. And MnSOST-R, 54 percent, plus or minus 11
2 percent?

3 A. That would be approximately accurate, yes.

4 Q. Each of these instruments look at the age of the
5 respondent, do they not?

6 A. All three of those instruments have age in their
7 consideration and part of the scoring, yes.

8 Q. And depending on the age, he may or may not get a
9 point.

10 A. Yes, that's correct. A point being a risk sign,
11 yes.

12 Q. And generally speaking, the higher the points, the
13 higher the percentage of the rate of recidivism?

14 A. That's the way the scales work. The more points
15 you accumulate, the higher the risk is assessed. The lower,
16 the lower the risk is assessed.

17 Q. Now, Dr. Karl Hanson, the developer of the RRASOR
18 and co-developer of the Static-99, has done additional
19 research on the correlation between age and sexual
20 recidivism, correct?

21 A. Yes, he has.

22 Q. And Dr. Karl Hanson is with the Department of the
23 Solicitor General of Canada, correct?

24 A. That's correct. He's a research psychologist for
25 the solicitor general.

1 Q. Let me show you what I've marked as Respondent's
2 Exhibit C. Is that the report by Dr. Hanson published
3 sometime in 2001 where he looks at correlation between age
4 and sexual recidivism?

5 A. That is what he has done and posted on the
6 solicitor general web site earlier this year, that's
7 correct.

8 Q. Is this one of the most recent pieces of research
9 on that correlation that you're aware of?

10 A. It very well could be. I don't recall anything
11 else newer. It is clearly the newest that combines data
12 from a set of samples, not just one sample, looking at that
13 issue.

14 Q. And combined data from several samples, that would
15 increase the validity or reliability of the results, would
16 it not, because you can apply it to more populations?

17 A. It does not affect the reliability in a
18 statistical sense. It is related to validity and the
19 concept of generalizing it to other people.

20 Q. Now, Dr. Hanson in this study breaks down sex
21 offenders in different categories, does he not?

22 A. Yes, he does.

23 Q. For example, one group is rapists?

24 A. Yes.

25 Q. One group are incest offenders?

1 A. Correct.

2 Q. And one group deals with extra-familial, meaning
3 outside-the-family, child molesters?

4 A. Correct again.

5 Q. And Mr. Howell is not an incest offender, correct?

6 A. Not to my knowledge.

7 Q. And he's not an extra-familial child molester?

8 A. Not to my knowledge.

9 Q. So out of those three categories, the only one
10 that applies to him would be rapist?

11 A. That is correct. My understanding of Mr. Howell's
12 history is he would fit into the definition that Dr. Hanson
13 was using for that category.

14 Q. I refer you once again to Respondent's Exhibit C.
15 Unfortunately, these do not have page numbers because this
16 was downloaded from the internet. But let me refer you to
17 what is referred to as figure 1. And I would ask you to
18 relate that to Respondent's Exhibit E.

19 A. They certainly are very close if not exactly the
20 same.

21 Q. Is Respondent's Exhibit E a fair and accurate
22 representation of figure 1?

23 A. It appears to be, yes.

24 Q. Let me also refer you to Respondent's Exhibit D,
25 ask you to compare that to Table 2 of Respondent's

1 Exhibit C.

2 A. These would again appear to be the same except
3 that Exhibit D has a couple extra lines on it.

4 Q. And I was going to ask you about those couple
5 extra lines.

6 A. Okay.

7 Q. Let me refer you to Exhibit D once again.

8 Mr. Howell is in the age range 45 to 49?

9 A. He is currently, that's correct.

10 Q. And Dr. Hanson has actually broken down age groups
11 into categories smaller than, for example, 40 to 50, has he
12 not?

13 A. In this diagram he has, yes.

14 Q. And one of the lines that you're talking about
15 goes directly up from the 45 to 49 age range?

16 A. Yes.

17 Q. And it connects to the graph line associated with
18 rapists, correct?

19 A. That is correct.

20 Q. And then it goes perpendicularly to the left to --
21 which would be the Y axis, which is entitled recidivism
22 rate, correct?

23 A. Yes.

24 Q. And intersects that line at approximately 11, say
25 12 percent. Would you agree with that?

1 A. That's a fair interpretation, yes.

2 Q. Now, you testified in your direct examination that
3 because one of the offenses -- or the last offense of which
4 Mr. Howell was convicted was at age 40, you did not consider
5 his subsequent age as a factor.

6 A. It was not a factor for which I would lower the
7 risk, that's correct.

8 Q. But you essentially grouped Mr. Howell into age
9 range 40 and over, correct, 40 to 50?

10 A. I did in what I was describing. The difference in
11 the two subcategories is very minor. 40 to 44, 45 to 50 is
12 very minor.

13 Q. The different ranges as far as years you give for
14 the various instruments, percentages for five years, six
15 years, so on, one of them is for 16 years, correct?

16 A. The farthest out that you can take data from any
17 of the instruments is 16 years for the Static-99.

18 Q. And for Mr. Howell's score, that was associated
19 with a 54 percent reconviction rate?

20 A. Correct.

21 Q. In 16 years Mr. Howell would be in his fifties?

22 A. No, he would be 63.

23 Q. He would be 63. Okay. Now, let me show you
24 Respondent's Exhibit D once more. Is there an age range
25 there which encompasses age 63?

1 A. Yes, indeed.

2 Q. And if you take where that age range intersects
3 with the graph line for rapists and go over to the
4 recidivism rate, it essentially is zero, correct?

5 A. That's correct.

6 Q. You indicated earlier that the first thing you do
7 is score Mr. Howell on these actuarial instruments?

8 A. Once I've gathered all the data I'm going to, yes,
9 given -- that's what I did in his case. It is not
10 invariable that I do that. I first have to decide that the
11 instruments are appropriate to the case. In this case I
12 did, and that's what I did with him, yes.

13 Q. So all these percentage scores we've been talking
14 about, when you say they anchored your subsequent decision,
15 that essentially means you decide whether the subsequent
16 findings are consistent with these scores?

17 A. Not exactly, no. As we described in the testimony
18 already, there's basically -- these represent a range, and
19 so it helps me eliminate things that are above that range
20 and eliminate things below that range as my starting place.
21 Then I look at things that are potentially signs of
22 increased risk and signs of decreased risk and take those
23 into consideration in the overall risk assessment. I don't
24 necessarily look for a consistency with these results. I
25 look for things that would make me believe I need to adjust

1 from those actuarial results either up or down, or in some
2 situations some of both.

3 Q. Well, would you say that these initial scores on
4 these risk assessment instruments predispose you towards a
5 particular finding?

6 A. Well, yes and no. The -- I'm not sure how to
7 interpret predispose. Maybe I need that clarified.

8 Q. Sure. Let's say that you scored Mr. Howell on
9 these instruments and the percentages came up with
10 associated with 20 percent risk of recidivism.

11 A. Across the board?

12 Q. Across the board. Well, maybe some differences,
13 maybe a little less, but say on average across the board.

14 A. Okay.

15 Q. Then if you found additional information, would
16 your final conclusions vary from that 20 percent estimate or
17 would they be anchored in that initial finding?

18 A. It would be anchored in that initial information
19 the same way it would be no matter where it fell. But I
20 would still be looking at all the other information. In a
21 case like you're describing, if I found that the actuarial
22 information indicated 20 percent or less across the board,
23 then I understand my task, to comparing against a standard
24 of more likely than not. Unless I found some particular
25 reason to say the risk is much higher than the actuarials, I

1 would stay anchored with the actuarials.

2 Q. For example, if Mr. Howell kept telling you, "As
3 soon as I get out I'm going to rape as many people as I
4 can"?

5 A. That would be something I would have to strongly
6 take into consideration. The fact is I've had three people
7 say that to me. Two people I believed and I had one person
8 I had reason to not believe his statement and had to check
9 it out further.

10 Q. But Mr. Howell did not make any such statements?

11 A. No, he did not.

12 Q. You indicated based on some research there are
13 essentially two pathways for re-offense?

14 A. At least two.

15 Q. At least two. Well, you talked about two.

16 A. Yes, and those are the better researched ones.
17 They're indications of others.

18 Q. Let's talk about the ones that are better
19 researched. The first one did not apply to Mr. Howell, and
20 that dealt with whether he's driven by sexual interests,
21 correct?

22 A. Sexual interests that are illegal.

23 Q. Right.

24 A. Correct. Well, not just --

25 Q. Such as sex offenses?

1 A. That he's not driven internally for desires that
2 are illegal. So that would include he does not have
3 interests, that I'm aware of, interests with sexual contact
4 with children. I ended up ruling out a sexual disorder
5 where he would be interested specifically in the
6 nonconsenting interaction. He doesn't have -- show sexual
7 sadism. He's not interested sexually in hurting people.
8 That's not what turns him on. Those would be examples of
9 that type of dimension.

10 Q. When you say he's not interested in these, these
11 are not motivating factors or driving factors?

12 A. As I understand it, that is correct.

13 Q. Now, the second pathway you said involved a person
14 being criminal in a variety of ways?

15 A. That's a typical pattern these people show that
16 are high risk in that dimension, yes.

17 Q. But Mr. Howell does not suffer from a sex
18 disorder, right?

19 A. I diagnosed no sexual disorder for him. I
20 initially left that open, as we already talked about. That
21 was the paraphilia NOS, but I since ruled that out.

22 Q. You also administered what is called a PCL-R to
23 Mr. Howell?

24 A. Yes, I did, though I need to clarify. Administer
25 does not mean I gave him something to fill out. It's

1 something that by design the person using it fills out
2 himself or herself.

3 Q. And is there a recommended cutoff score after
4 which a person is considered psychopath or considered
5 psychopathic?

6 A. Research definition that Robert Hare uses and has
7 in the manual for that instrument is 30 or higher.

8 Q. And Dr. Hare is the developer of this instrument,
9 correct?

10 A. Yes.

11 Q. Initially when you administered this instrument to
12 Mr. Howell, he got a score of 28, correct?

13 A. Actually, there were three steps in the process.
14 The first one is I did not consider myself having enough
15 information. That's in the first report. In the second
16 report I had a score of 28, and my final report I had a
17 score of 30.

18 Q. In your preliminary report, the update off the
19 preliminary report on April 17 of 2001, PCL score for
20 Mr. Howell was 28?

21 A. Yes, that was the middle one, yes.

22 Q. And according to recommendation by Dr. Hare, the
23 developer of this instrument, that would not have classified
24 Mr. Howell as psychopath or psychopathic?

25 A. Technically he did not meet the threshold of 30

1 plus, if that's what you're asking me.

2 Q. That is what I'm asking. Thank you. Now,
3 psychopathy is defined by the PCL-R as not a diagnosis
4 contained in the DSM-IV, is it?

5 A. Not in that form, that's correct.

6 Q. And it is not a diagnosis you're making of mental
7 abnormality in this court, are you?

8 A. I'm not making a diagnosis of psychopathy, that's
9 correct.

10 Q. The only diagnosis you made is the antisocial
11 personality disorder?

12 A. That's correct.

13 Q. Now, Mr. Prosser asked you about how you arrived
14 at your conclusions on the RRASOR, Static-99, MnSOST-R, I
15 believe PCL-R, as well as your diagnosis of the mental
16 abnormality of antisocial personality disorder, and you went
17 through a number of factors which were the basis for your
18 conclusions. Do you recall that?

19 A. I recall a number of statements. I'm not sure to
20 which you're referring. The general discussion, yes.

21 Q. The general discussion. But you indicated certain
22 facts or certain things which supported your conclusions,
23 which were the basis for your conclusions.

24 A. I did that at various times, yes.

25 Q. Is there anything in addition to what you've

1 already testified to which you would like to state at this
2 point was the basis for, let's say, the antisocial
3 personality disorder? Have you indicated to the Court all
4 the facts which were the basis for that diagnosis?

5 A. No.

6 Q. What additional facts do you consider when making
7 the diagnosis of antisocial personality disorder for
8 Mr. Howell?

9 A. In making that diagnosis, I follow the --
10 basically the outline of criteria in the diagnostic manual.
11 The first -- there are four basic segments to that. The
12 first of those is that he -- the individual needs to have
13 shown at least three out of seven different categories of
14 characteristics. In Mr. Howell's case, in my view we met
15 four, not the minimal three, of those seven.

16 One of those is called failure to conform to
17 social norms, as demonstrated by grounds for arrest. A
18 second has to do with what's called irritability or
19 aggressiveness. The third has to do with reckless disregard
20 for others and his own welfare. And the fourth is entitled
21 lack of remorse.

22 There are three other characteristics that are
23 listed there that I did not find to apply to Mr. Howell.

24 A second category is that the individual is at
25 least 18 years old. That's clearly true in this situation.

1 A third category is that the person demonstrates
2 evidence of a conduct disorder, which is a formal concept,
3 another diagnosis, before age 15. In that case what I had
4 from Mr. Howell's own report was that he was involved in
5 bullying and some fighting during grade school.

6 And then the last category is that the antisocial
7 behavior does not occur specifically during periods of, let
8 me say, certain classic mental illnesses, schizophrenia and
9 a certain mood disorder. And that clearly is the case for
10 Mr. Howell, is that he's never been diagnosed with any of
11 those types of disorders.

12 Q. Let's talk about this bullying during grade
13 school.

14 A. Yes.

15 Q. Do you have a specific instant or instances to
16 which you're referring?

17 A. Specific instances, no. I have Mr. Howell's
18 report to that effect.

19 Q. How many instances did he talk to you about?

20 A. I wouldn't have a count on that. The question
21 was, "Looking back on childhood, were you considered a
22 bully?" And the answer, "Probably." And then he added a
23 little bit afterwards, "I don't know, in grade school."

24 Q. So he may have been a bully in grade school?

25 A. Yes. And that's listed under conduct disorder as

1 one of the characteristics in the diagnostic manual, as is
2 fighting.

3 Q. And did you ask Mr. Howell which specific
4 behaviors he considered as being a bully?

5 A. No, I did not.

6 Q. Or which incidents he considered being a bully?

7 A. I did not ask that.

8 Q. A bully is a term that's defined in the DSM-IV,
9 correct?

10 A. It is simply used as a descriptor. I would not
11 say it's defined.

12 Q. You didn't clarify what Mr. Howell meant as a
13 bully?

14 A. I don't recall clarifying it, that's correct. I
15 did not.

16 Q. You don't know that what he meant by bully was
17 that he just stared at people for lengthy periods of time
18 until they looked away? You don't know if that's what he
19 meant, do you?

20 A. What I know is that he described himself as
21 probably being a bully. That's what I know.

22 Q. I understand that. That's not my question.

23 A. I do not know the details beyond that statement.

24 Q. You have no idea what Mr. Howell is referring to
25 when he thought of himself as a bully, do you?

1 A. The only other context I have is that he was
2 involved in some fights.

3 Q. When did these fights occur?

4 A. What I know is it was in grade school.

5 Q. Grade school. We're talking about fifth grade or
6 something?

7 A. The issue for meeting the criteria is below 15.
8 In grade school would count. I didn't care what age.

9 Q. I don't care what you're talking about. I'm
10 asking, up to fifth grade?

11 A. I don't.

12 Q. You don't recall asking that?

13 A. I don't recall asking that.

14 Q. It doesn't matter if it was kindergarten, does it?
15 Did you ask him if it was in kindergarten?

16 A. No, I did not ask him that question. In answer to
17 the other question, I don't know if it matters or not. The
18 issue is evidence of that type of behavior. Obviously
19 there's a difference between the fighting that would occur
20 at five years old and the fighting that would occur at,
21 let's say, twelve years old. I did not ask him that
22 clarification.

23 Q. Did you ask about how many instances?

24 A. No.

25 Q. You didn't consider it important how many times he

1 may have gotten into fights?

2 A. I'm trying to recall the exact discussion. His
3 general descriptor about fights was about twice a year. But
4 I don't think that related to issues of being a bully. But
5 I don't have numbers beyond that.

6 Q. Twice a year for how many years, do you know? Or
7 was it just two instances you're talking about?

8 A. It was more than two instances within the context
9 of the way in which he was describing it, but I don't have a
10 number of occasions.

11 Q. And this is also -- you're not sure what grades?

12 A. Grade school.

13 Q. Grade school.

14 A. The only other thing I have relative to -- now,
15 grade school for bullying, relative to fighting was -- the
16 words were "typical early teenager" was his description. So
17 it would be early teenage years for fighting.

18 Q. Have you ever gotten in a fight when you were in
19 grade school or early teenager?

20 A. I don't recall any physical fights.

21 Q. Are you familiar with the definition of conduct
22 disorder in the DSM-IV?

23 A. Yes.

24 Q. And in order to diagnose a person with antisocial
25 personality disorder, it must be conduct disorder before the

1 age of 15, correct?

2 A. No, that's not correct. The wording is that there
3 must be evidence of a conduct disorder, which the writers of
4 the diagnostic manual have communicated upon questioning
5 that you do not need to have at least three characteristics.
6 You need to have at least one.

7 Q. And that wasn't my question. And let me rephrase
8 that.

9 A. Okay.

10 Q. Does the DSM-IV state that you must have a history
11 of some symptoms of chronic disorder before age 15 years?
12 I'll just show it to you. That would be faster. Page 702.
13 What's marked as 702 of Respondent's Exhibit F, which is
14 DSM-IV TR. It's the second paragraph there.

15 A. When looking at the criteria, the place I would go
16 would be page 706.

17 Q. Well, I'll get you there in a second. Let me just
18 ask you specifically about this page and that second
19 paragraph. Now, isn't that true that that particular page,
20 it states what I just stated earlier?

21 A. What it states here is, "For this diagnosis to be
22 given, the individual must be at least 18 years and must
23 have had a history of some symptoms of conduct disorder
24 before age 15 years."

25 Q. Is it also true that conduct disorder must be a

1 repetitive and persistent pattern of behavior?

2 A. If one's diagnosing a conduct disorder, yes.

3 Q. Well, you have to diagnose a conduct disorder or
4 make a diagnosis of personality disorder, don't you?

5 A. No, that's not accurate.

6 Q. It has some symptoms of conduct disorder, right?

7 A. Which the writers of the diagnostic manual have
8 defined as one.

9 Q. You have to have some symptoms of conduct disorder
10 in order to have a diagnosis of personality disorder,
11 correct? That's what this says here?

12 A. The words that you are reading are accurately
13 read.

14 Q. Do you agree with the words that I have read?

15 A. Not as listed in the diagnostic criteria in that
16 same manual.

17 Q. I'm asking you what you just read a few seconds
18 ago. Would you like me to bring it to you again? Does it
19 not say, "must have had a history of some symptoms of
20 conduct disorder before age 15"?

21 A. In that segment in the book it says that.

22 Q. Thank you. And that conduct disorder involves a
23 repetitive and persistent pattern of behavior. That's what
24 it said in here, isn't it, "repetitive and persistent
25 pattern of behavior"?

1 A. It uses those words, yes.

2 Q. Do you agree with the words DSM-IV used?

3 MR. PROSSER: What page are you referring to,
4 counsel?

5 MR. BAL: It's the second page of Exhibit F. It's
6 labeled page 702, second paragraph.

7 MR. PROSSER: Thank you.

8 A. The words you're reading are accurately read.

9 Q. And my question is, do you agree with the words
10 that are contained in the DSM-IV, those I just read?

11 A. When using the diagnostic manual, the process of
12 using the manual is to use the criteria listed and not just
13 the general descriptors. The --

14 MR. BAL: I object to that as nonresponsive.

15 MR. PROSSER: Your Honor, may I object? Could I
16 have the ability of the expert to answer the question that's
17 asked and not the one that counsel wants to put in his
18 mouth?

19 THE COURT: Let's ask a question.

20 Q. This particular statement on page 702, all right,
21 "conduct disorder involves a repetitive and persistent
22 pattern of behavior," that's the question I've been asking
23 for the last five questions, okay? Is that understood? You
24 understand that, Dr. Doren?

25 A. I understand that I've said many times that's what

1 it said there.

2 Q. And that particular statement as it is stated at
3 this point in the manual, do you agree or disagree with the
4 fact that conduct disorder requires a repetitive and a
5 persistent pattern of behavior?

6 A. When diagnosing conduct disorder, that is true.
7 When diagnosing antisocial personality disorder, that is not
8 necessarily the case.

9 Q. Conduct disorder they're talking about here is in
10 terms of diagnosing personality disorders, right? That's
11 where it's taken from?

12 A. Where you're reading is in the section for
13 antisocial personality disorder.

14 Q. Which is what you claim Mr. Howell suffers from?

15 A. Yes.

16 Q. And it goes on to say that -- after we talk about
17 repetitive and persistent pattern of behavior it says, "in
18 which the basic rights of others or major age-appropriate
19 societal norms or rules are violated."

20 A. Yes.

21 Q. It goes on to say that. Do you agree or disagree
22 with that? Yes or no.

23 A. The concept of the latter part of that, I agree
24 with that.

25 Q. Now, these definitions of bullying, perhaps in

1 elementary school, that you talked about, as well as the --
2 some fights he may have had in early adolescence, is that a
3 repetitive and persistent pattern of behavior, in your
4 opinion?

5 A. Of one type or two types of behavior, it is
6 sufficient, yes.

7 Q. So two incidents are sufficient?

8 A. I didn't say two incidents.

9 Q. How many incidents of fighting do you have?

10 A. Two per year for some small number of years.

11 Q. And did that involve basic rights of others?

12 A. In my opinion, yes.

13 Q. Well, do you know if Mr. Howell himself was
14 assaulted?

15 A. He gave me an example of one such thing when he
16 was an older adolescent, so that occurred I presume on more
17 than one occasion.

18 Q. So you know of at least one occasion that that
19 might be the case?

20 A. When he was an older adolescent.

21 Q. During which Mr. Howell was assaulted?

22 A. Excuse me. By his description, that was the way
23 it occurred.

24 Q. Well, that's what you're basing this whole
25 diagnosis on, right, based on his description of what

1 happened in adolescence before age 15, correct?

2 A. I'm basing the issue of conduct disorder based on
3 what he said. The rest of the diagnosis of personality
4 disorder, no, I'm not basing that on what he said.

5 Q. Right now I'm just talking about the conduct
6 disorder and what happened prior to 15.

7 A. Okay.

8 Q. So which behavior by Mr. Howell do you think
9 involved his violation of basic rights of others?

10 A. Bullying and fighting.

11 Q. And you don't really know what the bullying was
12 about at this point?

13 A. That's correct.

14 Q. And the fighting may have been self-defense,
15 somebody picking on him?

16 A. There may have been occasions of that.

17 Q. Well, how about violation of major age-appropriate
18 societal norms or rules?

19 A. My understanding is that typically falls more into
20 examples of conduct disorder that are related to things like
21 sexual behaviors and the like, and so this would not
22 directly apply in that regard.

23 Q. Dr. Doren, do you have a degree in mathematics?

24 A. No.

25 Q. In reaching your conclusions, you spoke with the

1 victims or alleged victims and Mr. Howell's former wife,
2 correct?

3 A. I had conversations with all five of those people,
4 yes.

5 Q. In determining whether a person will recidivate
6 sexually upon release from the Department of Corrections, do
7 you consider environmental factors at all?

8 A. In effect, yes, in some of them. I mean, I can't
9 possibly -- I don't know that I could possibly include
10 everything, but certainly some of them.

11 Q. Well, how about support from the family?

12 A. I'm trying to describe how that does and does not
13 apply. That is an issue that is more often part of a
14 reexamination or determination when someone can go for
15 supervised release. It is something that is part of risk
16 management of an individual, not necessarily the risk
17 assessment of an individual. I don't know if I'm making
18 myself clear.

19 Q. Well, would support from family, either emotional,
20 lodging, financial support to attend counseling, other
21 classes, are those all factors which could diminish a
22 person's risk of re-offending?

23 A. To the extent that they are consistent or ongoing,
24 that would be of potential importance.

25 Q. You did not speak with any of Mr. Howell's family

1 members in reaching your conclusions, did you?

2 A. That's correct.

3 Q. You stated on direct examination that in your
4 opinion, Mr. Howell is selfish or suffers from selfishness?

5 A. I'm not sure I said it quite like that. The
6 question, if I remember correctly, posed to me was that --
7 and I think Mr. Prosser was specifically saying he was
8 paraphrasing Mr. Howell's testimony -- something to the
9 effect that Mr. Howell described himself as having been
10 selfish. And what I believe I stated in response is that
11 would be consistent with what I diagnosed.

12 Q. Is that similar to a person being narcissistic?

13 A. It certainly can overlap a significant degree.
14 People may even use the words interchangeably.

15 Q. Now, you ruled out diagnosis of alcohol abuse for
16 Mr. Howell, correct?

17 A. Ruled out isn't exactly correct. The terminology
18 is an unfortunate terminology that's listed as rule-out, the
19 real meaning is yet to be ruled out. Because I didn't rule
20 it out. I didn't rule it in. I didn't diagnosis it. But I
21 didn't totally say it does not apply.

22 Q. So you did not diagnose him as suffering from
23 alcohol abuse?

24 A. That is correct.

25 Q. How about alcoholism?

1 A. That's a layperson's term. If what you're
2 referring to is alcohol dependence, I did not diagnose that.

3 Q. Are you familiar with the symptoms of alcohol
4 dependence?

5 A. Certainly to some degree, yes.

6 Q. For example, increased tolerance is a symptom,
7 correct?

8 A. It can be a symptom, yes. People can be alcohol
9 dependent and not show that, but showing that is often a
10 sign of alcohol dependence.

11 Q. There can also be decreased tolerance, correct?

12 A. Changes in the effect of the alcohol or drug on
13 the individual through continued use matters, yes.

14 Q. You indicated that alcohol can work as a
15 disinhibitor in a person?

16 A. Yes.

17 Q. So in other words, things people normally wouldn't
18 do or be inclined to do, they might do because of the
19 disinhibiting effects of alcohol?

20 A. That's the general concept.

21 Q. One of the things that you looked at was whether
22 or not Mr. Howell had attended sex offender treatment.

23 A. Yes.

24 Q. You don't consider whatever classes or groups he
25 participated in in Anamosa as qualifying?

1 A. Not as a complete program, no. They were on
2 target in that they were things that I would consider to be
3 a component of a larger program that would be a sex offender
4 treatment program, but they were simply a component and
5 relatively short term at that.

6 Q. Did Mr. Alcohol -- oh, Mr. Alcohol. Sorry. Was
7 Mr. Howell offered alcoholic treatment during his last term
8 in prison?

9 A. I do not believe so.

10 Q. Would not completing sex offender treatment at
11 Mount Pleasant, in your opinion, would that increase the
12 likelihood of re-offense or would it just not matter? Would
13 it just not decrease it?

14 A. If he had completed the sex offender treatment
15 program? Is what you're asking me?

16 Q. Right.

17 A. At Mount Pleasant.

18 Q. At Mount Pleasant. In your opinion, does that
19 just not reduce his risk or does it in fact increase his
20 risk?

21 A. In general, the process of completing a sex
22 offender treatment program brings the estimate of risk down.

23 Q. You're not saying that because he didn't complete
24 it, that makes him more likely to recidivate?

25 A. That's correct. I'm not saying that the

1 nonparticipation or noncompletion of such a program, given
2 he never basically started a program, does not increase
3 someone's risk based compared to, for instance, what the
4 actuarials are already showing. But the completion, based
5 on other research, the completion of the program lowers the
6 risk even compared to what the actuarials will show.

7 Q. When you're talking about lowering the risk,
8 you're talking about in terms of the results you got on the
9 actuarials?

10 A. Ultimately the assessment of the individual
11 including that, yes, compared to what the actuarials are
12 saying, yes.

13 Q. So the fact that he didn't complete sex offender
14 treatment at Mount Pleasant is not going to raise whatever
15 estimates you had based on the actuarials?

16 A. That's correct. It does not raise it. Completion
17 would lower it, but not participating does not raise it.

18 Q. Now, you asked Mr. Howell about any benefits he
19 got from the group sessions at Anamosa, correct?

20 A. I asked him about benefit from anything, including
21 those, yes.

22 Q. Such as whether he recognized certain things in
23 himself which may be contributing factors?

24 A. That kind of process, yes.

25 Q. He did indicate to you that mood swings and

1 depression were a contributing factor, correct?

2 A. The question was what feelings or moods would put
3 you at risk of sexual offending again. Describe at least
4 two. And his answer was "a roller coaster of ups or downs
5 or just maybe a flat line depression."

6 Q. So depression in his opinion could be a
7 contributing factor?

8 A. Could be a mood or feeling that would put him at
9 risk, yes.

10 Q. And he also indicated that perhaps medication and
11 treatment could help him to control these.

12 A. In answer to the second part of that same
13 question, "How will you cope with such feelings or moods?"
14 his answer included being able to counteract those whether
15 through medication or treatment or just knowledge.

16 Q. But medication and treatment is not specific
17 enough for you. It's only a general descriptor?

18 A. All he was saying is one could do this. He was
19 not saying that this is what he would do. The question was,
20 "How will you cope with such feelings?" And he gave a
21 generic answer.

22 Q. So he said perhaps medication and treatment to
23 control depression. Because he didn't say, "I will actually
24 do this," that's not a responsive answer to your question?

25 A. His answer was in full, recognizing the behavior

1 patterns and those initiators, and being aware of actually
2 knowing those, and being able to counteract those, whether
3 through medication or treatment or just knowledge. My
4 assessment of that, including through -- well, my assessment
5 of that, it does not constitute any plan. It's just a
6 descriptor of options.

7 Q. Let me refer you to your PCL-R score sheet one
8 last time, then I think I'm almost done. Is there an arrow
9 by Item No. 2 on there?

10 A. Yes.

11 Q. And the arrow is downward from the score of one?

12 A. That's correct.

13 Q. So it could be zero?

14 A. What an arrow means after -- either direction
15 after a number is a -- basically a statement to myself that
16 the score as I gave it is my best scoring of the individual,
17 but there's reason to see some degree of ambiguity in the
18 direction the arrow is pointing. So for that item, my best
19 score for him is a one. But it leans in the direction of a
20 zero.

21 Q. And the same for Item No. 14, then, which has a
22 downward arrow about two. It could lean in the direction of
23 a one?

24 A. It could lean in a direction down, and upper arrow
25 would lean in the direction higher.

1 Q. So there's some room for interpretation on these
2 various factors perhaps depending on the score?

3 A. The scoring of the PCL-R does involve a great deal
4 of training. And even after being trained, people do not --
5 trained individuals do not come up with exactly accurate --
6 excuse me, exactly the same scores per item.

7 Q. And that is true for all instruments, including
8 the RRASOR, Static-99 and MnSOST-R?

9 A. To a lesser degree by some of those instruments
10 that you were describing, but you would not expect in all
11 cases that all raters would even -- while trained, would
12 score all cases the same between them.

13 Q. In fact, that's called interrater reliability, the
14 extent to which multiple raters score almost the same score
15 or are consistent, correct?

16 A. That's quite correct. The interrater reliability
17 is consistency of cross-raters with the same cases.

18 Q. It's an important enough factor that the
19 developers of these tests actually test their instrument to
20 determine what the interrater reliability is.

21 A. Yes.

22 Q. So that is also another error factor in addition
23 to the previous ones we talked about.

24 A. It is one potential source of error.

25 MR. BAL: Nothing further at this point, Your

1 Honor.

2 THE COURT: Mr. Prosser, do you have many
3 questions?

4 MR. PROSSER: Maybe 15 minutes.

5 THE COURT: Why don't you go ahead, then.

6 MR. PROSSER: I'll take a break if you want.

7 THE COURT: That's all right.

8 MR. PROSSER: First of all, Your Honor, I would
9 like to move for the admission of Respondent's Exhibit F,
10 which is the diagnostic criteria for antisocial personality
11 disorder.

12 Do you have that?

13 MR. BAL: I have it. I was going to do it.

14 (Respondent's Exhibit F was marked for
15 identification by the court reporter.)

16 THE COURT: Any objection to F?

17 MR. BAL: No objections.

18 THE COURT: F is received.

19 MR. PROSSER: Thank you, Judge.

20 REDIRECT EXAMINATION

21 BY MR. PROSSER:

22 Q. I guess I have a general question. In your
23 opinion, Dr. Doren, is having a conduct disorder the same as
24 having a symptom of a conduct disorder?

25 A. No. The terminology in the diagnostic criteria

1 here, section C on page 706, is, "There is evidence of
2 conduct disorder with onset before age 15." And in
3 clarifying with the writers of the diagnostic manual, they
4 simply are referring to at least one of the type of
5 behaviors that constitutes a conduct disorder as described
6 earlier in the manual. The idea is to look at a pattern
7 that began in the early age and continues into adulthood.

8 Q. And then referring back to page 702 of Exhibit F
9 that you were asked some questions about, am I correct that
10 essentially it says in order to diagnose antisocial
11 personality disorder, you have to have what it describes as
12 some symptoms of conduct disorder, am I right so far?

13 A. Those are the words that are there.

14 Q. All right. And then it goes on in a different
15 sentence to explain what conduct disorder is, right? It
16 says "conduct disorder involves," and then it tells us what
17 conduct disorder involves?

18 A. It gives a general description, yes.

19 Q. Do you read that as being anything other than the
20 very distinction that I asked you the question about
21 initially? In other words, do you read that as saying you
22 have to have a diagnosed conduct disorder in order to be
23 antisocial --

24 MR. BAL: Objection, leading.

25 MR. PROSSER: I didn't get the question out.

1 THE COURT: I'll let him finish the question, then
2 I'll let you make your objection.

3 Q. Do you read that as being -- the question that I
4 asked, in other words, do you read that as saying that you
5 have to have a diagnosed conduct disorder in order to have
6 an antisocial personality diagnosis?

7 MR. BAL: Objection, leading.

8 THE COURT: Overruled. He may respond.

9 A. I don't read it as having to have a complete
10 conduct disorder for the diagnostic part of the antisocial
11 personality disorder.

12 Q. All right. Let's then back up on a related
13 subject to your three reports. And you remember the
14 questions you were asked about the preliminary report, your
15 April report, and your September of 2001 report?

16 A. I remember at least some of them.

17 Q. Okay. Could you please explain to the Court why
18 it was that your opinions changed from each of those reports
19 to the next?

20 A. Okay. Let me start with the diagnostic process.
21 In the November 2000 report I had done just a paper review.
22 At that point what I had was a pattern of a personality
23 disorder. I did not have evidence of conduct disorder.
24 Putting that together, I had a personality disorder not
25 otherwise specified with antisocial features. There was

1 also a question in my mind about whether or not Mr. Howell
2 had a sexual disorder called a paraphilia and whether he
3 exhibited this full syndrome called alcohol abuse. I simply
4 believe that I did not have enough clear information in
5 regards to either of those -- there was some degree of
6 indications of both, but not enough for me to feel confident
7 making either diagnosis.

8 Let me follow the diagnostic process firsthand.

9 Then the April 2001 report, I had updated
10 information both from a taped interview of Mr. Howell by an
11 investigator from the attorney general's office by the name
12 of Michael Ferjak, F-e-r-j-a-k, as well as my own telephone
13 conversations with Mr. Howell's ex-wife and three of his
14 victims or alleged victims.

15 Through the process of those telephone contacts, I
16 ended up ruling out the sexual disorder diagnosis. In other
17 words, based on new information, I asked the same kinds of
18 things of all of the different victims or alleged victims
19 and some questions to his ex-wife concerning what he was
20 saying and doing during different sexual interactions with
21 each. And I concluded that he did not have the syndrome of
22 paraphilia, and I ruled that out.

23 When it came to the issue of alcohol abuse, at
24 that point it looked to me like he did have that condition.

25 Going to the final diagnosis, my final report from

1 September 17, 2001, at that point I had obtained the
2 information that I've already described in my testimony
3 relative to evidence of conduct disorder, so that made the
4 personality disorder, not otherwise specified, into the more
5 individually labeled antisocial personality disorder.

6 That's --

7 Q. What specific information are you referring to
8 that you acquired, you know, that enabled you to make that
9 diagnosis?

10 A. That was interview information relative to the
11 bullying and fighting that we've already discussed.

12 Q. All right.

13 A. The only difference between the diagnosed
14 personality disorder, not otherwise specified, with
15 antisocial features from my April 2001 report and the final
16 diagnosis of antisocial personality disorder is that issue
17 of evidence of conduct disorder. Otherwise, it's the same
18 disorder.

19 Q. Let me ask you this question. Hypothetically, had
20 you not found evidence of a conduct disorder during
21 adolescence or whatever the magical phrase is from DSM that
22 we've been talking about, what would your diagnosis have
23 been, if anything?

24 A. Personality disorder, not otherwise specified,
25 with antisocial features.

1 Q. And would that have changed your opinion -- let me
2 strike that. In your opinion, would that have been a mental
3 abnormality as we've been talking about that term in the
4 context of Iowa Code Chapter 229A?

5 A. In my opinion, concerning Mr. Howell, the answer
6 would be yes.

7 Q. Okay. So this business about conduct disorder
8 really has more to do with the label of the condition as
9 opposed to the -- one of the ultimate opinions that you
10 reach in this case?

11 A. I guess that's true, yes.

12 Q. All right.

13 A. Then you asked --

14 Q. Go ahead. Now I think you're about to discuss how
15 and why, if it did, your opinion progressed from your
16 preliminary report to your final report about risk.

17 A. Correct.

18 Q. All right.

19 A. In the November 18, 2000, report, the information
20 I had available to me at that point did not include the -- a
21 clear picture of the Psychopathy Checklist-Revised. It was
22 still unclear to me based on the records I had alone.
23 Additionally, at that point I had the Static-99 score at a
24 five and not a six. I believe --

25 Q. Because of what? Is that because of the

1 conversation you had with the wife about living together for
2 two years?

3 A. That's correct.

4 Q. Okay.

5 A. That had not yet occurred.

6 Q. All right.

7 A. And so what I had in this early picture was that I
8 was not clear whether or not he had a sexual disorder. A
9 risk assessment instrument, specifically the RRASOR, that
10 looks at that risk was not showing high risk in that
11 dimension or that pathway.

12 In the other pathway, I had a high Minnesota
13 instrument, a moderately high but not highest category
14 Static-99, an unclear psychopathy checklist score, and I did
15 have a diagnosis of personality disorder. So it was not
16 clear to me that it was consistent results in that second
17 pathway of the different ways of measuring the intensity of
18 that pathway for the individual. I did not have a clear
19 picture that he met criteria.

20 And my conclusion at that point, based on this
21 information, was that specifically this examiner does not
22 see that degree of risk as clearly beyond the threshold of
23 more likely than not.

24 Going on to the October [sic] 17 report, based on
25 different information, then, the Static-99 score moved from

1 a five to a six, and I was able to score the PCL-R at a
2 score that was beyond twenty-four. Twenty-five or higher is
3 the threshold that was of relevance to me based on some
4 research.

5 Q. And based on -- both of those changes are based on
6 your interview with Mr. Howell, is that right, or on other
7 information?

8 A. It was based on information from Mr. Ferjak's
9 interview of Mr. Howell and based on the information from my
10 telephone interviewing of the four women.

11 Q. Okay. We're talking about the intermediate report
12 at this point?

13 A. Yes.

14 Q. All right. I've been saying April. Is it in fact
15 dated --

16 A. It's April 2001.

17 Q. Okay. I thought you said October.

18 A. If I did, I said the wrong thing. It's April
19 2001.

20 Q. All right. All right. So you're --

21 A. So therefore, then, my opinion became that his
22 personality disorder makes him likely to engage in predatory
23 acts, et cetera.

24 In my final report, I had the interview of
25 Mr. Howell and another conversation by phone with his

1 ex-wife. And I was -- I finalized a PCL-R score. It moved
2 slightly. A two-point change is not a major change. It's
3 within the standard error of measurement, one of those error
4 ranges. But the other characteristics all stayed the same,
5 except the personality disorder, as I mentioned, became a
6 more specific type of personality disorder as opposed to one
7 that's described as not otherwise specified. But basically
8 the transition went from lack of clarity in that second
9 pathway to a very consistent picture in that pathway.

10 MR. PROSSER: I would also like to offer
11 Respondent's Exhibit A, which is the Barbaree -- Barbaree,
12 Seto, et cetera, article. Do you have it?

13 MR. BAL: I have no objections.

14 MR. PROSSER: Exhibit A. It hasn't been
15 initialed.

16 (Respondent's Exhibit A was marked for
17 identification by the court reporter.)

18 THE COURT: Exhibit A is received.

19 MR. PROSSER: Thank you.

20 Q. Doctor, you were asked some questions about this.
21 Generally, isn't it true that this article supports the
22 proposition of the utility of using actuarial instruments in
23 assessing risk of sex offenders?

24 A. In my opinion, it's strong evidence in that
25 regard.

1 Q. Well, we don't even need your opinion. Look at
2 the front page, the very last line of the summary. What
3 does it say?

4 A. In the abstract on the front page it states, "The
5 results support the utility of an actuarial approach to risk
6 assessment of sex offenders."

7 Q. All right. Is it or isn't it true that this is a
8 study that found at least the RRASOR, the Static-99, the
9 VRAG and the PCL-R all to be accurate ways of assessing what
10 those instruments purport to assess?

11 A. That's correct.

12 Q. Namely certain kinds of risks of re-offending?

13 A. Yes.

14 Q. This is not the only such article that's published
15 in the world, is it, about basically making the same kind of
16 findings at least with respect to the RRASOR and the
17 Static-99, is it?

18 A. There's another one that's published, and there
19 are some others that have been accepted for publication that
20 are currently in press.

21 Q. You were asked a great many questions about
22 specific what I perceive to be problems with the methodology
23 used for development of these instruments. Generally, what
24 is a replication study?

25 A. The term is used in different ways. The general

1 concept is that somebody different from the original
2 researchers basically try to show -- test whether or not
3 that finding is going to be repeated in their sample under
4 their circumstances.

5 Q. Okay. Which are, I guess, by definition not the
6 same circumstances as those that the developer of a
7 particular instrument uses.

8 A. The idea is not to do an exact process. You're
9 using a different sample. But following the same basic
10 parameters, same rules.

11 Q. If you get a successful replication study, what
12 does that tell a person?

13 A. Most simplistically, that the original findings
14 were not unique, were not what we call in the field
15 spurious, by chance; that there is reason to believe that --
16 the more that happens that there's a replication finding,
17 then the more there is reason to believe that you can take
18 the results and apply them to people who have not yet been
19 studied.

20 Q. Okay. And so what conclusion might be able to be
21 drawn from, say, multiple replication studies as opposed to,
22 say, one with respect to a particular instrument?

23 A. In general, the more something is replicated, the
24 more its validity is demonstrated and the more you can have
25 confidence generalizing it or applying it to people or

1 situations beyond the original test sample.

2 Q. Well, what if there were some particulars that
3 might have been subject to criticism about the -- about the
4 original instrument but, hey, it keeps replicating and
5 replicating? What does that tell us?

6 A. Bottom line, if anything, any piece of research,
7 keeps replicating, then there's something about it that
8 works. The faults with the original design become an
9 intellectual argument of little consequence, in my opinion,
10 if the results keep replicating elsewhere.

11 Q. Have the results of the RRASOR and Static-99 been
12 replicated in more instances than just this Barbaree study,
13 Exhibit A?

14 A. Yes, quite a few.

15 Q. How many?

16 A. I'm aware of I believe it's now 17 studies
17 involving the RRASOR beyond the developmental study. And
18 these come from North America, both Canada and the U.S., as
19 well as various places in Europe, as well as New Zealand. I
20 think it's seven countries in all. I think there are
21 studies in five or six different U.S. states. Of those 17
22 studies, at least 16 have been fully supportive to the
23 instrument's validity. The 17th is a debatable one. It's a
24 technical point. One could debate it demonstrated validity
25 or it didn't.

1 Q. Is what you've just said, does that mean that
2 regardless of how they were developed, they seem to be
3 working? They seem to be doing what they purport to do?

4 MR. BAL: Objection. That's a leading question.

5 THE COURT: Sustained.

6 MR. PROSSER: All right. I'll withdraw the
7 question, Your Honor.

8 Q. Let's look at what counsel marked as Exhibits D
9 and E. I just want -- you weren't asked this question. I
10 want to have you tell us what you think can be drawn, what
11 are those charts telling us, about age and sexual
12 recidivism?

13 A. Can I ask that you get a little bit more specific?
14 I could go on about an hour about that question. I think
15 you want something more specific.

16 Q. Well, one thing that confused me is that even at
17 the very top of any of those charts, we have --

18 MR. BAL: Objection, Your Honor. The counselor is
19 testifying. Is he going to ask a specific question or his
20 impressions --

21 THE COURT: I think we're going to take our
22 afternoon break in any event, counsel. We're going to take
23 about a 15-minute recess. Thank you. We are in recess.

24 (Trial recessed at 3:04 p.m.)

25 (Trial resumed at 3:30 p.m.)

1 THE COURT: Mr. Prosser.

2 MR. PROSSER: Thank you, Your Honor.

3 Q. You have Exhibit D in front of you?

4 A. Yes.

5 Q. That is one of the charts out of the article by
6 Dr. Hanson that was referred to?

7 A. Yes.

8 Q. What does that exhibit tell us, if anything, about
9 Mr. Howell?

10 A. What it states basically is that if I knew nothing
11 else about Mr. Howell except his current age and the type of
12 victims he had, so in his case adult women, that he would
13 fall into a category of people who showed a 12 percent
14 recidivism risk measured in various ways, if I knew nothing
15 besides the adult victims and his age.

16 The other thing that it would tell me is that
17 given that he fell into the category of rapist, that that 12
18 percent is the same degree of risk that would be shown since
19 age 35.

20 Q. All right. Did you in your prior testimony take
21 into account this very study that we're referring to?

22 A. Yes, that's what I was referring to when I talked
23 about taking age into consideration.

24 Q. And just to refresh my recollection, what was your
25 testimony on that subject?

1 A. That in general, that people classified as rapists
2 falling into the forties would expect a lower recidivism
3 rate than people in their thirties or twenties, but that in
4 his case I did not lower the estimated risk because he
5 already demonstrated himself to be a sexual recidivist at
6 age 40. That was within the range of the 35 to 49 that is
7 saying basically shows no difference in risk.

8 Q. Are you aware of any evidence, Dr. Doren, that
9 indicates that Mr. Howell's family will be or is any more
10 supportive of him now than they were after his first
11 conviction and prior to his second offense for which he was
12 convicted back in 1994?

13 A. I don't have any information to suggest there is a
14 difference one way or the other.

15 Q. Thank you.

16 MR. PROSSER: I don't have any other questions.

17 THE COURT: Mr. Bal?

18 MR. BAL: Thank you, Your Honor.

19 RE CROSS-EXAMINATION

20 BY MR. BAL:

21 Q. Dr. Doren, Mr. Prosser on redirect just asked you
22 about the Barbaree study. I believe that's Defendant's
23 Exhibit A, or Respondent's Exhibit A.

24 A. Yes.

25 Q. Do you have that in front of you?

1 A. Yes. I put it up here, but I have it, yes.

2 Q. We're talking about in that study the RRASOR and
3 Static-99 being validated by Dr. Hanson? That's what you
4 talked about.

5 A. Validated in the study by Dr. Barbaree, you mean.

6 Q. I'm sorry, Dr. Barbaree that validated the RRASOR
7 and Static-99?

8 A. Yes.

9 Q. The Static-99 and RRASOR were developed at least
10 entirely or in part on Canadian population, correct?

11 A. That's correct.

12 Q. Barbaree study was also Canadian population?

13 A. That's correct again.

14 Q. The MnSOST-R which the study did not support is a
15 Minnesota population?

16 A. That is correct.

17 Q. And the study was not replicated or duplicated
18 using an Iowa population, was it?

19 A. That's correct.

20 Q. Or using the base rate in Iowa, right?

21 A. That I don't know to be true.

22 Q. Did it change the base rate on any of these, any
23 of the replication studies?

24 A. The sexual recidivism base rate in the Barbaree
25 study was overall approximately 9 percent, if I remember

1 correctly. The Iowa sex offender recidivism rate, when
2 you're specifically looking at people who have been
3 incarcerated for sexual offense, is not known to me. The
4 study to which you're referring earlier, more than half the
5 population where people have been put on probation, who may
6 never have been incarcerated, probationers in every study
7 I'm aware of show lower recidivism rates than people who
8 have been incarcerated. Basically, the judges got it right
9 in terms of who they're willing to put in the community. So
10 I don't know the Iowa rate relative to the same part of
11 population that Barbaree studies.

12 Q. Those people you say were on probation in Iowa,
13 they were people with prior sex offenses?

14 A. They were convicted of sex offense, but they may
15 have never been incarcerated for it.

16 Q. Now, do you have your notes from the interview
17 with Mr. Howell in front of you?

18 A. Yes.

19 Q. I'll refer you to page 3 of your notes. Now, the
20 top couple of paragraphs are italicized. Do you have the
21 same copy that I have?

22 A. I printed this out with some other stuff on top
23 for me, so if you could just tell me where you're reading
24 from, I'll find it.

25 Q. Let's see. The heading is "legal"?

1 A. Yes.

2 Q. And number one in parentheses?

3 A. Yes.

4 Q. States "juvenile record."

5 A. Yes.

6 Q. And then the next two paragraphs are italicized,
7 correct?

8 A. Yes.

9 Q. And those are italicized portions based on
10 quotations from Mr. Howell?

11 A. As close as I could get during the interview. I
12 don't claim they're so exact. It's the closest I could get
13 while typing.

14 Q. The first line of the second paragraph there --

15 A. Okay.

16 Q. -- if you go down, it states "fights"?

17 A. Yes.

18 Q. Okay. Then it says, "no, not necessarily." Do
19 you see that part, right after fights, first sentence?

20 A. Yes.

21 Q. Okay. Is that a statement Mr. Howell made to you
22 regarding fights?

23 A. Yes.

24 Q. The statement goes on to say, "a few later on, HS
25 years"?

1 A. High school years.

2 Q. High school years?

3 A. Yes.

4 Q. Okay. And do you know if the high school in
5 Ankeny started from grades tenth through twelfth?

6 A. I don't have specific knowledge whether it was
7 tenth or ninth that it started.

8 Q. So you don't know what age Mr. Howell started high
9 school, do you?

10 A. Specifically, no.

11 Q. And your testimony earlier regarding Mr. Howell
12 getting in scuffles, those are based on the notes you're
13 talking about right now?

14 A. No.

15 Q. Do you have additional notes which are not
16 contained in the documents here?

17 A. They are contained in here. The notes I was
18 referring to are from the interview by Mr. Ferjak, and the
19 relevant area is in the section that in your printout I
20 think may have been page -- it's 9, 10, or 11, just before
21 the section entitled treatment effectiveness. There's
22 another part in italics that starts, "fights as a kid,"
23 et cetera. That was from the interview by Mike Ferjak of
24 Mr. Howell.

25 Q. And says, "as far as fights, twice a year, maybe."

1 It says "maybe" right after that, doesn't it?

2 A. Yes, it does.

3 Q. You didn't say that in your earlier testimony, did
4 you?

5 A. I said approximately twice a year, or about twice
6 a year. That's what I said.

7 Q. Then it goes on to say, "not even say quite two
8 times a year." That's what it says right after, is that
9 right?

10 A. Yes, it does.

11 Q. Now, Exhibit D, Respondent's Exhibit D, do you
12 have that in front of you, sir?

13 A. Yes, I'm sorry. I was just making sure I didn't
14 mix these up.

15 Q. First, I'll lay a small basis for my question
16 regarding this exhibit. I believe the Static-99, you gave
17 different percentage estimates for recidivism, based on
18 different years follow-up?

19 A. Yes.

20 Q. Based on different years follow-up?

21 A. Yes.

22 Q. And the first time period was five years?

23 A. Yes.

24 Q. And the second time period was 16 years?

25 A. In my report, yes.

1 Q. And in the 15-year estimate was based on -- the
2 16-year estimate was associated with a 54 percent risk of
3 recidivism?

4 A. Yes.

5 Q. Well, according to Respondent's Exhibit D, do you
6 think you should extend risk of recidivism 16 years beyond
7 Mr. Howell's current age?

8 A. One of the things I stated in my earlier testimony
9 was that there were two issues related to age. One of those
10 was a general decreasing process. The other affected how I
11 interpreted the actuarials. I think that's all I got to
12 say. And the issue that you're raising is the point I was
13 referring to. As we talked about earlier, at 16 years from
14 now he would be age 63. It is unusual to find a new
15 recidivist rapist at that age or beyond. So I certainly
16 wouldn't go beyond those age interpretation figures. And
17 ten years may be considered more appropriate.

18 Q. Well, do we have an exact figure for ten-year?

19 A. Ten-year for the Static-99 six-plus category, I
20 believe is 45 percent.

21 Q. Okay. And even that figure is based on a
22 combination of sex offenders regardless of age, correct,
23 that had --

24 A. Regardless of age is an accurate portrayal, yes.

25 Q. So people at a younger age who may have been high

1 risk to re-offend, their risks were averaged with people at
2 a much older age whose risk might be less?

3 A. There was some averaging of that type, yes.

4 Q. That estimate was not based by separating each age
5 category, then looking at the risk per age category?

6 A. No. In fact, I asked Dr. Hanson after he made
7 this study available that Exhibit D is from if he in fact
8 could break it down by scores on the RRASOR or the Static.
9 Unfortunately, he was not able to do so.

10 Q. At least not at this point.

11 A. Correct.

12 Q. And one of the criticisms or comments Dr. Hanson
13 has consistently made about risk assessment instruments is
14 that you need to look at dynamic factors more, correct?

15 A. He's made that statement various times.

16 Q. And one of the dynamic factors is age, something
17 that changes.

18 A. Well, technically, your statement is accurate.
19 And Dr. Hanson's statements, I believe he's referring to
20 things that are far beyond the control of the individual.

21 Q. Would one of those dynamic factors be support of
22 the family?

23 A. That kind of issue would be closer, yes.

24 Q. But age is one of the factors in all of the
25 instruments that you're talking about which is dynamic,

1 which does change?

2 A. Technically, your statement is accurate.

3 Q. And almost all of the other factors are based on
4 historical record, correct?

5 A. Basically, if you're talking about the RRASOR,
6 that's absolutely the case. If you're talking about the
7 Static-99, that's basically the case. If you're talking
8 about the Minnesota instrument, then that would be true
9 except for three other items relative to the person's most
10 recent institutional behavior.

11 Q. And three items out of how many total?

12 A. Sixteen.

13 Q. So most of the items are static?

14 A. That's correct. That's correct.

15 Q. And once a person has those items in their
16 history, scores regarding those static items is never going
17 to change.

18 A. Except potentially to go up if the person
19 continues to do a sexual criminal behavior or something
20 along those lines.

21 Q. Okay. But they'll never decrease.

22 A. On the Minnesota instrument they have the
23 potential to decrease. On the RRASOR or the Static, they
24 will not, except with minor exceptions like the person first
25 has a two-year relationship or something like that.

1 Q. You were also asked a question by Mr. Prosser
2 regarding whether Mr. Howell's family is more supportive now
3 than in the past. You don't know, because you never talked
4 to any of the family members, right?

5 A. I don't know, and that would be one of the reasons
6 that I don't.

7 MR. BAL: Nothing further, Your Honor.

8 MR. PROSSER: No further questions.

9 THE COURT: You may step down, sir.

10 (The testimony of Dr. Doren was concluded on the
11 30th day of October, 2001.)

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

CERTIFICATE OF REPORTER

I, Teresa A. Kordick, Certified Shorthand Reporter and Official Court Reporter for the Fifth Judicial District of Iowa, do hereby certify that I was present during the foregoing proceedings and took down in shorthand the testimony and other proceedings held; that said shorthand notes were transcribed by me by way of computer-aided transcription; and that the foregoing pages of transcript contain a true, complete and correct transcript of said shorthand notes so taken.

DATED this 15th day of January, 2002.

CERTIFIED SHORTHAND REPORTER

